

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

UNITED STATES DISTRICT COURT
NORTHERN DISTRICT OF OHIO
WESTERN DIVISION

UNITED STATES OF AMERICA, Docket No.: 3:19CR490

 Plaintiff, July 12, 2021

 v Toledo, Ohio

WILLIAM R. BAUER,

Defendant.

.....

TRANSCRIPT OF JURY TRIAL, VOLUME 4
BEFORE THE HONORABLE JACK ZOUHARY
UNITED STATES DISTRICT JUDGE

APPEARANCES:

On Behalf of the Plaintiff:

Ava Rotell Dustin
Robert N. Melching
Office of the U.S. Attorney
Four SeaGate, Suite 308
Toledo, Ohio 43604
(419) 259-6376

Michael A. Sullivan
Office of the U.S. Attorney
801 Superior Avenue, W
Suite 400
Cleveland, Ohio 44113
(216) 622-3977

On Behalf of the Defendant:

John B. Gibbons
55 Public Square
Suite 2100
Cleveland, Ohio 44113
(216) 363-6086

1 Orville E. Stifel, II
5310 Franklin Blvd.
2 Cleveland, Ohio 44102
(216) 225-9855
3

4 Court Reporter:

5 Angela Nixon, RMR, CRR
United States District Court
6 1716 Spielbusch, Suite 118
Toledo, Ohio 43604
7 (419) 213-5518
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

1 THE COURT: Good morning. We're back on the
2 record with counsel only. The jury will be coming in
3 shortly. I thought I would place on the record a
4 discussion that I held with counsel last Friday.

5 We reviewed a number of exhibits that were
6 offered by the government, and we had some rulings. And I
7 will now recite into the record the results of that
8 conversation.

9 Exhibits 101 through 208 were admitted without
10 objection.

11 Exhibits 401 through 414, again, admitted without
12 objection.

13 Exhibits 501 through 630 admitted without
14 objection.

15 Exhibits 701 through 703 admitted without
16 objection.

17 Exhibits 712 through 725 admitted. There were --
18 there was only one objection to that series, and that was
19 to Exhibit 721. Defendant objected, I overruled and
20 admitted it, along with the other photos in that series.

21 801, the government offered to admit, defendant
22 objected, and I sustained that objection. So 801 is not
23 admitted.

24 804 admitted without objection.

25 The government also offered 805 and 806. I held

1 off a final decision on those two exhibits until the
2 government was ready to rest.

3 And I believe that concludes the exhibit
4 discussion that we had, and counsel may take their notes
5 and advise if I have been accurate or not at some point,
6 doesn't have to be right now, in my summary of those
7 exhibits.

8 When the jury comes in this morning shortly, I
9 will be giving them the limiting instruction that we also
10 discussed Friday afternoon. There was some back and forth
11 and revisions that were made to an initial draft, and I
12 will read, what I believe is the consensus by all, the
13 language that the final draft ended up with. And that's
14 how we'll start.

15 And if the government's first witness -- you may
16 bring that person in the courtroom so that we may start
17 quickly once the jury arrives. Thank you.

18 (Jury present in courtroom.)

19 THE COURT: Welcome back, ladies and gentlemen.
20 You all may be seated. Give the jury a little to get
21 settled in. Hopefully everyone had a good weekend.
22 Certainly the weather Saturday was very nice. Sunday, not
23 so nice.

24 Before we call the first witness, sometimes,
25 during the course of a trial, ladies and gentlemen, I will

1 give additional instructions, also known as limiting
2 instructions. And that's what I'm going to do for you to
3 start off this week, and to start off today.

4 Ladies and gentlemen, last week you heard
5 testimony from coroners Jeffrey Hudson and Daniel Cadigan
6 about Melody, a patient of the defendant. You also heard
7 testimony on Friday about the death of Rodney. Those
8 deaths themselves are not at issue in this case. Also not
9 at issue is whether any medications prescribed by defendant
10 were a cause or a contributing cause in those deaths.
11 Rather, such testimony is relevant in the context of
12 whether defendant knowingly and intentionally distributed
13 and dispensed Controlled Substances by issuing
14 prescriptions outside the usual course of professional
15 practice and not for a legitimate medical purpose.

16 With that, the government may call its next
17 witness.

18 MS. DUSTIN: We call James Cipiti.

19 JAMES CIPITI,
20 was herein, called as if upon examination, was first duly
21 sworn, as hereinafter certified, and said as follows:

22 DIRECT EXAMINATION

23 BY MS. DUSTIN:

24 Q. Would you introduce yourself to the jury, please?

25 A. Pardon me?

1 Q. Would you introduce yourself to the jury?

2 A. Good morning. My name is James Robert Cipiti.

3 Q. And tell us where are you employed.

4 A. I'm employed with the Port Clinton Police
5 Department.

6 Q. What is your position?

7 A. I'm a patrolman.

8 Q. And how long have you been with the Port Clinton
9 Police Department?

10 A. Seventeen years.

11 Q. And what community are you from?

12 A. Port Clinton.

13 Q. Tell us about your employment background prior to
14 joining the police department in Port Clinton.

15 A. I graduated high school in 1988. From there I
16 joined the United States Army. I left the Army in 1997, I
17 stayed out in The State of Washington for a little while,
18 worked in the wood products industry.

19 From there, my father was getting sick, and he
20 owned an appliance repair business back in Port Clinton.
21 That's when I decided to come home. My wife and I both
22 moved back here in the year 2000. I took over the business
23 for a little while up until his death, and then I decided
24 to go to the police academy and become a police officer.

25 Q. Are you currently married?

1 A. No.

2 Q. And were you married?

3 A. I was married, I'm a widower now.

4 Q. And what was your wife's name?

5 A. Melody Anne Cipiti.

6 Q. And did you and Melody have any children?

7 A. No, we did not.

8 Q. And tell us how you and Melody met.

9 A. Stationed down at Fort Polk, Louisiana, year was
10 1990. Her brother was my roommate, and she came down to
11 visit him, and there's the story.

12 Q. Eventually you moved back to Port Clinton?

13 A. Yes.

14 Q. And when you moved back here, did Melody work
15 anyplace?

16 A. Yes.

17 Q. Where did she work?

18 A. She worked at Magruder Hospital.

19 Q. Tell us about Melody's education.

20 A. She went to -- I can't remember the name of the
21 college, college in Louisiana when I was stationed down
22 there, and then she finished up -- I think it was Pierce
23 Community College (Phonetic) in Killeen, Texas when I got
24 transferred to Fort Hood Texas.

25 Q. What did Melody do at Magruder Hospital?

1 A. She was a phlebotomist.

2 Q. What did she do as a phlebotomist?

3 A. Draw blood, test blood, look for stuff. Not
4 really sure what.

5 Q. Just, in general, tell us about things that you
6 and Melody did while you were living in Port Clinton
7 shortly after moving there.

8 A. We would go on a lot of trips down south, Hocking
9 Hills, rent cabins, camping somewhere. Outgoing, we had
10 did a lot of family stuffy, have a big family in Port
11 Clinton. Just out, normal couple stuff.

12 Q. At some point did she have an accident?

13 A. Yes.

14 Q. And when was that accident?

15 A. It was, I want to say 2008.

16 Q. And tell us the circumstances of the accident.

17 A. We were going to Outback Restaurant, and she
18 slipped and fell on some ice. And when she fell back, I
19 heard her head bounce twice on the pavement, and then she
20 was out. And that's when I dialed 911.

21 Q. So this would have been winter?

22 A. Wintertime, yes.

23 Q. Was it early 2008, 2007, late, do you recall?

24 A. I want to say late 2007. That's what I want to
25 say. I also want to say 2008.

1 Q. You know it was winter?

2 A. Yeah, it was around there.

3 Q. And you called 9111?

4 A. Yes.

5 Q. And did she sustain an injury?

6 A. Yes.

7 Q. And what was her injury?

8 A. She had a fracture in her neck, she had hip pain,
9 lower back pain.

10 Q. After that injury did Melody have any discomfort?

11 A. Any discomfort?

12 Q. Yes, did she suffer from any discomfort?

13 A. Yeah, she had pain.

14 Q. Did she have pain in the areas you just
15 described, her neck, where else?

16 A. Lower back.

17 Q. Lower back. As a result of her pain, did she see
18 a doctor?

19 A. Did she see a doctor?

20 Q. Yes.

21 A. Yes.

22 Q. And tell us, was she treated long term by a
23 doctor?

24 A. Yes.

25 Q. And who was that?

1 A. Dr. Bauer.

2 Q. And do you know his first name?

3 A. William.

4 Q. Do you remember when she started to see Dr. Bauer
5 approximately?

6 A. 2008.

7 Q. And did she see Dr. Bauer until her death?

8 A. Yes.

9 Q. And when did she die?

10 A. She died September 13th, 2015.

11 Q. Was she seeing any other -- was she being treated
12 for any other disorders during that time period?

13 A. She had bipolar disorder, and she was seeing, I
14 don't remember who that was.

15 Q. Okay. Some type of mental health services
16 provider?

17 A. Yes.

18 Q. And can you explain to us how Melody progressed
19 in terms of her pain? Let's start from the time she
20 started to see Dr. Bauer until her death.

21 A. Well, she was in a lot of pain, and she was using
22 pain medications to help the pain. But throughout this
23 process I kept asking her about surgeries and stuff like
24 that. I mean, are they going to offer you any kind of
25 surgery to fix the back, you know, what are they going to

1 do, you can't stay on this forever. We never really got a
2 clear answer. She never gave me a clear answer on
3 anything.

4 Q. Did Melody go through any -- was any physical
5 therapy ordered by Dr. Bauer that she -- she went through?

6 A. No.

7 Q. Do you recall her going for periodic diagnostic
8 tests?

9 A. I remember her going to see Dr. Bauer, her
10 appointments.

11 Q. Do you remember her having any type of tests like
12 MRIs or any type of diagnostic --

13 A. I don't remember at this point, no, I don't.

14 Q. Was she prescribed -- what type of drugs was she
15 prescribed from Dr. Bauer?

16 A. The Hydrocodone, Percocet, some other narcotics,
17 I can't really name them all. I think there was Methadone
18 in there.

19 Q. And did she take these medications the entire
20 time she saw Dr. Bauer until approximately the time of her
21 death?

22 A. Yes, she's been taking medications for -- 2008
23 until her death in 2015.

24 Q. Did they -- medications improve her pain from
25 what you could see?

1 A. No.

2 Q. And can you tell us any observations you made of
3 Melody while she was on the medications?

4 A. There was several times where I -- back in those
5 days I was kind of young on the department so I worked a
6 lot of overtime. And I also worked a lot of overtime just
7 to get away from the home sometimes. I would come home
8 from work, working a 12-hour shift, and I'd see my wife
9 sitting in the chair with her head laying back, mouth wide
10 open, basically gasping for air. I would wake her up and
11 get her to bed. I will say this, she did have sleep apnea.
12 But there was several occasions where I would come home,
13 even early on when I want to come home, let's go out to
14 supper, let's go see a movie. Couldn't take her anywhere.
15 She didn't want to go anywhere.

16 As this progressed in our relationship, it just
17 seems that the person that I married, which was Melody
18 Cipiti, I don't know who that was in the middle of this
19 going until the end. I have no idea who that was. All I
20 ever wanted was my wife back. I don't know who that was.
21 I would talk until I'm blue in the face. Her mother would
22 talk to her until she was blue in the face trying to get
23 her off this crap, get surgery. There was just a lot I had
24 to deal with those years. I just felt like I didn't get
25 any help from -- from any other -- anybody else. Maybe,

1 you know, I don't know if Dr. Bauer had tried to talk to
2 her because she never told me anything about that, about
3 surgery. All I wanted her to do was have the surgery, get
4 the back fixed, get your job back, and let's go on with our
5 life, and I didn't get that.

6 Q. Tell us about -- you said get her job back. Did
7 she have a job this entire time?

8 A. No, she did not.

9 Q. What happened?

10 A. She was in and out of jobs. She would go -- she
11 would go to work. They released her from Magruder because
12 she wasn't working, and, of course, they had to hire
13 somebody because they needed somebody to work.

14 So she got a job over in Fremont. There was a
15 new clinic that opened, it was owned by Dr. Matag
16 (Phonetic) I can't remember what the name of the clinic
17 was. While she was there -- she did good for awhile, but
18 then she was falling asleep a lot. She was falling asleep
19 a lot because she was taking those pain medications when
20 she was at work. She just couldn't get off the pain
21 medication.

22 And then that place closed down due to a sale of
23 ProMedica. It's all business stuff from there on, but she
24 didn't get fired. They were all let go because that
25 building was bought by another hospital.

1 Q. Did her falling asleep affect her abilities to do
2 anything else?

3 A. It affected her ability to draw blood. I
4 remember her specifically telling me that she had people
5 come in to help her, what she called a stick, because she
6 was missing it all the time, and she never knew why. I
7 knew why. She couldn't drive. I don't know how the hell
8 -- excuse me, I don't know how she made it back and forth
9 to work.

10 Q. Was there ever an incident where she couldn't
11 drive herself someplace?

12 A. I'm sorry?

13 Q. Was there an incident when she couldn't drive
14 herself someplace?

15 A. Drive herself someplace?

16 Q. Yes.

17 A. I was coming back from Pennsylvania from a
18 Homeland Security briefing we had there, and I got a phone
19 call from my Sergeant at the time, who's since retired --

20 MR. GIBBONS: Objection.

21 THE COURT: He objected.

22 MR. GIBBONS: Objection.

23 MS. DUSTIN: I'll ask you a different question.

24 THE COURT: Thank you.

25 BY MS. DUSTIN:

1 Q. While you were in Pennsylvania, did you learn
2 something that caused you to come back?

3 A. Yes.

4 Q. What happened?

5 A. My wife was getting her hair and nails done, she
6 couldn't keep her eyes open. And we had to come back
7 because another cop was called there to go pick her up and
8 take her home.

9 Q. Did Melody's body appearance change over this
10 time period?

11 A. She's gained a ton of weight, a lot of weight.
12 She was always kind of a heavier girl, but with the
13 inactivity, the sitting around, the odd hours of, well, not
14 even eating correctly, you know she gained a lot of weight,
15 a lot.

16 Q. Did the medications, the Controlled Substance
17 medications that Melody was taking, did they increase or
18 decrease during the time -- this time period, do you
19 recall?

20 A. I can't recall. I think it just stayed the same.
21 There was just a lot of pill bottles laying around.

22 Q. Did the medications enable Melody to do more
23 activities?

24 A. No. No.

25 Q. Did the pain medications, the Controlled

1 Substances, improve her pain?

2 A. I -- I wouldn't know. I don't really know.

3 Q. Did she have a hard time walking?

4 A. Yes.

5 Q. And did you ever see her lose her balance?

6 A. Yes.

7 Q. What would happen?

8 A. She would fall, or she'd fall into a couch or a
9 wall or whatever was available that she could catch herself
10 on.

11 Q. Did you ever go to an appointment with Dr. Bauer
12 and Melody?

13 A. I did once.

14 Q. Tell us about that.

15 A. We went there, and we pulled in, we went inside,
16 there was absolutely no place to sit down. The office was
17 packed full of people. I think I waited in there maybe
18 five minutes just standing up, and then I just went
19 outside.

20 Q. How long did you wait before she was able to see
21 Dr. Bauer?

22 A. Oh, maybe 20, 25 minutes, maybe 30 minutes,
23 something -- I really don't remember. We were there for a
24 little while.

25 Q. How long was her appointment?

1 A. When she went in, it seems like she came out in
2 maybe 15 minutes after that.

3 Q. You didn't actually go in with her?

4 A. I did not go in, no.

5 Q. Did she have any concerns with respect to her
6 medication? Did she ever talk to you about whether she ran
7 out early, or had to get more?

8 A. I know she ran out early and tried to get more.
9 And I remember her having arguments with the pharmacist at
10 Rite Aid about I really need this, you need to fill it, and
11 the pharmacist saying, no, I can't, it's too early.

12 Q. Do you remember the day that Melody passed away?

13 A. Yes.

14 Q. And what was the date?

15 A. September 13th, 2015.

16 Q. And tell us about when you -- your day that
17 morning. What did you do that day?

18 A. I was working harbor patrol, and when I --

19 Q. Harbor patrol?

20 A. Harbor. How do I explain that? Harbor patrol --
21 in Port Clinton we have a police boat, and we patrol our
22 harbors, and we patrol certain areas of the lake and look
23 for safety violations, or help boaters if they're in
24 distress or if they have any questions.

25 When my shift ended, I went home, and I walked

1 into the bedroom and changed in the closet, had a big
2 walk-in closet at the time. I was in there changing
3 clothes, I was tired, went to go lay down, laid down, and
4 Melody was still in bed. I just felt like there was
5 something wrong, and then I reached over, and being a cop
6 and showing up on a lot of dead body calls, I knew she was
7 gone. So I immediately got up, I ran over to try to -- I
8 was going to pull her off the bed, but it -- she was rigid.
9 So it was too far, there was just no -- I could not do any
10 life -- life-saving techniques at all, so I just called 911
11 and had everybody come.

12 Q. Approximately what time did you go to work that
13 morning?

14 A. I'm sorry?

15 Q. What time did you go to work that morning?

16 A. I think I left the house at maybe 9:30.

17 Q. A.m.?

18 A. A.m.

19 Q. And what time did you get home, approximately?

20 A. 2:00.

21 Q. Around 2:00 p.m.?

22 A. Around 2:00 I think.

23 Q. And did Melody have any difficulty breathing in
24 terms of at night when she slept?

25 A. Yeah.

1 Q. And so tell us about -- if she used anything to
2 help her breathe.

3 A. She used the breathing machine, the Cpap I think
4 they call that. It was a machine --

5 Q. Do you recall when you came home whether she was
6 wearing her Cpap machine?

7 A. I think she was wearing it at the time.

8 Q. At that time did you find any evidence, or did
9 you suspect a suicide?

10 A. No.

11 Q. Why not?

12 A. Well, there was nothing ever really to indicate
13 that she wanted to hurt herself. Another thing is I was
14 kind of, you know, after our conversations, I told her, and
15 we talked about this, you continue taking this medication
16 the way you take it, you're going -- it's going to kill
17 you, you're not going to wake up from this.

18 Yes, I was surprised that she was dead, but I
19 wasn't surprised either because I knew that she was just
20 taking this medication, and a lot of it.

21 Q. So there was, you know, no suicide note?

22 A. No.

23 Q. Did you see any, you know, empty pill bottles
24 laying around?

25 A. I don't remember if I saw empty pill bottles.

1 Q. Do you know where she normally kept her pill
2 bottles?

3 A. In the kitchen.

4 Q. In the kitchen. You did say that Melody had some
5 mental health treatment that she sought. Was she ever
6 suicidal?

7 A. She would always -- sometimes she would say, you
8 know, if she -- a lot of times if she didn't get her way,
9 she was mad about something, she was going to kill herself,
10 but she would never, you know -- sometimes I think a lot of
11 people say that, and they just don't do it. You know,
12 hell, I may have said it at one time in my life, you know,
13 you get mad.

14 Q. Let me show you what's been marked as
15 Government's Trial Exhibit 802. It's going to be on the
16 screen in front of you.

17 And do you recognize 802?

18 A. Yes.

19 Q. And what is that?

20 A. That's a picture of my wife and I.

21 Q. And when was that taken?

22 A. That was taken 1992 down in Louisiana.

23 Q. While you were still --

24 A. I was still in the service. I looked better.

25 Q. Go ahead.

1 A. Never mind. I looked better.

2 Q. And Exhibit 721, what is that a picture of?

3 A. Looks like her driver's license picture.

4 Q. Melody's?

5 A. Melody, yeah.

6 Q. Do you remember what year that was from?

7 A. Pardon me?

8 Q. What year?

9 A. No, I don't remember what year.

10 Q. Thank you.

11 MS. DUSTIN: If I just may have a moment, Your

12 Honor?

13 BY MS. DUSTIN:

14 Q. Do you recall if Melody ever was called, like,
15 randomly into Dr. Bauer's office to do pill counts?

16 A. I've -- no, I've don't remember anything like
17 that.

18 Q. Do you recall if Melody was ever called in to Dr.
19 Bauer's office to do urine screens?

20 A. Not that I recall, no.

21 Q. And did the medications improve Melody's function
22 and daily activities at all?

23 A. No, she was still having a hard time walking.

24 Q. Could she do the things she used to do?

25 A. No, we never did anything more after that.

1 Q. Thank you. Nothing further.

2 THE COURT: Cross examine?

3 MR. GIBBONS: Thanks, Judge.

4 CROSS-EXAMINATION

5 BY MR. GIBBONS:

6 Q. How are you today, sir?

7 A. I'm fine. Thank you.

8 Q. Sir, I'm just going to ask you a couple questions
9 about your wife's overall situation. Do you recognize the
10 names of Dr. Briede, B-R-I-E-D-E and Dr. Evans?

11 A. Dr. Briede, yeah, and Mrs. Evans.

12 Q. Who is Dr. Briede and Dr. Evans?

13 A. I think Dr. Evans was her mental doctor.

14 Dr. Briede was family doctor at the time.

15 Q. Okay. And I take it that your wife started
16 treating with Dr. Bauer in about 2008, is that accurate?

17 A. Yes.

18 Q. Dr. Briede was a psychiatrist?

19 A. No, Dr. Briede was -- I want to say the family
20 doctor. I think Cindy Evans was the mental doctor.

21 Q. Psychiatrist, if you know?

22 A. Psychiatrist, yes.

23 Q. Okay. So was it Dr. Briede and/or Dr. Evans who
24 referred your wife to Dr. Bauer's office?

25 A. I don't know. I would say it was -- I don't know

1 who referred.

2 Q. And the psychiatrist, had your wife been treating
3 with that person prior to 2008?

4 A. Yes.

5 Q. And did she have a diagnosis?

6 A. At that time I don't think she had a diagnosis.
7 I don't think she told me about the bipolar stuff until
8 after the accident that she had when she fell.

9 Q. I see. So at some point in time before she was
10 being treated by Dr. Bauer, she was diagnosed with bipolar,
11 is that accurate?

12 A. Yes.

13 Q. Okay. And that bipolar had been in existence for
14 how long in 2008?

15 A. I don't know.

16 Q. Okay. Do you know if she was being treated by a
17 mental health doctor prior to 2008 for any other
18 conditions?

19 A. I don't know how she was being treated, but she
20 was seeing a psychiatrist for depression.

21 Q. Okay. And for how many years back in 2008 had
22 she been seeing or been treated by a psychiatrist?

23 A. At least a year.

24 Q. Okay. And were those issues obvious to you in
25 2008, those mental health issues?

1 A. Yes.

2 Q. Okay. And when was her slip and fall or injury
3 at the Outback?

4 A. It was in the winter of 2007. I want to say
5 either December or January she slipped and fell.

6 Q. Was that during the winter months?

7 A. It was during the winter months. The parking lot
8 was icy.

9 Q. And she slipped in the parking lot?

10 A. Yes, right outside of Outback Steakhouse in
11 Sandusky, Ohio.

12 Q. You were with her, were you not?

13 A. Yes, I was.

14 Q. And she hit the pavement, or the snow and the ice
15 pretty hard, did she not?

16 A. She did.

17 Q. I see. And was she conveyed to a local hospital
18 at that time?

19 A. Yes. She was transported by ambulance to the
20 local hospital. I think it was Fireland's.

21 Q. And for how long was she in the hospital after
22 that fall in the Outback Steakhouse parking lot?

23 A. She was released that night.

24 Q. I see. And she sustained injuries as a result of
25 that fall, did she not?

1 A. Yes.

2 Q. And was it a traumatic brain injury that she
3 sustained as a result of that fall?

4 A. That was one of the diagnosis, yes.

5 Q. I see. And was she hospitalized any further
6 after that fall at the Outback Steakhouse?

7 A. No.

8 Q. Okay. And who is -- which doctor was treating
9 her for the traumatic brain injury?

10 A. I don't -- I don't remember who.

11 Q. And you retained an attorney, did you not?

12 A. Yes, we did.

13 Q. And you instituted some form of claim against
14 Outback or some other entity?

15 A. Yes.

16 Q. And your attorney was Mike Kinney in Rocky River?

17 A. Yes.

18 Q. Okay. I know Mike.

19 And did you resolve that claim with a settlement
20 from Outback or someone else?

21 A. Yes, we did.

22 Q. Okay. And that was in 2007 or --

23 THE COURT: What was in 2007?

24 MR. GIBBONS: I'll rephrase it.

25 THE COURT: Thank you.

1 BY MR. GIBBONS:

2 Q. The Outback incident was in 2007?

3 A. Yes.

4 Q. And which doctor diagnosed her with the traumatic
5 brain injury?

6 A. I don't know. I don't remember who.

7 Q. Okay. And was there a doctor who referred her to
8 see Dr. Bauer?

9 A. I don't know who that doctor would have been --

10 Q. I see.

11 A. -- at the time. I don't remember that.

12 Q. And you were married to her, did she experience
13 pain as a result of that injury?

14 A. Yes.

15 Q. I see. And can you describe the pain that you
16 observed, or became aware of, that your wife suffered from?

17 A. She had a lot of pain in her neck. She wore the
18 neck brace, and she always complained about lower back and
19 hip pain.

20 Q. I see. So she had also back and hip pain?

21 A. Yes.

22 Q. And she went to see Dr. Bauer for pain relief,
23 correct?

24 A. Yes.

25 Q. And during the time frame that you -- or she was

1 being treated, you went to his office on one occasion?

2 A. Just one occasion.

3 Q. I see. And how often did your wife go to see Dr.
4 Bauer, if you know?

5 A. I don't know how often that was.

6 Q. I see. And did she have any surgeries between
7 the time where she first went to see Dr. Bauer and the date
8 of her death?

9 A. No, there was no surgery.

10 Q. And the date of her death was in 2015 sometime?

11 A. Yes.

12 Q. And was she awaiting surgery at the time of her
13 death?

14 A. No.

15 Q. Okay. Did she -- or was she contemplating
16 surgery?

17 A. No.

18 Q. Okay. And did she continue to work at Magruder
19 Hospital even after she went to be treated by Dr. Bauer?

20 A. She only worked there for a short time after
21 that.

22 Q. Can you give us a better idea of how long she
23 worked there?

24 A. You know, I really honestly don't remember. I
25 don't want to give you something I can't back up.

1 Q. That's fair. But during the time period that she
2 was being treated by Dr. Bauer, she held other employment,
3 did she not?

4 A. She did.

5 Q. And was that that medical clinic, at that medical
6 clinic in Fremont?

7 A. Yes.

8 Q. And what type of work did she do there?

9 A. Phlebotomy.

10 Q. And for how long did she work at the clinic?

11 A. I want to say before that place sold, I want to
12 say at least two years, two-and-a-half, maybe three.

13 Q. As long as three years?

14 A. As long as three.

15 Q. Okay. And for whatever reason she lost that
16 employment?

17 A. Right.

18 Q. And what was that reasons other than -- well, did
19 the business close, or was it sold?

20 A. The business was sold, and every employee got
21 their last check and had to leave. It was --

22 Q. Can you tell us the years that she worked at the
23 clinic in Fremont?

24 A. No.

25 Q. Okay. And it was -- but it was during that time

1 frame that she was being treated by Dr. Bauer?

2 A. Yes.

3 Q. Okay. Did she have any other employment other
4 than the medical clinic in Fremont?

5 A. No.

6 Q. Okay. Did she drive?

7 A. She did drive.

8 Q. Did she drive herself to Dr. Bauer's location?

9 A. Yes.

10 Q. Did you have a problem with that?

11 A. I always had a problem. After awhile she was
12 taking all these medications and driving.

13 Q. But nonetheless, you didn't prohibit her from
14 driving, did you?

15 A. No.

16 Q. Okay. And was she driving up until roughly the
17 time of her death?

18 A. Yes.

19 Q. And you mentioned that you became concerned about
20 the medication?

21 A. Uh-huh.

22 Q. And you brought that concern to the attention of
23 your mother, is it, or was it her mother?

24 A. No, it was her mother.

25 Q. Was she living up in Ohio at that time?

1 A. Yes.

2 Q. And did her mother live with you?

3 A. Yes.

4 Q. And did you ever go to see Dr. Bauer and express
5 your concern about what was going on with your wife?

6 A. No.

7 Q. Okay. Did you -- did you or your mother in law
8 ever go to any other doctors to seek any other form of
9 treatment?

10 A. No.

11 Q. So your wife was being treated by Dr. Bauer from
12 roughly 2017 -- I'm sorry, 2008 until 2015?

13 A. Yes.

14 Q. And at no time during that time frame did you
15 complain to Dr. Bauer's office or try to switch doctors or
16 do anything like that?

17 A. No.

18 Q. Now, your wife continued to be treated for mental
19 health issues while she was being treated by Dr. Bauer?

20 A. Yes.

21 Q. And how many years from 2008 until 2015 did she
22 regularly see a psychiatrist?

23 A. She saw them pretty regularly, excuse me, up
24 until her death, from about 2007 until her death.

25 Q. Would that have been Dr. Gary Bishop?

1 A. Yes.

2 Q. And where was Dr. Gary Bishop's office located?

3 A. Honestly, I don't know. I want to say Fremont.

4 Q. Was there a doctor or psychiatrist that she
5 treated with prior to Dr. Bishop?

6 A. It was -- it was Dr. Evans.

7 Q. Dr. Evans. So did the treatment by Dr. Evans and
8 Dr. Bishop coincide with the treatment that she was being
9 given by Dr. Bauer?

10 A. That I don't know.

11 Q. Okay. How often would she go see the
12 psychiatrist?

13 A. I would say maybe once every couple months.

14 Q. I see. And did she drive herself there?

15 A. Yes.

16 Q. And even though you had concerns about that, you
17 did not prohibit her from driving to her other doctors'
18 appointments?

19 A. No.

20 Q. And you did not make any alternative arrangements
21 to get her to her other medical appointments?

22 A. No.

23 Q. And does your mother-in-law drive, or did your
24 mother-in-law drive?

25 A. She did drive, or, yes, she drives.

1 Q. And did your mother-in-law drive Melody to her
2 doctors' appointments?

3 A. I'm sure she did every -- every now and again
4 because they would stop and have lunch somewhere.

5 Q. And you mentioned earlier that she, Melody, used
6 a walker at some point in time?

7 A. I don't think I mentioned that, but she did use a
8 walker.

9 Q. She did use a walker?

10 A. Yeah.

11 Q. At what point in time did she use a walker?

12 A. In the house when she would get up from her
13 chair, maybe use the bathroom or go to the bedroom she
14 would use that.

15 Q. Okay. Did she do any other activities other than
16 go see her doctors?

17 A. No.

18 Q. Do you know the specific date that was her last
19 appointment with Dr. Bauer?

20 A. No, I do not.

21 Q. Do you know what the date was for her last
22 appointment with Dr. Bishop?

23 A. No, I do not.

24 Q. Was that at or near the time of her death?

25 A. I don't know.

1 Q. Do you know if Dr. Bishop had her on any type of
2 prescribed Controlled Substance medications?

3 A. I don't know if he did or did not.

4 Q. Did you ever ask your wife back in 2008 if she
5 was being prescribed Controlled Substances other than by
6 Dr. Bauer?

7 A. No, I've never asked her anything like that back
8 then.

9 Q. After her death, did you examine the pill
10 bottles?

11 A. No, I did not.

12 Q. Okay. So you have no knowledge about whether
13 he -- she was taking Controlled Substances from any other
14 provider other than Dr. Bauer at the time of her death?

15 A. Yes, correct.

16 Q. Okay. So there's no question that she suffered
17 from a traumatic brain injury?

18 A. Right.

19 Q. Okay. And did she suffer from headaches during
20 the time frame that Dr. Bauer treated her?

21 A. I don't remember.

22 Q. Okay. And we know that she had bipolar disease,
23 and was she -- how many years was she under the care of a
24 psychiatrist looking back from her date of death?

25 A. I'd say about five or six years.

1 Q. Okay. Did she also have thyroid cancer?

2 A. A long time ago, yeah.

3 Q. Okay. Was she being treated by any doctor for
4 thyroid cancer?

5 A. At the time she was until then, she had surgery
6 and had all that removed.

7 Q. Okay. And when was that roughly, if you know?

8 A. Oh, jeez. 2005. I mean, I don't really
9 remember. It was awhile back.

10 Q. And obviously she had the lower back pain?

11 A. Yes.

12 Q. And did she suffer from anxiety and depression?

13 A. Depression.

14 Q. Okay. And would you characterize her pain from
15 the time frame where she was being treated by Dr. Bauer as
16 being constant or persistent?

17 A. I don't -- I don't know.

18 Q. Okay.

19 A. She would always say she was in pain --

20 Q. Okay.

21 A. -- you know.

22 Q. On a daily basis or periodically?

23 A. Just periodically.

24 Q. Okay. You also spoke about, she made some stray
25 comments about wanting to kill herself?

1 A. Uh-huh.

2 Q. And with respect to the date of her death, can
3 you place those in terms of time?

4 A. No, I can't.

5 Q. Okay. So do you know if Dr. Bauer permitted her
6 to have early refills?

7 A. That, I don't know.

8 Q. Okay. But apparently she had, on occasion, tried
9 to get early refills, but she was turned away --

10 A. She has, yes.

11 Q. -- by the pharmacist?

12 A. Right.

13 Q. Did she have a regular pharmacy that she went to?

14 A. We usually went to Rite Aid in Port Clinton.

15 Q. Okay. And so you allowed her to drive, even
16 though you had some concerns?

17 A. Yes.

18 Q. And you did not interfere with or her treatment
19 by Dr. Bauer?

20 A. No, I did not.

21 Q. And you did not put your foot down, so to speak,
22 and complain to Dr. Bauer or his office about what you saw
23 with your spouse?

24 MS. DUSTIN: Objection, asked and answer.

25 THE COURT: Sustained.

1 MR. GIBBONS: Okay. Judge, just a moment.

2 Thank you, sir.

3 THE COURT: Any redirect?

4 MS. DUSTIN: Just a couple questions.

5 REDIRECT EXAMINATION

6 BY MS. DUSTIN:

7 Q. Just to kind of clarify in terms of Melody's work
8 history, after the Fremont Medical Clinic closed and she
9 was no longer working there, when was the last time she
10 held a job?

11 A. I don't think she did.

12 Q. So she passed away September of 2015?

13 A. Right.

14 Q. Prior to that when did she last hold a job?

15 A. I think she tried to take one of those traveling
16 jobs, and it just -- it just fell through.

17 Q. Why was she not able to get employed again?

18 A. She just didn't put forth any effort to try to
19 get employed anywhere, stayed home.

20 Q. And what did she do at home?

21 A. Nothing. Just sat there and passed out.

22 Q. Was there any evidence that the medications that
23 Melody was taking alleviated her pain to make her more
24 functional?

25 A. No. She walked, you know, with that walker from

1 then until her death. She didn't -- we didn't go anywhere
2 or do anything.

3 Q. Thank you. Nothing further.

4 A. You're welcome.

5 THE COURT: You may step down. Thank you.

6 THE WITNESS: Thank you.

7 THE COURT: Next witness?

8 MS. DUSTIN: We call Timothy King.

9 TIMOTHY KING, M.D.

10 was herein, called as if upon examination, was first duly
11 sworn, as hereinafter certified, and said as follows:

12 DIRECT EXAMINATION

13 BY MS. DUSTIN:

14 Q. Good morning.

15 A. Good morning.

16 Q. I'll let you set yourself up there.

17 A. Thank you. I feel like I brought in a library.

18 I'm not going to reference the printed material unless
19 there's a need to do so. I believe I can access everything
20 in expedient fashion on my computer.

21 Q. Perfect. Would you introduce yourself to the
22 jury, please?

23 A. Good morning. My name is Tim King. I'm an
24 anesthesiologist, pain management addiction specialist.

25 I practice out of Indiana, Northwest Indiana.

1 I've been in practice for about 43 years.

2 So that's a good start.

3 Q. What's the name of your practice?

4 A. The name of my practice is a APEC, stands for
5 Anesthesia -- and what's it stand for? Anesthesia and
6 Pain -- APEC is the name of it. I've kind of forgotten
7 what it stood for.

8 Q. That happens to people once in awhile when they
9 sit in that chair.

10 Tell us, where do you live? What community are
11 you from?

12 A. I'm from Indiana, an Indiana boy, Midwest boy all
13 my life. Currently I live in Indianapolis.

14 My practice, which I do, or have been doing part
15 time over the last couple years, is up in Northwest
16 Indiana, in Chicago. For all the multiple decades of
17 practice, are all -- our organization has straddled the
18 Chicago/Northwest Indiana area, so for the vast majority of
19 that several decades I practiced both in the Chicago area
20 and the Northwest Indiana area.

21 Q. Let's talk about your education and training.

22 Where did you go to medical school?

23 A. I went to medical school, I attended medical
24 school at Indiana University School of Medicine in
25 Indianapolis.

1 And then the next question you'll ask is where I
2 did my specialty training.

3 Q. When did you graduate from medical school?

4 A. I graduated from medical school in 1975, back in
5 the olden days.

6 Q. And then tell us about your residency program.

7 A. My residency program, again, for the jury, the
8 residency program is the specialty training that physicians
9 undergo after they finish with their foundational medical
10 training, medical school.

11 So I did my residency training in anesthesiology
12 and pain management at the University of Washington in
13 Seattle, Washington.

14 Q. And your residency was in anesthesiology?

15 A. Anesthesiology and pain medicine, yes.

16 Q. Who did you train under?

17 A. I trained under an individual by the name of Dr.
18 John Bonica. Again, the jury would not know who Dr. Bonica
19 is, but Dr. Bonica is commonly regarded as the father of
20 pain medicine. There's way more to it than that, but I
21 have extreme regard for him, and he was one of the authors,
22 one of the pioneers that began to look at the understanding
23 of the physiology and pathology associated with chronic
24 pain, so I was very honored to have worked and been
25 mentored by him.

1 Q. Would you describe your residency program at the
2 hospital?

3 A. Again, a residency program generally consists of
4 a minimum of a couple years. Back in the olden days when I
5 did it, there was a year of internship where I would rotate
6 through various hospitals and learn how to take care of
7 general medical issues on the ward.

8 And then the second two years of the three-year
9 program, at that time, were invested actually in the
10 operating room and in the pain clinic actually taking care
11 of patients, administering anesthesia, learning how to do
12 various type of injections for anesthetic and pain
13 purposes. And approximately, I'm just guessing, it's been
14 a long time, but approximately maybe about 10 percent of
15 that time, or 15 percent of that time, was actually spent
16 in what, at the time, was a novel clinic. We referred to
17 it as the pain clinic, multi-disciplinary pain clinic. But
18 we rotated through the pain clinic to better understand how
19 to take care of patients suffering from chronic pain and
20 how to perform various diagnostic injections, procedures,
21 and look at various treatment options.

22 Q. And when did you complete your residency program?

23 A. Residency program was completed in 19 -- 1978.

24 Q. Are you board certified in anesthesiology or any
25 other areas?

1 A. Yes.

2 Q. And describe your certifications and when you
3 were certified.

4 A. Well, I don't know if I remember the exact dates
5 of certification, maybe some of them. But I am board
6 certified in anesthesiology. I'm lifetime board certified
7 in anesthesiology. And that I don't know the date of that,
8 but it was shortly after graduation from the residency
9 training program in the 1970s, maybe early 1980s, sometime
10 in that time frame. I went on to practice anesthesia and
11 pain management in a private setting and private clinics at
12 various hospitals.

13 And it became very clear that there was a need
14 and an interest in the treatment of chronic pain. And as
15 an anesthesiologist, I had had significant training in that
16 regard. So approximately 1992, '93, pain medicine had
17 evolved into a separate specialty. It had not been a
18 separate specialty prior to that. But in approximately the
19 early 1990s, and then by 1992 there were board
20 qualifications, board certification available for chronic
21 pain.

22 So in 1992 I became board certified in the
23 specialty -- the new specialty of pain management under the
24 auspices of the American Society of Anesthesiologists. It
25 was a sub-board, as it were. So I became boarded in pain

1 medicine then.

2 In that same year I also became boarded in pain
3 medicine by the American -- American Board of Pain
4 Medicine. I get these terms mixed up sometimes. But at
5 the time, because pain medicine was a new specialty, there
6 were several agencies that were vying for the provision of
7 board certification, have to take an exam for it, have to
8 have certain qualifications. At that time I wasn't quite
9 sure who was going to be dominant in terms of board
10 certification for the long-term, so I took the board
11 certification exam through that agency as well, and now
12 have a lifetime board certification in pain management
13 through the American -- American Pain -- American -- I
14 don't remember the name, but it's American Academy of Pain
15 Medicine.

16 And then thirdly, I'm -- or fourthly, I guess,
17 I'm board certified in addiction medicine. And I became
18 board certified in addiction medicine in approximately
19 2015.

20 Q. And is a board certification the highest
21 certification recognized by the field?

22 A. It is, yes. It's the gold standard for statement
23 of specialization and competency in a particular area.

24 Q. Why seek board certification in three areas,
25 anesthesiology, pain medicine and addiction medicine?

1 A. That's a fair question. The times change. When
2 I started in anesthesiology, of course we were aware that
3 there were very -- various mechanisms of pain management
4 for when we had to make patients, in a sense, pain for
5 operations, but we also recognized there was a big need in
6 the chronic pain world. But we understood that there were
7 different diagnosis and therapies that had to be applied.

8 So as I transcended from -- transitioned from
9 pain -- from anesthesiology to pain medicine, and I did
10 eventually go fully into pain medicine, I became aware of
11 the problems that we are all aware of, and that is that the
12 use of Controlled Substances, specifically narcotics, can
13 lead to addiction and all the problems therein.

14 And it became very evident early that to really
15 do a good job in pain medicine, one needed to understand
16 the psychiatry involved in addiction and in the use of
17 long-term Controlled Substances, not only just opioids or
18 narcotics, but other medications that are classified as
19 Controlled Substances, like Xanax and Valium and that sort
20 of thing. So it became obvious to me that in order to do a
21 good job in pain medicine, it was necessary to fully
22 understand the concepts for diagnosis and treatment of
23 addiction as well. And thus I made that transition as
24 well.

25 Q. Have you had associations with teaching at

1 medical schools?

2 A. I have. I -- I'm going to use a term here which
3 is, well, it's descriptive. I'm basically an
4 in-the-trenches doctor. Yes, I do have associations, and
5 have had over the years, with several universities, but I'm
6 not the guy at the university that publishes papers and
7 oversees the residents. I actually see patients on a daily
8 basis and wrestle with their problems, their diagnosis and
9 their treatments and the long-term issues involved with the
10 disease process of chronic pain.

11 I have been associated over time with Indiana
12 University School of Medicine, with the University of
13 Chicago, and the University of Washington in Seattle as
14 associate professor. That really meant that I would be
15 invited, from time to time, to give lectures to the
16 residents, to the medical students, and sometimes would
17 participate, perhaps, in some of the teaching in the
18 clinics. So I did do that from time to time, maintain an
19 association with academia, as it were. But the majority of
20 my time was spent actually in the clinic taking care of
21 patients.

22 Q. Let's talk about your actual experience as a pain
23 management physician over the last approximately 40 years.

24 Tell us about your practices.

25 A. About what goes on in the clinic?

1 Q. Just, in general, what practices have you been
2 associated with?

3 A. You mean as in geographically where I've been?

4 Q. Yes.

5 A. When I graduated from the residency program in
6 Seattle, I went into practice in Spokane Washington with a
7 number of my colleagues, and we participated in providing
8 anesthesia and pain management services in that town for
9 quite a number of years.

10 After -- after that we moved up to Alaska.
11 That's another story, but they had a need of anesthesia
12 services up there. They were starting some new programs in
13 cardiovascular, open heart, and they wanted someone who was
14 competent in regional anesthesia, that is to, say, giving
15 shots, you might say, and pain management. So we moved up
16 to Anchorage, Alaska and were up there for quite a number
17 of years. Very much enjoined that experience, working at
18 one of the major hospitals in Anchorage and doing some work
19 at the native hospital, which was also located in
20 Anchorage.

21 So we adopted our last child in Anchorage, and
22 then we came back down to Indiana again where my parents
23 were aging and needed some help.

24 So my next practice was in Elkhart, Indiana which
25 very quickly -- because at that point I was very much

1 involved in the -- in the practice of pain management, very
2 quickly expanded to include several hospitals over in South
3 Bend and Northwest Indiana. And ultimately ended up, as
4 our group grew a little bit larger and we had to separate
5 out our duties because you can't be all places at one time.
6 So I took up stand in Northwest Indiana, which is where I
7 have been for a couple decades.

8 Q. And for the last couple decades, have you
9 actually practiced in a clinic?

10 A. I have, yes. We have a -- a surgery center --
11 well, we manage a -- we manage actually a couple of surgery
12 centers, and then we have several clinics.

13 The majority of my time has been invested in
14 taking care of patients up in the Northwest Indiana
15 corridor. Although, as senior physician, I frequently
16 would go over to Chicago and mentor some of our younger
17 physicians on more difficult cases and help them out with
18 diagnosis and treatment options in the Chicago area. But
19 primarily I was founded and grounded in Northwest Indiana.

20 Q. So you have experience with the proper
21 prescription of opioids and other pain relief medications?

22 A. Yes, correct.

23 Q. And with -- with also with respect to injections,
24 do you have experience administering injections for pain
25 relief?

1 A. Yes. The injections are what we refer to as an
2 interventional approach to pain management, shots, but we
3 term it a little bit nicer and say the interventional
4 approach. There's a host of requirements and skills
5 necessary for that, the majority of which actually come
6 from the field of anesthesia. And no surprise, as an
7 anesthesiologist I was taught to put needles in various
8 locations accurately, carefully and meticulously. And then
9 over the years that developed into a set of skills that
10 were very necessary and applicable for both treatment and
11 diagnosis of chronic pain conditions. So, yes, that was a
12 large part of what I did and what I still do.

13 Q. Well, tell us about the type of patients and the
14 conditions you treat.

15 A. Chronic pain involves a lot. Statistically the
16 majority of problems we treat are represented by spinal
17 disorders. Majority of people come in with various back
18 pain or neck pain, or perhaps what we call radicular pain,
19 sciatica radiates into the leg, or the pain radiates into
20 the arm. So I would say the majority of what we treat, and
21 majority of pain patients that present are complaining of
22 some sort of spine pain, with or without radiating or
23 radicular qualities.

24 But pain is more than that. Pain can be any sort
25 of nerve disorder or muscle or joint disorder and includes

1 everything from rheumatoid arthritis and fibromyalgia to
2 post-injury pain or post-surgical pain, or pain associated
3 with herpes zoster or shingles. We don't limit ourselves
4 in our clinic. And I -- some clinics do, but we don't
5 limit ourselves. If a patient is complaining of chronic
6 pain, from whatever source, we invite them in and we
7 evaluate things to see what we may have to offer.

8 Sometimes if it's not an area where we have the
9 special tools to address the pain, we may refer them out to
10 colleagues who do have specialty skills in certain areas.
11 But basically we take all comers.

12 Q. And are you currently active in your clinic?

13 A. Okay. So that's a two-part question. Covid hit,
14 as we all know, and that, like, threw an atom bomb into the
15 middle of things. So we had to very quickly transition to
16 Telehealth like a lot of practices did. So during the year
17 of Covid I backed off a little bit, and from -- well, I
18 backed off entirely from direct patient care, and I
19 practiced Telehealth.

20 And then I would come into the clinic
21 periodically, again, as senior physician, to mentor some of
22 our younger physicians and our Nurse Practitioner and to
23 help out with cases that they needed a little assistance
24 on.

25 Beginning in the spring, late spring, I elected

1 to go on Sabbatical for a year, so, as we speak, I'm not
2 actively involved in the clinic. I'm on Sabbatical
3 pursuing other professional interests and endeavors.

4 Q. Have you given seminars or presentations on
5 chronic pain, the responsible use of opioids, opioid
6 addiction and drug diversion?

7 A. I have given those lectures many times to many
8 audiences.

9 Q. Where are you licensed to practice medicine?

10 A. Currently I have active licenses in the states of
11 Indiana, Illinois and Michigan, which makes sense. That's
12 the nuclear area where I practice.

13 Over the years, depending on where I have lived
14 or other professional obligations, I -- I have had licenses
15 which are currently inactive, in Alaska, Washington State,
16 Oregon, California, Arizona, Florida. I think that's the
17 list.

18 Q. Michigan?

19 A. Well, Michigan I have an active license in
20 currently.

21 Q. And then do you serve on any credentialing
22 boards?

23 A. I have, over the years, served on the
24 credentialing boards of the -- occasionally the hospitals
25 that -- that were in the community that I practice in.

1 Most of that was in Northwest Indiana, so I served on the
2 credentialing board at St. Anthony's Hospital in Northwest
3 Indiana. Currently I'm not on any boards.

4 Q. And do you perform consulting work in terms of
5 court cases?

6 A. I do. I do. Approximately -- and, again, I'm
7 just dealing in approximation, but approximately, let's
8 say, five to ten, maybe closer to 10 percent of the work
9 that I do these days in consultation have to do with what
10 you might term audits, where a medical practice may call me
11 up and say we're concerned about the opioid crisis. We
12 have 20 doctors or ten doctors, and we want to make sure
13 we're all following the appropriate standards of care and
14 protocols. King, would you mind coming in and doing a
15 chart review and sort of auditing our doctors to make sure
16 everything is fine so we don't get into trouble.

17 So, yes, I participate in that. I do
18 consultations with specific medical groups.

19 There's a sort of a part two to that, in the
20 sense that back -- well, I've spent a lot of time with the
21 Attorney General's Office, State of Indiana, where they
22 asked me to come in back in the early part of 2012, 2013 to
23 assist in the states putting together of appropriate opioid
24 protocols. Most of the state boards did, Ohio did it as
25 well. But they asked me to participate on -- on the

1 committee for Indiana.

2 As part of that, I also worked with the Attorney
3 General's Office on specific cases. Cases that they
4 brought, were bringing to the medical board for
5 overprescribing or pill mill type operations. And I guess
6 that's a long way of saying as I worked there, and I had a
7 little niche place in the back office, the investigators
8 would sometimes bring me cases that had not actually been
9 brought to maturity, but ones that they were concerned
10 about and would ask me my opinions. So I did a fair amount
11 of consulting in that regard on cases that the state was
12 not sure whether it merited proceeding to medical board
13 action.

14 Q. So you've consulted in criminal prosecutions?

15 A. I've consulted in criminal prosecutions, yes.

16 Q. Civil suits?

17 A. Civil suits, yes.

18 Q. And both in federal and state court?

19 A. Yes.

20 Q. And I think you mentioned your administrative
21 cases with respect to the medical board.

22 A. Correct.

23 Q. Have you been accepted as an expert in pain
24 management by courts?

25 A. By?

1 Q. By courts, in federal and state jurisdictions.

2 A. I have, yes.

3 Q. Do you know how many times you have testified as
4 an expert?

5 A. Total testifying, including over -- participating
6 in suits that involved my patients that were, say, Work
7 Comp cases, I've testified maybe approaching 55 times.

8 With regard to what you just asked in terms of
9 state and federal expertise in pain management,
10 approximately 35 of those cases have been involved in
11 asking for my expertise.

12 Q. How many times have you been asked to be an
13 expert and review a doctor's patient charts to determine if
14 the doctor wrote prescriptions within the usual course of
15 professional medical practice?

16 A. That would be the majority of those -- beyond
17 just the ones I testified for?

18 Q. Yes.

19 A. Well, I don't keep exact track, so it would be
20 greater than 35 because those cases all involved those
21 questions, as does the one today.

22 And beyond that, I've testified maybe -- not
23 testified, I've been involved and have served as an expert
24 in maybe an additional, I don't know, five to ten cases
25 that were settled prior to coming to court in which case I

1 did not need to testify.

2 But the foundational question was still, were
3 medically Controlled Substances prescribed for legitimate
4 medical purpose and in the usual course of medical
5 practice.

6 Q. Have you ever been asked to be an expert and
7 review a doctor's patient charts and found that the doctor
8 acted appropriately?

9 A. No. If the question is have I testified in favor
10 of the doctor --

11 Q. No, I asked you if you just asked to review the
12 charts.

13 A. Yes, I've reviewed several charts where I have
14 found there's no foundation for pursuing, you know, further
15 prosecution.

16 Q. And maybe that was with respect to state, federal
17 courts or your work with the Indiana Attorney General's
18 Office?

19 A. That's correct. The majority, if not all that,
20 was through the Attorney General's Office, correct.

21 Q. Now, do you have a separate business aside from
22 your medical practice?

23 A. I do. The -- yes, I do.

24 Q. And why did you establish that business?

25 A. Well, in the business you're referring to, so the

1 jury understands, is my consulting practice. In the
2 beginning, and by in the beginning I mean around 2012 or
3 so, or prior to 2012 I had been involved in offering my
4 expertise to the federal government from time to time, but
5 generally not frequently. It was perhaps once a year or
6 once every other year.

7 And I participated in that using the -- using the
8 personnel in our medical practice to assist me because
9 there's a lot of clerical work that needs to be done in
10 these cases. But it wasn't very frequent, and I didn't
11 need to establish a separate corporation one might say.

12 However, when I began work with the Attorney
13 General's Office, all of a sudden that kind of went like
14 that, and the time involved, and then the requirements for
15 record keeping and assembling of documents and putting
16 together various types of reports mushroomed. The time
17 requirement became so great that at that point I
18 incorporated and brought on some staff because I couldn't
19 just use the staff at the clinic when they had a little bit
20 of spare time.

21 So the name of my consulting organization was at
22 that time, and still is, Midwest Medical Legal Consultants,
23 and that's a corporation.

24 Q. And do you have employees?

25 A. I'm sorry?

1 Q. Do you have employees?

2 A. Yes, I do have employees. I have -- I have two
3 nurses, two registered nurses and an office manager.

4 Q. Are you related to your employees?

5 A. I am related to them. The two registered nurses
6 are -- well, one registered nurse is my wife. She is a --
7 is a registered nurse. She has background expertise and
8 certification in pain management, so she has been very
9 helpful in that regard.

10 The other registered nurse is my daughter, who
11 has had training in critical care and worked at the
12 University of Chicago for many years. And I brought her in
13 to assist with some of the work as well.

14 The third employee, the office director, is my
15 daughter as well. She is a teacher with expertise in
16 computer technology, and she has been invaluable as well
17 with regard to record keeping and organization of various
18 things. So, yes.

19 Q. And how do your -- the nurses assist you in your
20 consulting work?

21 A. They perform the clerical work. For instance, in
22 this case, the patient charts were extremely lengthy. When
23 they came in, they were anywhere from 1,000 to 1,500 pages.
24 As a matter of fact, in total for this case I reviewed
25 pretty close to 30,000 pages. That's a lot of pages.

1 That's a lot of -- I don't even read books that long.

2 But these came in and needed to be organized, and
3 they needed to be organized in a very specific way. So the
4 office manager and the two nurses would work with
5 organizing the material as it came in, such that we could
6 work with it in a very straight forward and objective way.

7 Would you like me to describe how we do that? Is
8 that the question you're asking?

9 Q. Sure.

10 A. In terms of the forensic chronology --

11 Q. We can get to that a little bit later. Let's
12 talk a little bit more about your background.

13 You've practiced in several states, is the --

14 BY THE COURT REPORTER: Excuse me --

15 BY MS. DUSTIN:

16 Q. Oh, I'm sorry. I said, Dr. King, you've practiced
17 in several states. Is the basic practice of medicine
18 different depending on the state?

19 A. No. The -- the practice medicine, the standard
20 of care for medicine is the same throughout the country.

21 Q. Is it similar in Ohio?

22 A. It is similar in Ohio, as it is in Indiana, yes.

23 Q. Can you explain the basics of treating a patient
24 in a medical practice in any medical field in any state of
25 the country?

1 A. I'm going to rephrase that question a little bit
2 if it serves your purpose. The question can be phrased
3 what is the practice of medicine, what constitutes the
4 practice of medicine, and I'm going to make it very simple
5 for you here.

6 Regardless of what specialty a physician is,
7 whether it's oncology or OB/GYN or pain medicine or
8 anesthesiology or neurology, doesn't make a difference.
9 There's four basic bullet points as I often use as my
10 example here.

11 The first thing a physician must do to
12 participate in the legitimate practice of medicine is a
13 patient evaluation. And that patient evaluation makes
14 sense. You know that, you go into the doctor, you get
15 examined, you have a history taken, you have past x-rays or
16 MRIs obtained, or past medical history, past medical
17 records reviewed. So the first point is in the practice of
18 medicine an evaluation is performed.

19 The second thing is, based on that evaluation, a
20 diagnosis is determined. So the diagnosis has to have
21 foundation, it has to be objective. It can't be just, you
22 know, a thought process. It has to be based on objective
23 findings in the evaluation. So number two would be a
24 diagnosis.

25 Number three would be, okay, now we have a

1 diagnosis, what do we do? We formulate a treatment plan.
2 So the third bullet point in the practice of medicine is a
3 treatment plan.

4 And then the fourth -- and that treatment plan,
5 by the way, is unique to the patient. You can't have,
6 like, the same treatment plan for everybody. It might be
7 similar if you're a specialist, but they can't be the same.
8 Pain medicine, it has to be multi disciplinary, and it has
9 to be unique. And I know we'll go back to discussing that
10 another time.

11 But the fourth thing is then after you initiated
12 the treatment plan, you have to follow up with the patient,
13 right, and that makes common sense. You have to evaluate
14 the patient over time to see if they're responding
15 appropriately to the treatment plan, to see if they're
16 compliant with the treatment plan. In the case of pain
17 medicine, make sure there's no abuse, diversion or
18 addiction going on. But that becomes an iterative process,
19 then you come around and start again to see the patient
20 back again, reevaluate them, you reaffirm or change the
21 diagnosis as appropriate, you reaffirm or change the
22 treatment plan as appropriate, and then you send them out
23 and follow up with them to see if it's working.

24 So those four things constitute a very
25 appropriate and simple and objective definition of what

1 medical practice is.

2 Q. Does morality play any role in that?

3 A. Morality plays a big role, yes. Thank you for
4 asking that. You've all heard that, you know, we all take
5 a vow when we graduate from medical school that we will do
6 no harm. Well, that -- that is true. There are actually
7 two -- two things that, as physicians, we are expected,
8 from a morality or ethics standpoint, to maintain.

9 One is to do no harm, and the other is to do
10 good. I'm not going to use all the fancy Latin phrases for
11 that, but the point is, yes, as part of our process of
12 evaluation, diagnosis, treatment and follow up, we have to
13 make sure that we're serving the patients' best interests,
14 and that we are doing no harm, and are, in fact, doing
15 good. And that's where sort of the risk and benefit ratio
16 conversation starts to come in as well, but, yes.

17 Q. Does the --

18 THE COURT: We might be doing good if we took our
19 mid-morning break unless you want to --

20 MS. DUSTIN: No, sounds like a good time.

21 THE COURT: Let's take our mid-morning break, 15
22 to 20 minutes, whatever you may need in that range.

23 Please remember all the rules. We're in recess.

24 (A brief recess was taken.)

25 THE COURT: Before you continue with your direct

1 examination, I need to remind all spectators, and, counsel,
2 you might help me in that regard. Anyone who is visiting
3 during the course of this trial is, of course, welcome.
4 However, you should refrain from any type of gestures or
5 reaction to testimony that you're hearing, whether it be
6 favorable or unfavorable. Thank you very much.

7 BY MS. DUSTIN:

8 Q. Thanks, Judge. I think we were talking about
9 standard of care, is that right, Dr. King?

10 A. I -- well, that's a good place to start.

11 Q. We talked about how you take care of a patient?

12 A. Yes.

13 Q. And is that the standard of care essentially?

14 A. The standard of care, okay, so let me offer a,
15 sort of a working definition of standard of care. Standard
16 of care is -- are the actions of a prudent practitioner
17 acting according to generally accepted medical and
18 scientific principles as practiced in the United States.
19 That's a little bit of a formal definition, but, basically,
20 one might say a way to translate that would be it's the
21 generally accepted way to treat a patient for a given
22 condition.

23 Q. So you went to medical school, I think you said
24 it was about in the 1970s?

25 A. That's correct, yes.

1 Q. And Dr. Bauer went to medical school in the late
2 '60s?

3 A. I believe, recollection looking at his CV, it was
4 in the late '60s, I was in the early '70s, so we maybe
5 overlapped a year or so, something in that regard. We're
6 basically old doctors, yes.

7 Q. Is that the last time you, or any doctor, should
8 have been educated about the standard of care?

9 A. No, not at all. The standard of care is
10 something that evolves over time, and this makes common
11 sense, you would -- you would understand this. The
12 standard of care responds to changes in our understanding
13 of disease, in terms of what causes it, the best way to
14 treat it, and -- and the medications that are available,
15 techniques that are available.

16 So as medical and science proceeds and we have
17 more tools and more understanding of particularities of
18 medicine, the standard of care would reflect those -- those
19 items so that we can always, in the end, have the patient's
20 best interest in mind, and provide a -- a baseline set of
21 diagnostics and therapeutics of patients for their best
22 interest. So the standard of care evolves over time as
23 techniques and understanding evolves.

24 Q. How do doctors ensure they keep up with the times
25 as they change?

1 A. There are many ways as a physician that we can
2 keep up with the times as they change, as you put it. A
3 couple examples, you've probably heard of continuing
4 medical education, or what we call CME's. It's necessary
5 for most state licenses. In order to renew your license in
6 any given state at any time, you have to have fulfilled so
7 many hours of CMEs, which is one way of keeping up.

8 We all subscribe to various journals that are
9 appropriate to our specialty. I subscribe to a number of
10 journals that have to do with anesthesiology and pain
11 management journals. There are quite a number of pain
12 management journals. So reading journals is another
13 generally accepted way to keep up with the standard of
14 care.

15 Another example of how to keep up would be
16 through our professional organizations. Just as we read,
17 and are expected to read, medical journals to keep up on
18 scientific and medical advancement, we also belong to
19 various professional organizations that hold meetings,
20 seminars and sometimes put forth various position papers
21 telling us how to act with regard to certain things, like
22 how to use opioids for the treatment of chronic non-cancer
23 pain.

24 But those -- those organizations would be
25 organizations like the American Academy of Neurology or the

1 American Society of Anesthesiologists or the American
2 Academy of Pain Medicine, or the American Pain Society. So
3 we have a number of those, and we keep up with regard to
4 advancements through them. And then we go to meetings, and
5 we go to meetings and we talk with people, and we
6 interchange ideas of successes and failures to understand
7 what's working and what's not working. So there are many
8 ways to do that.

9 And I would just simply add board certification.
10 Board certification, except for back in the olden days when
11 I started anesthesiology and was given a lifetime
12 certification in anesthesia, my board certification in pain
13 management is not a lifetime certification. It has to be
14 renewed every ten years. And during that ten year time
15 period, I'm expected to read so many hours, to go to so
16 many meetings, to get involved in so many projects so that
17 I understand what the standard of care is and I'm up to
18 speed and up to date on that. So the ten-year renewal for
19 board certification is another mechanism, a very good
20 mechanism, where, objectively, where we're presented with
21 current scientific and medical advancements so we can
22 continue to understand the standard of care.

23 Q. And do also medical boards for each state send
24 out guidance and -- with respect to the standard of care
25 relative in that state?

1 A. Yes. Each state sometimes has a certain bias
2 towards asking their physicians, perhaps, to invest a
3 certain number of their required hours to learn about,
4 let's say, HIV and AIDS. That happened about a decade ago
5 in Washington state where I had a license at the time. So
6 part of my CMEs had to be in that area.

7 Other states, in response to the opioid crisis,
8 have required that some of our CMEs be in the area of
9 opioid prescribing. So that's -- and I'm -- I'm not
10 100 percent sure which states have required that, but I
11 know some have, so that's another mechanism.

12 Q. Are you familiar with the statute that regulates
13 manufacture, importation, possession, use and distribution
14 of controlled substances?

15 A. Yes, what you're referring to is what we call the
16 Controlled Substances Act of 1970.

17 Q. When did you become familiar with that act?

18 A. Well, 1970 was the year I started medical school,
19 so I'm very familiar with that because I was brought up at
20 the time that I remember clearly. President Nixon was
21 president, and the Controlled Substances Act was being
22 brought into being to address the use of Controlled
23 Substances, meaning narcotics and sedatives and some other
24 things.

25 And as part of that act, we had to -- well, as

1 part of that act, the Drug Enforcement Administration, the
2 DEA, was brought into being. I'm not an expert on the
3 timing on all this, but that was part of the process that I
4 was being taught in medical school at that time. And as
5 part of that process, and as a physician, as a young
6 student physician, I was -- I was prescribing opioids, from
7 time to time, when we approached our third and
8 fourth medical school years.

9 But in order to do that, I had to apply to the
10 DEA, the Drug Enforcement Administration for a certificate,
11 a Controlled Substance certificate, that would register me
12 and allow me to be a provider, in the sense that I would
13 write prescriptions. So I was very familiar with it
14 because it occurred during the time frame when I was in
15 medical school.

16 Q. And what are Controlled Substances?

17 A. Controlled Substances is the larger term for
18 medications that physicians and other providers can
19 prescribe. That's because of their potential danger and
20 because of their addictiveness, the Drug Enforcement
21 Administration and Congress has put those drugs into a
22 separate category saying you have to have special
23 certification to prescribe these, again, because of the
24 danger and because of the addictiveness. And -- and there
25 are -- there are five classifications starting --

1 Q. If I can interrupt you. I'm going to maybe help
2 you present your answer with the use of an exhibit and a
3 demonstrative exhibit that we've blown up.

4 Let me show you what's been marked as trial
5 Exhibit 804.

6 THE COURT: I would assume the chart is the same
7 as what's on our screens?

8 MS. DUSTIN: Yes.

9 BY MS. DUSTIN:

10 Q. Does that look the same as what's on our screen?

11 A. It is, yes.

12 MS. DUSTIN: With The Court's permission, may I
13 have Dr. King step down and explain what Controlled
14 Substances are utilizing this exhibit?

15 THE COURT: He'll need to be mic'd to do that.
16 If he needs a portable mic we can do that, or he can stand.

17 MS. DUSTIN: You can do this right here. I'll
18 step away and let you into the mic here.

19 THE COURT: You'll need a portable mic if you ask
20 a question.

21 BY MS. DUSTIN:

22 Q. All right. Looking at trial Exhibit 804, and it
23 has some categories of Controlled Substances, and explain
24 to us what these are.

25 A. So, again, Controlled Substances are those

1 medications that -- that generally have some sort of
2 medical use associated with them, but are structured into
3 different schedules, depending how dangerous they are and
4 how addictive they are.

5 But just as a reference here, we don't have
6 Schedule I, but Schedule I medications would be medications
7 like heroin or LSD, where there's no medical usage for it.
8 There would be no reason why, as a practitioner, would have
9 to prescribe or could prescribe those. They're very
10 dangerous and have no medical applicability. So that's
11 Schedule I.

12 On this chart we go through Schedule II, Schedule
13 III -- Schedule II, Schedule IV and Schedule V Controlled
14 Substances. These are the medications that typically would
15 be part of my moratorium in the clinic that I might, from
16 time to time, prescribe to patients, so that's why we're
17 talking about these three particularly scheduled
18 categories.

19 So Schedule I -- sorry, the first list of
20 Schedule II Controlled Substances, and these are the ones
21 that you've heard of that are sort of headliners in terms
22 of the opioid crisis. These are the Dextroamphetamine or
23 Amphetamine medications. Brand name on that would be
24 Adderall. And that's used for various psychiatric
25 conditions like attention deficit disorder.

1 Oxycodone and Acetaminophen is Percocet. And
2 there are different formulations of Oxycodone. You've got
3 pure Oxycodone that is -- actually, this isn't quite
4 correct here. Well, Oxycodone ER, which is extended
5 release, is -- so Oxycodone ER, Oxycontin and Percocet all
6 have the active component Oxycodone in it. So they're all
7 different formulations. Some of them are time released,
8 some are in combination with Tylenol, but the active
9 component is the Oxycodone, the narcotic Oxycodone.

10 And then next you have here, I'm going to skip
11 around a little bit, but you have the Hydrocodone, which is
12 the Norco type medications you've heard of, that's in
13 combination with Tylenol. Vicodin is an older name which
14 had a different concentration of Tylenol in it. But
15 Hydrocodone is the main component of that particular pill.
16 Of course you all know Morphine, Morphine comes in
17 different formulations, controlled release or ER, extended
18 release or time release, but it's still Morphine.

19 And, additionally, you all know about methadone.
20 You may not know about Oxymorphone. Oxymorphone is in the
21 formulation called Opana, but Oxymorphone is very -- well,
22 it's actually a breakdown product in the body of Morphine,
23 so it's very much related to Morphine.

24 Some of these you've heard of, some you haven't,
25 but these compose the Schedule II. And the Schedule II is

1 the highest schedule because of concern about the safety of
2 the medications, but they are medications that are used,
3 from time to time legitimately, in the practice of
4 medicine, so they're Schedule II.

5 Are there any questions you want to ask me on
6 that?

7 Q. Did you indicate that you wanted to clarify
8 something on the chart?

9 A. Yeah, the clarification was Oxycodone --
10 Oxycontin is continuous release, contin for continuous.
11 Oxycontin is listed here as a common brand name. Actually,
12 Oxycontin is a brand name for Oxycodone ER. It's not a big
13 deal because we're dealing with sort of the same medicines.
14 Just one's time released, the other is not, still the same
15 medicine. Because technically we have Oxycodone as a
16 freestanding brand name as well. It's been around, it's a
17 generic name. But, otherwise, it's completely accurate.

18 Q. Maybe explain to us what it means to have an
19 extended release formulation of a drug.

20 A. In the beginning it seemed to make common sense
21 that if an individual was needing narcotics, let's say
22 three or four times a day, that it might make sense to have
23 an extended-release formulation that would maybe last eight
24 hours, or maybe 12 hours, or maybe even 24 hours. So with
25 that sort of common sense thought -- by the way, this

1 turned out not to be a good idea, just in case you were
2 wondering. But it seemed common sense at the time in the
3 late '80s and early '90s. And Purdue Pharma was the
4 company that started producing controlled-release Morphine
5 first, and then controlled-release Oxycontin after that.
6 They started marketing -- the drug companies started
7 marketing these medications as, you know, this will cover
8 the pain needs for eight hours or 12 hours. Turned out
9 that's not the case. Turned out that there were problems
10 associated with that, and that's a separate lecture, but
11 the situation was in the beginning it seemed to make sense
12 to look for various additives to these medications that
13 would allow it to dissolve slowly in the stomach and
14 provide the body with a controlled release. But it never
15 turned out like the drug companies said to be a blood level
16 like this, it was like this. So you'd start out and you
17 get a high dose, and then it would taper off so it was
18 never an even schedule.

19 So, for various reasons, that ended up supporting
20 addiction and diversion. You know, if -- if an addict
21 could get ahold of a 30-milligram Oxycontin, he'd have a
22 lot of medication that he could grind up and shoot
23 intravenously. So it added to the diversion and abuse
24 issue, as well as not proving itself to be helpful from a
25 medical standpoint. But we still have some of these ER

1 formulations in our formula, and they can be used. They're
2 not used all that much these days.

3 Q. And then the last category, the class, could you
4 tell us about the class?

5 A. Oh, okay. Yes, I'm sorry, I -- I totally
6 neglected that, that was behind my right shoulder here.
7 There are multiple types of Controlled Substances, right.
8 They aren't all narcotics. We have Controlled
9 Substances -- well, okay, so the -- well, the three or four
10 major ones that you would do well to understand.

11 Number one are the opioids or the narcotics. And
12 that includes the action Oxycodones, the Norcos and the
13 Methadones we've been talking about. You understand what
14 narcotics are, they're the actual pain relievers.

15 The second class of Controlled Substances are
16 what we call the sedative hypnotics or the sleeping pills
17 or the tranquilizers. And that would include things that
18 we call Benzodiazepines, which would be Xanax, Valium,
19 Clonazepam, Ativan, things of that sort. And they
20 typically will show up here on the Schedule IVs as
21 Clonazepam, Diazepam. Diazepam is Valium. Clonazepam is
22 Klonopin. They are Benzodiazepines, so they're the class
23 of medications called Benzodiazepines. Think of them as
24 the sedatives or as the tranquilizers.

25 There's a third class that we refer to as

1 stimulants. And that's, as represented here by Adderall,
2 or -- and Adderall, by the way, is pure Amphetamine. It's
3 in the class of stimulants. There are different types of
4 stimulants, but Adderall tends to be one of the common
5 ones. If you remember that, that's adequate.

6 So we have the opioids, we have the sedatives,
7 and we have the stimulants. The fourth class, and we
8 mention this fourth class because we do see it as a
9 combination poly pharmacy medicine that comes into play,
10 and I'll describe that later. That would include things
11 like Pregabalin or Lyrica. You may have heard of Lyrica,
12 or you may have heard of Gabapentin or Neurontin. Those
13 medications are not opioids, they're not sedatives, but
14 they do have addictive qualities. And they particularly
15 have addictive qualities when they're combined with some of
16 the other narcotics or sedatives. And we'll talk more
17 about that later.

18 But the fourth class would be the non-opioid,
19 non-sedative, non-stimulants, that would include not only
20 the Pregabalin or Lyrica, but it would also include
21 Carisoprodol. You'll never remember how to pronounce that.
22 It's called Soma. Soma is a muscle relaxer. You may have
23 had heard of that. Soma is an addictive muscle relaxer,
24 and there's really no medical need to use that in a pain
25 management scenario these days. But historically it has

1 been used and abused, so we include it here because we do
2 see it, and we'll see it in some of the patients that we'll
3 talk about today. So the fourth class would be the other,
4 which should be the Somas and the Lyricas.

5 Q. Are there concerns with combinations of certain
6 of these Controlled Substances?

7 A. You never wanted to come and have jury duty and
8 learn chemistry, but you're having to do so today.

9 So here's the deal, I just gave you a whole bunch
10 with regard to how we classify the Controlled Substances.
11 Again, we've got the opioids, the sedatives, the stimulants
12 and the other. We can combine those, and we can cause
13 further mischief by combining them. And I'm going to give
14 you two examples, and we're going to refer this -- I'm
15 going to refer to this as poly pharmacy, meaning many
16 different pharmaceuticals coming together. The people out
17 on the streets, addicts, the individuals who are looking
18 for different ways to get high, have experimented and have
19 found that there are certain combinations that work
20 extremely well to provide a euphoria and, therefore,
21 unfortunately, contribute to long-term addiction.

22 One of those combinations is what's called the
23 Holy Trinity. The Holy Trinity, you know, notwithstanding
24 what we've been taught what the Holy Trinity really is, is
25 a combination of an opioid, you pick the opioid, any one

1 will do, plus a Benzodiazepine, you pick whatever
2 Benzodiazepine you want, typically it ends up being Xanax,
3 and you add Soma to it. So if you have an opioid plus
4 Xanax or another Benzo, plus Soma, you have what's called
5 the Holy Trinity.

6 Why is the Holy Trinity so attractive? Why is it
7 so street popular, and why is it named that? Because the
8 Holy Trinity, that combination of Benzo, opioid and Soma
9 will cause a heroin-like euphoria, a heroin-like euphoria.
10 So if an individual is concerned about the medications they
11 might be getting off the street, because you've read a lot
12 lately about, you know, you buy heroin off the street and
13 sometimes it's cut with Fentanyl and instant death results,
14 right. So there's a lot of concern about buying certain
15 drugs off the street, but if you can get the medicine from
16 your doctor in the combination of an opioid, a Benzo and
17 Soma, you get the same heroin-type response with known
18 medications that still would be very deadly and still very
19 addictive. But that's the push for the Holy Trinity
20 combination.

21 There's not a medical foundation for the use of
22 the Holy Trinity in pain management, but you will see that,
23 and you'll hear me talk about that some more.

24 The second poly pharmacy combination is called a
25 prescription speedball. These are the only two I'm going

1 to burden you with here today. Well, that's not true,
2 there are going to be others, but for right now this is the
3 only one. But the prescription speedball -- so take a step
4 back, what's a speedball? A speedball, you've all read
5 about John Belushi died of a speedball. That's cocaine
6 plus heroin. Cocaine is a stimulant, heroin is a narcotic,
7 I don't know if you're aware of that, heroin is a narcotic.
8 It's a very dangerous narcotic, but when you combine a
9 stimulant and a narcotic like cocaine and heroin, you have
10 a speedball. If the doctor prescribes a stimulant and a
11 narcotic, let's say Adderall and pure Amphetamine as the
12 stimulant, plus any of the narcotics, you have what's
13 called a prescription speedball. And it's dangerous, and
14 it's addictive, and there might be sometimes where the
15 two -- the two medications might be medically appropriate,
16 but it would have to be done in conjunction with, say, a
17 psychiatrist or an addictionologist, or somebody who was
18 aware of the concerns when you combine the stimulant with a
19 narcotic, especially multiple narcotics, because it is
20 addictive, and it does lead to death.

21 So these are the two poly pharmacy combinations
22 that I want to make you aware of.

23 Q. Where would heroin fall in this chart?

24 A. Heroin is not on this chart. As I indicated,
25 heroin is a Schedule I. There's no medical utility for

1 heroin. So it's a Schedule I, meaning it's extremely
2 dangerous, and there's no medical utility.

3 Q. And you talked about Fentanyl, like, I think in
4 conjunction with the opioid epidemic. Where is Fentanyl in
5 the chart, and is there any medical use for Fentanyl?

6 A. Yes, Fentanyl is a narcotic or an opioid, so it's
7 in the class of opioid. It doesn't happen to be -- yes, it
8 does, it's right here Fentanyl. There are many
9 preparations for Fentanyl. You can have it as a liquid, as
10 an injectable, and we use that in anesthesia quite a bit.
11 It's not available as a pill, but it is available as a
12 patch. So if we were to prescribe it in the clinic, it
13 would be in the form of a patch. And the brand name for
14 that patch is Duragesic, you probably maybe heard of that
15 before.

16 And the patches come in different concentrations,
17 different strengths, but they -- but they basically are put
18 on the skin, and the medication is absorbed through the
19 skin over the course of days. We typically think a
20 Duragesic patch has efficacy for about three days, and then
21 has to be changed. But that is a medication we do use from
22 time to time.

23 There are some problems, both therapeutically and
24 safety associated with it, it's not used as much as some of
25 the other narcotics, but that's the status of the Duragesic

1 or the Fentanyl.

2 Q. You can take your seat.

3 And so opioids, you've talked about them in terms
4 of -- in terms of the class of the Controlled Substances.
5 What are they used to treat?

6 A. Well, opioids are pain medications. And over the
7 years we've learned that they're very effective for short
8 term pain management. As I indicated, we use the opioids
9 as part of our anesthetic regimen on a regular basis. We
10 have longer opioids and shorter opioids, but we use them as
11 part of a general anesthetic in the operating room.

12 We also have found the opioids to be very
13 effective for what we call acute pain management. The --
14 and, you know, if somebody breaks a leg or somebody
15 needs -- is recovering from an operation and may need three
16 or four days of opioids to get over the hump, as it were,
17 until the body starts functioning a little bit better, so
18 let's say after a car accident or a trauma or a fall. But
19 the opioids are very effective not only in anesthesia but
20 also for short term acute pain management.

21 The third area where they've been shown to be
22 very effective is in cancer pain management, or what we
23 refer to generically as end of life management, and that
24 would include palliative care. Palliative care would be
25 end-of-life management for cancer, plus other medical

1 conditions as well. But, again, the key factor there is
2 it's for end-of-life treatment. So, again, we're using
3 opioids for short term treatment, whether it's in the
4 operating room or for a couple days after an injury or for
5 end-of-life treatment. And that's -- that's the area
6 that -- where we find opioids to be useful.

7 Q. How do they work?

8 A. Opioids work, you really don't want a
9 pharmacology lesson here, so I'll make it pretty simple.
10 There are receptors in the body, opioid receptors or pain
11 receptors you've heard them referred to. And called MU,
12 MU, couple different types, but it's a MU receptor, and
13 they're throughout the body. And when we administer an
14 opioid, whether it's by patch, by pill or by injection, the
15 opioids go to these MU receptors and inhibit the
16 transmission of pain. That's why they work so well short
17 term.

18 They do other things too, they also inhibit your
19 breathing, and they stop your bowels, and have other kinds
20 of issues associated with it. But we use it for the MU
21 opioid receiver end of things to stop the pain. That's how
22 they work in a nutshell.

23 Q. And do they affect your brain?

24 A. They do affect your brain, yes. We -- we don't
25 understand exactly why this is so, but there are opioid

1 receptors in the brain as well, and in the brain stem. And
2 specifically I mentioned the brain stem because that's
3 where your center of respiratory activity is. You don't
4 think about your breathing, it just occurs automatically
5 because of the activity in your brain stem. But the
6 opioids will -- MU receptors in the brain stem and shut
7 them down, just as it shuts down pain, and you'll stop
8 breathing. Which is why that's one of the key red things
9 we look at when we evaluate a patient to see if they're
10 candidates for opioid therapy. We look to see if they have
11 any respiratory problems that might predispose those even
12 further for stopping breathing, sleep apnea or asthma or
13 chronic obstructive lung disease or any kind of lung
14 disease.

15 So we have them in the brain up there, and we
16 also have them in the higher centers of the brain that deal
17 with what we call cognition and sedation. Because two of
18 the big side effects besides, or in addition to stopping
19 breathing, is opioids will make you sedate, they'll put you
20 to sleep. Not terribly well, but they will make you sedate
21 so you're not functioning properly, and they will inhibit
22 decision making or what we call the cognitive process. You
23 won't be able to make cogent decisions, your common sense
24 will not be there.

25 So we worry about sedation, cognitive deficits

1 and breathing inhibition as a result of some of the opioid
2 receptors that are located in what we call the central
3 nervous system in the brain and spinal cord.

4 Q. Would they also affect personality?

5 A. They do affect personality. And again, when
6 we're talking about the use of opioids long-term for
7 chronic pain management and -- and let's say the individual
8 is becoming dependent on the opioids, the opioids long-term
9 and based on dose as well, will begin to affect the
10 prefrontal area of the brain where our -- where our central
11 processing unit is, to use an analogy with the computer.
12 And it will, over time, rewire that, and it will change not
13 only the person's ability to make rationale decisions and
14 to control emotions, but it will definitely change
15 personality.

16 So, yes, opioids are related, particularly in the
17 long-term, to changes in the person's ability to think
18 properly, to think in a cognitive way, and personality will
19 change.

20 Q. Are there risks associated with opioid use?

21 A. I'm sorry?

22 Q. Are there risks associated with opioid use?

23 A. Yes. Again, I'll clarify if this what is what
24 you mean, you're talking about long-term?

25 Q. Yes.

1 A. There are many risks associated with long-term
2 opioid use, and these risks are not necessarily the risks
3 we see in short-term use for anesthesia, acute pain, and
4 end of life. We have different issues with chronic pain.
5 And we put the long-term concerns of opioids and chronic
6 pain into three categories to help you remember this
7 perhaps.

8 At the far end of the scale, the worse end of the
9 scale, we worry about respiratory depression, death, and
10 overdose. Those are the big three. And those are the big
11 three drivers that we see as part of the opioid crisis.
12 All these individuals who are presenting to the emergency
13 room in an overdose status, about 10 or 15 percent whom
14 don't survive and actually die of their overdose. But
15 that's one end, and it's real, and we do have to worry
16 about. And we have statistics that we can talk about later
17 about that.

18 In terms of the middle risk factors, those are
19 equally important too because that -- that involves
20 opioid-induced depression, opioid-induced anxiety,
21 opioid-induced panic attacks, opioid-induced sleep
22 disruption or insomnia. And opioid induced, and this one's
23 going to surprise you if you haven't heard it before,
24 opioid-induced hyperalgesia. That's our fancy word for
25 meaning the opioids make the pain worse. You might say how

1 can that be. I'm not sure how it can be, we don't totally
2 understand the process, but it's not something a patient
3 makes up. As we escalate the dose of the opioid, over time
4 when we get up to sort of a modest dose and start to
5 elevate from there, we find pain gets worse, it actually
6 gets worse. The patients start to rate their pain at a
7 higher level, starts to interfere with their function on a
8 daily base. It's a real thing. So in the middle class of
9 risk or side effects, we're worried about worsening
10 depression, worsening anxiety, worsening of panic attacks,
11 loss of sleep. We all know what loss of sleep does. If
12 you can't sleep, you can't cope. And if these chronic
13 patients are in a bad way anyway, and they can't sleep,
14 can't cope, makes things a lot worse. And then we have
15 this strange thing called opioid-induced hyperalgesia,
16 where if we continue with the medication and the pain and
17 function don't improve, we have to figure that's due to the
18 medication, and we have to try a different treatment
19 strategy.

20 The lower class of concerns we have in terms of
21 side effects, such as constipation, nausea, vomiting, that
22 sort of thing, don't threaten the person's well-being from
23 the life -- from the life threatening standpoint. But
24 interestingly enough it tends to be the constipation that
25 is the side effect the patients complain to us about as to

1 why they can't take the medication any longer. So it tends
2 to be -- constipation tends to be the limiting factor, we
3 can deal with that. But it's the opioid induced mental
4 health issue, sleep disruption, hyperalgesia, as well
5 as the one thing -- in that third category where we are
6 talking about overdose and death, we also have to include
7 addiction in there. Because, arguably, as bad as an
8 overdose death is, addiction is equally as bad. The
9 patient may not die, but the patient's life is going to be
10 totally disrupted, as well as the friends and family close
11 to the patient, as well as the community, and as well as
12 the nation. So addiction has a huge burden associated with
13 it from the patient all the way up to our nation. So I
14 would include that as one of the severe side effects and
15 concerns that we have along with overdose and death.

16 Q. Earlier I think when you were talking about the
17 chart here, you mentioned the opioid epidemic. When did it
18 begin in the United States?

19 MR. GIBBONS: Objection.

20 THE COURT: Grounds?

21 MR. GIBBONS: Well, relevance. We're talking
22 about 14 patients.

23 THE COURT: Response?

24 MS. DUSTIN: It is relevant in terms of how the
25 guidelines have developed over the years with respect to

1 the prescribing of opioids and how it has evolved.

2 THE COURT: I'll allow limited inquiry.

3 Overruled.

4 BY MS. DUSTIN:

5 Q. So let's talk about into the -- we won't go back
6 any further, maybe starting with the '80s into the '90s,
7 talk about the opioid epidemic and then in conjunction with
8 how opioids were -- the literature on prescribing.

9 A. Okay. That's a fair question. And I can limit
10 my response in a way that makes sense. We've always known
11 for thousands of years that opioids were addictive and --
12 and heroin and how -- and we've always known there's
13 problems associated with addiction.

14 In this country where, from a medical standpoint,
15 it began to be a real -- well, we began to understand the
16 scientific a little better in the '80s. In the mid '80s,
17 based on what was being observed by palliative care and
18 cancer doctors, that they could use their opioids to help
19 with end of care pain treatment for their patients, the
20 question began to be asked, well, if it's a success in
21 cancer, can we apply the same opioid use to chronic
22 non-cancer pain.

23 Prior to that, opioids were never used for
24 long-term, non-cancer pain because the evidence was it
25 always led to addiction and death. So -- but the question

1 was raised again in the mid 60s, based on the success with
2 palliative care and cancer doctors, so there began a
3 momentum based on several individuals who sort of led the
4 charge and the drug companies who were also participating
5 in the charge, such that during the 1990s, the -- what I
6 call, this is my term, the big experiment occurred where
7 opioids were then trialed on patients who had chronic pain
8 with devastating circumstances.

9 During that time, in the 1990s, the studies were
10 maintained, people were followed, and side effects and
11 success was monitored. And during that time frame we were
12 overwhelmed in this country with overdose deaths, emergency
13 room visits, longer hospital stays, more expensive hospital
14 stays because of the excessive use of narcotics across the
15 board. There was no indication that this experiment was
16 heading in a good direction, such that when we hit the
17 2000s, beginning in the early 2000s, 2002, 2005, enough
18 studies had been done to show that there was no evidence,
19 no support for the use of opioids in the treatment of
20 long-term cancer pain.

21 The pushback began in the early 2000s, as put
22 forth by publications and peer-reviewed journals, the
23 American Society of Anesthesiology, the Journal of the
24 American Medical Association, all the big agencies, as we
25 started to get these results, momentum slowed down such

1 that -- such that during the first decade of the 2000s,
2 2000, 2010, the pendulum began to swing back again. And
3 guidelines, position papers, white papers, began to come
4 out by various institutions and professional agencies
5 saying, look, too many people are dieing and getting
6 addicted, here's the regimen you need to follow if you're
7 going to use it for treatment of chronic pain.

8 And by the time we hit 2010 to the current date,
9 2020, let's say, the studies continued to mature to show no
10 objective foundation for the use of opioids in the use of
11 treatment of chronic non-cancer pain.

12 Q. Are you familiar with the WHO?

13 A. I am, World Health Organization, yes.

14 Q. And did they establish what's been commonly
15 referred to as the pain ladder?

16 A. They did.

17 Q. And would you tell us what the pain ladder is and
18 its evolution?

19 A. The pain ladder was initiated by the WHO, the
20 World Health Organization, in 1986, which was when the
21 opioid crisis arguably began to pick up steam, or at least
22 when people started to ask the question could opioids be
23 used for chronic pain. But specifically, and this is
24 important to remember, that document that the WHO put out
25 in 1986 describing the pain ladder -- I should tell you

1 what the pain ladder is. What the pain ladder said,
2 basically when you use opioids, start out with the lowest
3 effective dose and move up to the next most potent, and
4 then the next most potent. So there were three or four
5 steps, so they referred to it as a ladder, with the idea
6 that if the pain were real severe you would use the more
7 potent opioids, and if it were less severe, you would use
8 less potent. There's some common sense to that, but here's
9 the thing. They put that out explicitly for cancer pain,
10 and it wasn't inappropriate for cancer pain. There was a
11 concern that the world in general, particularly the third
12 world countries whose patients didn't have access to the
13 kind of care we do in the United States, were not even
14 being allowed to have morphine for end of life cancer
15 scenarios.

16 So the World Health Organization, in an effort to
17 address that -- that need, put the pain ladder forth for
18 only the pharmacologic treatment of cancer pain. And very
19 specifically in that first document they said this does not
20 apply to chronic pain because chronic pain is a different
21 mechanism, a different physiology, and requires different
22 treatment, they were very explicit about that, and they
23 were correct. They were correct.

24 What happened was that document sort of picked up
25 momentum and was adopted by some individuals who thought

1 that chronic opioids should be able to be used for chronic
2 pain, notwithstanding the studies that were coming forth in
3 the United States are peer reviewed literature that was not
4 supporting that, our government, Whitehouse, D.C., the Drug
5 Enforcement Administration was saying, no, no, no, we're
6 having problems, don't do it.

7 And the publications that were being put forth in
8 the United States as the years went on, as we got into the
9 '90s and the 2000s, never even referenced the WHO pain
10 ladder because it was only for cancer, later for palliative
11 care, and only pharmacologic treatment. It didn't include
12 any other treatments like interventions or shots or
13 surgeries or psychological resources for addiction let's
14 say. It only was pharmalogic treatment of end-of-life
15 care. So it never really was applicable to the opioid
16 epidemic. Actually contributed to the opioid epidemic
17 because it implied that we should be able to use opioids
18 for everything and -- and that's not true, and that hasn't
19 turned out to be a wise -- wise walk. So I could talk some
20 more about it, but that's the foundational --

21 Q. Let's talk about, are you aware of the 2005
22 universal precautions?

23 A. Yes. The universal precautions that were
24 published, I don't remember the journal, but I think it was
25 the Journal of Pain, one of our major publications, 2005.

1 Now, again, keep in mind all the dates I've told you, 2005
2 the pendulum was now starting to swing back and say the
3 experiment hasn't worked, we need to quit this free flow of
4 opioids for everybody.

5 So in 2005, this publication entitled Universal
6 Precautions, I don't know the title exactly, but it was a
7 rational approach to the use of opioids in the treatment of
8 non-cancer pain. Actually, I think that's exactly what the
9 title is, a rationale approach to the use of opioids. And
10 what it did, is it said look, we are -- all of the research
11 to date has not shown that opioids are effective for
12 chronic pain, but if you're going to use it, there are
13 certain guidelines that have to be followed so that you can
14 prevent addiction, or at least minimize addiction and
15 overdose death. And in the first couple paragraphs of that
16 article they say, whereas it's impossible to know who's
17 going to be a problematic user of narcotics, we have to, as
18 physicians, use a universal approach, precautions to
19 minimize the risk of overdose and addiction. It makes
20 sense. It was very sensible.

21 And they went on to list ten items, which if you
22 were to list them you would probably come up with some of
23 the same items. They said do a complete evaluation, look
24 to see if there's any history of addiction or abuse, are
25 there any mental health issues that need to be identified

1 because mental health is often associated with substance
2 abuse. We all know that. And -- and it went on to say do
3 a trial and use moderate doses, use what they call
4 rationale pharmacology. Don't just start people out on an
5 escalating dose of high morphine equivalent narcotics.
6 Start out low and look for improvement. Look for
7 improvement in pain, look for improvement in function,
8 because in the end we all have to understand, even I as a
9 specialist for many, many decades, I can't cure chronic
10 pain, nobody can cure chronic pain. I can make it better,
11 maybe, and whatever's left over I'll help the patient deal
12 with it. I'll help him and teach him ways to cope with it,
13 and I'll keep an eye on it as time goes on and do the best
14 we can. But we can't expect to take care of chronic pain
15 simply by ratcheting up our shots or ratcheting up our use
16 of opioids. That's never going to win so the universal
17 precautions says walk softly, walk carefully, consider
18 these ten things as you start your patient on these
19 medications and if you can't demonstrate that there's
20 improvement, then stop the medication. If you -- one more
21 thing then I'll stop. That makes imminent common sense,
22 because over time you've all had kids or relatives who have
23 gone to the doctor's office with a sore throat, and if the
24 penicillin you got didn't work, does it make sense to bring
25 your child back in another three weeks and get more

1 penicillin and keep going on ad infinitum? That's what
2 happens in pain management. We see time and time again
3 that opioids are prescribed, they don't work, but the
4 opioids are represcribed or elevated or escalated or other
5 ones are added. If it doesn't work, it doesn't work, and
6 that's what universal precautions said, take care, evaluate
7 the improvement, and if there's no improvement, consider
8 different treatment options.

9 Q. You mentioned two words in there I want to
10 explain. What's a trial?

11 A. Opioid trial, well, the opioid trial, generally
12 thought to be between let's say two to six weeks. That's
13 adequate to find out, you may argue and say I want to do it
14 for two months, I don't really care, but in general the
15 literature says an opioid trial is two to six years,
16 somewhere in that time frame. It's not two years, it's not
17 five years, it's not ten years of ineffective opioid
18 therapy. It's a couple weeks, maybe a month or two.

19 And during that trial there are two things that
20 have to be demonstrated by standard of care. Standard of
21 care says if opioids are going to continue to be
22 prescribed, they have to show an improvement in pain level,
23 maybe not zero, but it has to be improved, and there has to
24 be an improvement in function, because, as I said a few
25 moments ago, the whole issue about pain treatment is I'm

1 really trying to improve the function of patients, trying
2 to have them get back to their normal daily activities as
3 best we can, as they have been impeded by pain, so we
4 monitor pain level and we monitor function. Both those
5 things have to be shown to improve during that trial. And
6 if -- if a low dose tends to work and you say, well, the
7 patient's pain score went from seven out of ten to five out
8 of ten, maybe I should bump up the opioids a little bit,
9 okay, fair enough. But that's still part of the trial.
10 It's incumbent on the doctor to show improvement.

11 Q. You also mentioned morphine equivalent. And can
12 you explain that concept?

13 A. We have to compare apples to apples. That chart
14 that I just talked to you about a few minutes ago had a lot
15 of different opioids. We had Fentanyl, we had Norco, we
16 had Oxycodone, we had Methadone, we had Duragesic, all of
17 those. How do we compare all those because some are more
18 potent on a milligram-by-milligram basis than others.

19 Quite a while ago we came up, through the cancer
20 pain management world, by the way, we came up with the
21 ability to, in the case of cancer pain, to take a patient
22 let's say off of morphine and put them on methadone by
23 comparing the strength of the dose, or the efficacy of the
24 dose. And we always use morphine as our gold standard. So
25 when we talk about, well, how strong is Oxycodone, we

1 compare it to morphine. And we find on a
2 milligram-for-milligram basis, Oxycodone or Oxycontin is
3 1.5 times as potent as morphine. So the morphine
4 equivalency for Oxycodone is 1 1/2 times whatever the dose
5 is. So we -- everything is -- is described in terms of a
6 morphine equivalent. So we could compare apples to apples.
7 And -- well, I can talk to you why that's important, but is
8 that --

9 Q. I think that's a sufficient explanation for now.

10 Let's go back to the universal precautions and
11 the article. Did it also mention the four As?

12 A. Yes.

13 Q. What are the four As?

14 A. The four As, again, as part, as you correctly say
15 the universal precautions, four questions or areas of
16 inquiry that -- that are done when a patient comes back for
17 follow up. Remember I told you about the practice of
18 medicine, the fourth one is we follow up with the patient
19 to see if they're improving, but we also have to ask other
20 questions; any side effects, you know, is the patient's
21 mental health okay, are we trending toward addiction,
22 various things. Those are summarized in the four As.

23 The four As that must be explicitly and
24 objectively answered during a follow up for pain medicine
25 are these: I'll tell you what the four As are, then I'll

1 describe them to you.

2 One is analgesia, is the pain level improving.

3 Number two is activity, is the function or the
4 activity of the patient objectively improving.

5 The other is adverse side effects. Remember I
6 told you most people stop opioids because they get
7 constipated, but there are other things in terms of
8 worsening mental health and addiction, opioid-induced
9 hyperalgesia. The -- is adverse side effects, so we look
10 for those and document those.

11 And then the fourth -- the fourth actually is two
12 things. It's addiction -- well, it's three things, it's
13 addiction/abhorrent behavior/affect. It's a little bit of
14 catch all. What that asks is are there any abhorrent
15 behaviors, is the patient selling their medication, are
16 they asking for early refills all the time, are we getting
17 anonymous phone calls saying my patient is selling their
18 medication, that sort of thing. So we look for that as
19 abhorrent, as an example of abhorrent behaviors.

20 But the other A is affect. Affect is depression.
21 We know opioids will make depression and anxiety worse. I
22 talked to you about that. We check on that specifically as
23 part of the mental health evaluation when we do the four As
24 or 5-As. When they come back, that's our checklist to
25 effectively document we're not missing anything critical on

1 follow up.

2 Q. How often should a pain management or a physician
3 practicing pain management like Dr. Bauer monitor the four
4 As?

5 A. Every time, every visit, every visit. Yeah, it's
6 recommended that it be followed every visit. I can expand
7 to that just a wee bit if you'd like.

8 Q. Please.

9 A. A corollary question, how often should we follow
10 up with our patients in the office if they're on chronic
11 opioid therapy, or even if they're not, even if they're
12 just a pain patient that's not receiving opioids, how often
13 should we see our patients. The general recommendation by
14 the CDC and other agencies is a minimum of every three
15 months. And that's for stable patients, for patients who
16 have been on a regimen, let's say of opioids, or
17 injections, or whatever for awhile over a course of years
18 and have shown themselves to be stable with regard to their
19 pain and function. So the minimum amount we need to see
20 our patients is every three months.

21 And during that time, we'll look at their -- on
22 each visit we'll look at their analgesia, their activity,
23 any adverse side effects, abhorrent behavior, addiction,
24 we'll do that each time. If a patient is not stable and is
25 still having their medications changed or is still

1 complaining of increased pain, or is saying, hey, the
2 medication isn't working, I'm in more pain, then we might
3 see them more often, but perhaps every month, or perhaps
4 even in the initial stages, if we've got a urine drug
5 screen that shows they're maybe not taking their
6 medications as prescribed, we might see them every two
7 weeks. But somewhere in that time frame from weeks to
8 three months for a stable patient we should see them. And
9 the four As should be done at each visit.

10 Q. Should opioids be the first option for pain
11 treatment?

12 A. No. Our professional agencies, organizations, as
13 well as the CDC, are very explicit in this regard. And
14 they say opioids should never be the first treatment option
15 for patients in chronic pain.

16 Q. What -- what options should be tried before
17 opioids?

18 A. We refer to treatment of a chronic pain patient
19 in terms of multi-modal or multi-disciplinary treatment
20 because the opioids aren't going to solve the problem.
21 They're hopefully going to maybe help with function a
22 little bit, but they're not going to solve the problem.

23 So the three or four areas that we look at in
24 terms of treatment options for our patients, well -- so
25 medication is one of them, opioids might be a medication,

1 but there are a lot of non-opioid medications that don't
2 have addictive qualities associated with them,
3 anti-inflammatory medications to Tylenol medications to
4 anti-depressant medications, to various types of muscle
5 relaxants. So there are various non-opioid medication that
6 are options.

7 Secondly, moving from the medication realm to
8 what we call the physical medicine realm, we have physical
9 therapy, TENS unit, massage, stretch and spray for trigger
10 point treatments, exercise, aerobic exercise, water
11 aerobics. There's a whole host of physical medicine things
12 where we actually try to get the patient more active.

13 And in the end that's probably the most important
14 part of our treatment regimen in the sense that if our goal
15 is to get the patient as active as we can, let's look at
16 some of these physical medical options beyond physical
17 therapy. Let's see what we can do to get them active.

18 The fourth or the third large area of treatment
19 option would be what we would call interventional. I do a
20 lot of that. I do epidural injections under x-ray
21 guidance, sacroiliac joint injections, various types of
22 injections in different parts of the body. That's a tool,
23 it's not an end all, but it's a tool, both diagnostic and
24 therapeutic. Sometimes surgery is necessarily, rarely but
25 occasionally. So that's the interventional group of

1 options.

2 The fourth group of options, actually there's
3 five. The fourth one is very important in pain medicine,
4 that's the psychological end of things. As I told you, I
5 don't care if it's Dr. Bauer or myself, we're not going to
6 cure these pain problems. We have to teach the patient to
7 live with them. And that's where the psychological options
8 come up. So I've had patients, you think I'm crazy, you
9 think the pain's in my head. No, I don't actually. I
10 think the pain is really true, and I think you're telling
11 me about it, but I'm not sure that your pain's going to be
12 adequately addressed by narcotics or shots. Let me see if
13 I can team you up with a psychologist to present various
14 ways to cope with your pain a little bit better. I don't
15 think you're crazy at all.

16 And we have three main ways, I'm not going to
17 describe them to you, but just let you know in case you've
18 heard them before. There's cognitive behavioral therapy,
19 which teaches the patient essentially how to cope with the
20 pain. And it turns out to be, on studies that we've done,
21 more effective than opioids by a long shot.

22 Second is ACT therapy, ACT, or its -- it's
23 commitment therapy, the CT is commit action, action
24 commitment therapy. And it basically shows you that you
25 may have to change your expectations, but it works. We're

1 trying to get patients functional, and if they're always
2 thinking I want to get back to running a couple miles and
3 they can't, we're going have to have a little bit of a
4 discussion with them and have them manage their
5 expectations.

6 And other is what's called mindfulness. And all
7 these fancy psychological names, but they're helpful for
8 you to understand that we're teaching the people to deal
9 with things. Mindfulness is sort of making the right
10 choice at the moment of decision. I'm going to shoot up my
11 heroin again, no, I'm going to just take a moment here,
12 five seconds and think about it, is that a good choice. We
13 have these various psychological ways, plus psychological,
14 psychiatric -- psychiatry is also helpful in dealing with
15 patients who have psychosocial issues. You know, maybe
16 they were abused, maybe they had a history of
17 pre-adolescent sexual abuse. Regrettably we see a lot of
18 that that drives a lot of they reason why, typically young
19 women, and occasionally young men come in addicted and
20 looking for pain management, opioids when, in fact, they
21 never really have been able to address some of the
22 traumatic episodes in their early life, whether it's sexual
23 or emotional or other types of physical abuse. So the
24 psychiatric part is a very critical part of what we must do
25 as multi-disciplinary pain management doctors to

1 effectively do the right thing for our patients.

2 Q. How would a doctor like Dr. Bauer be able to
3 determine if a patient might have psychosomatic pain?

4 A. Okay. So let's address what psychosomatic pain
5 is. And I'm going to take a step back, and I'm going to
6 address what pain is for a moment, if I may, because I
7 think the jury, you may be asking yourself the question,
8 well, what is pain exactly. If I hurt, can't I have an
9 opioid? No. Maybe, but probably not, and here's why.

10 If we ask the definition of what is pain, pain
11 is -- pain is a response -- it's an unpleasant response.
12 We all would agree with that. Pain is an unpleasant
13 response that is both real and emotional. And it's
14 described in terms of -- of something broken where
15 something may not be broken, but we'll describe it in those
16 terms. Feels like I'm being pierced, feels like I'm being
17 cut, feels like it's a boring pain. Some of those
18 descriptions may actually be accurate because maybe you
19 were pierced with a needle or arrow or something. But we
20 describe pain in terms of something broken in terms of
21 tissue disruption, but we may not have tissue disruption.
22 But to go back for a moment to the fact that it's both real
23 and emotional. What does that mean? That means there's
24 always an emotional or psychiatric or psychologic component
25 to pain. And if we, as physicians, ignore that, we do that

1 at the peril of our patients. Why? Because there is -- to
2 go back to your term, counselor, the psychosomatic pain.

3 Psychosomatic pain means that it feels like
4 there's a body part that's broken and in pain, but
5 nothing's wrong with that body part. It's in the head.
6 Does that mean the patient's making it up? No. It's an
7 expression of emotional suffering. If I ask the patient,
8 does your back really hurt; yeah, it really hurts. But
9 that back pain or abdominal pain or Myofascial pain or
10 muscle pain or pelvic pain may actually be an expression of
11 emotional suffering. The brain doesn't know how to -- how
12 to express its suffering in terms of that makes sense so it
13 expresses it to the patient as your back hurts or your neck
14 hurts or your muscle hurts. And if we, as a physician,
15 don't make that differentiation, if we don't say this is a
16 psychosomatic pain, or this emotional component causing
17 this pain, and we elect to use opioids or shots, there'll
18 be no effect. All the patient will do is suffer the
19 potential side effects of additional steroids, the risk of
20 additional injections, and the risk of long-term opioids
21 that I've already told you will make depression worse,
22 panic attacks worse and disrupt sleep. So if a patient has
23 psychosomatic pain, meaning pain that we can call emotional
24 suffering -- and it's real. Again, it could come from
25 preadolescent sexual abuse, it could come from the stresses

1 of being homeless and jobless and living on the street. It
2 could come from living in a family where your kids or your
3 significant other is a drug abuser and you kind of fall
4 into that. Those are psychosocial contributions, but those
5 are all somatic pains.

6 About 50 percent of patients who present with
7 depression, anxiety, post traumatic stress disorder or
8 Schizophrenia, to pick four common ones that we see, about
9 somewhere, give and take a little bit, 50 percent of those
10 patients will present with a psychosomatic pain. Not a
11 trivial number, but if we treat them with opioids, we've
12 done them a huge disservice because, as a physician, we
13 have not made the right diagnosis. So we have to, as part
14 of our thorough history and complete examination, sort out
15 the mental health and what we call the psychosocial
16 contributions to the chronic pain.

17 Q. Is it appropriate to treat psychosomatic pain
18 with narcotics like Oxycodone and Hydrocodone?

19 A. It's contraindicated to use Oxycodone,
20 Hydrocodone, or any opioids, to treat psychosomatic pain
21 for the reasons we're taught might make mental health
22 worse.

23 Q. Do neurologist have experience in handling
24 psychological issues?

25 A. In the beginning, back in the olden days, which

1 is where I and Dr. Bauer come from, there -- the specialty
2 of neurology was generally coupled with psychiatry. So
3 the -- the -- the formal organization is the American
4 Society of Neurology and Psychiatry or Psychiatry and
5 Neurology, so involves both. So actually, by assumption,
6 he may have had, or neurologists may have had perhaps a
7 little bit more exposure to mental health and psychiatry
8 than I, as an anesthesiologist, would have. But certainly,
9 as a pain management physician, knowing that's a major risk
10 factor, all of us who specialize and are board certified
11 have brought ourselves up to speed and understand the
12 concerns, red flags associated with mental illness.

13 Q. So if opioids are going to be used, what dose
14 should a doctor prescribe?

15 A. If opioids are chosen carefully after thought
16 full consideration and evaluation of the patient, the
17 lowest dose effective should be used. And this is a
18 concept that, as I've said many times in the past, this was
19 taught to me as a medical student in pharmacology class.
20 You always start out, doesn't make any difference if it's
21 an opioid -- well, let's say opioids, you start out with
22 the lowest effective dose, and then go forth with your
23 trial, as we talked about, to see if there's any
24 improvement. If there is, you may opt to bump it up a
25 little bit, assuming that improvement continued, but you

1 always start with -- well, so the phrase is go slow and
2 start low, or, well, start low and go slow. That's the
3 phrase, and that's been adopted by the CDC as well, but
4 that particular phrase and philosophy's been around for
5 decades.

6 Q. If pain stays the same or increases, do you
7 increase the dose?

8 A. You describe a failed opioid trial, and if the
9 opioid trial fails, if pain doesn't improve and function
10 doesn't improve, then you most certainly do not increase
11 the dose. You wean the patient down and stop it. And you
12 should, by the way, have this conversation with the patient
13 beforehand.

14 As a matter of fact -- and I'm sorry to stray,
15 but I want to add on one thing here, or one concept. If I
16 decide to start a patient on opioids, the first thing we
17 have is a conversation about the treatment goals, and this
18 is standard of care. It's not just me, it's required. You
19 have a conversation, I'm going to start you on opioids,
20 what are your treatment goals, are we going to get you back
21 to you can run an hour, are we going to get back to work,
22 back to work in the garden. We're going to put down
23 measurable, meaningful definition of treatment goals, and
24 then we're going to start our opioid trial. And if you
25 don't meet those goals, we will have had the conversation

1 that went something like this. If we don't meet the goals,
2 we're going to wean you off the opioids and look at other
3 choices of treatment to treat you for your pain. We have a
4 bunch of them, but if the opioids don't work, you don't
5 continue them. If you don't reach the predefined treatment
6 goals that standard of care requires, the opioids be weaned
7 and stopped.

8 Q. As part of that initial discussion, should it
9 include the risks of addiction?

10 A. Absolutely. Yeah. I mean, that's -- yes, I
11 could expound about that for a long time. It is
12 possible -- addiction is generally -- the concern about
13 addiction is related to the potency of the narcotic, among
14 other things, the potency of the narcotic and the duration
15 of the narcotic. Some -- I'm just going to mention one
16 statistic. But if I put a patient on opioids for three
17 months, and this a CDC -- a CDC statistic. If a patient is
18 maintained on opioids for three months, which is not all
19 that long a time when you think about it, we expose that
20 patient to a 12X chance of addiction beyond what that
21 patient would have been an exposed to otherwise. So simply
22 starting the patient and maintaining them for three months
23 on an opioid exposes them to 12 times the chance of
24 addiction.

25 The other statistic in this regard and of some

1 concern is that the dose -- I mentioned there were two
2 things that might cause addiction. One would be the
3 duration, and it could as short as a couple months. The
4 other is dose. We talked about morphines equivalence, and
5 it turns out the higher the dose, the morphine equivalency,
6 the higher the chance the patient's going to get addicted
7 and/or have an overdose event. So if you ask me why we had
8 all that discussion about what morphine equivalency is,
9 it's because of this, the risk of overdose and death and
10 addiction increases as at morphine equivalency goes up. So
11 we have to think very carefully, as providers, before
12 starting somebody on opioids. We have to make sure they're
13 effective because, yes, the risks of addiction is very
14 real, and it is not trivial.

15 Q. In addition to the conversation about addiction
16 with the patient, should you also discuss side effects?

17 A. Absolutely. Yeah, so, you know, I told you the
18 three categories of side effects. This is what we call
19 informed consent, and by standard of care it's required.
20 You have to bring it down to a discussion such that the
21 patient understands. Not everybody is going to understand
22 it, so, as a physician, you have to present it in a way
23 that makes sense. But, yeah, you have to talk to the
24 patient about addiction, about overdose, about death, about
25 respiratory depression, about what it's going to do to

1 their mental health, what it's going to do to their
2 cognition, to their thinking, to their sleep, what it's
3 going to do to their bowels, what it's going to do in terms
4 of pain levels, if we get up high enough it may cause their
5 pain to get worse. Have to have a quite serious discussion
6 about these things, and it's required to do so as part of
7 the informed consent. Just as you would expect if a
8 surgeon were going to operate on you and do an open heart
9 procedure, you would sit down with the surgeon and have a
10 discussion an informed consent about what are the potential
11 side effects, what are the chance of problems. The standard
12 of care requires we have the same conversation prior to
13 starting somebody on opioid therapy.

14 Q. Once a doctor starts prescribing opioids, should
15 that doctor continue to prescribe them forever?

16 A. No. It's not a sentence that you're constrained
17 to follow over the course of a lifetime. Why? Because the
18 pain condition changes, and you change, sometimes for the
19 better, sometimes for the worse. Sometimes you adapt to
20 the pain. Sometimes, as a result of that, you don't need
21 as many pain medications. Sometimes your function improves
22 and you're able to back off on some of the opioids. But
23 it's not a life sentence, unlike, well, okay, addiction is
24 a life sentence. But the need for opioids for the
25 treatment of chronic pain is not a life sentence.

1 And it's part of the reason why we follow up with
2 the patients at a minimum of every three months, because
3 conditions change, needs change, and we don't always have
4 to look at the concerns about ratcheting up the medicine.
5 Sometimes we can bring it down, and that's a good thing.

6 Q. How does a doctor, like a pain management doctor,
7 know if it's really -- if the opioids are really working.

8 A. Very easy. I may disagree with you as a
9 physician, let's say prescribing opioids on somebody, but
10 if you show me that the pain level has improved, what we
11 call the VAS pain score, the one to ten pain score, if you
12 show me on the patient you started on opioids that their
13 pain score's improving, if you show me that their
14 functional goals have been met, and they continue to be met
15 in a measurable, meaningful and sustained manner, those are
16 the three criteria we use, over time, then I would say good
17 job, you made the right decision.

18 So the proof -- I like to say, when I lecture it
19 to some of our younger medical people, I say the proof's in
20 the pudding. Show me that the patient gets better show me
21 objectively the patient gets better. We may disagree on
22 the medicines or the dose, but if you show me the patient's
23 getting better, then I will say it's a good choice, you
24 showed me that it was the correct choice.

25 Q. You've testified that opioids are highly

1 addictive. What about Benzodiazepines?

2 A. Yeah, so we've talked a lot about opioids, so
3 let's talk about the Benzos, that's what we call them
4 sometimes. So the Benzos are the sedatives, the Xanax, the
5 Valium, two of the most common. And it turns out they're
6 in a lower schedule. They're Schedule IV, as I talked to
7 you about over there. So they're not quite as addictive,
8 but they're addictive. They're really addictive. And the
9 concern about them is that if you're on opioids, and I
10 decide to wean you off, and I would do carefully and
11 thoughtfully, you might have some side effects. You might
12 have a few withdrawal symptoms, but we can manage those.
13 And they're not life threatening in the case of opioids.
14 They are life threatening in the case of Benzos, so whereas
15 they might not be quite as addictive, if you are addicted
16 to them and you need to take the patient off, it's a life
17 threatening issue, convulsions, cardio pulmonary collapse,
18 seizures. It generally has to be done as an inpatient, in
19 hospital-type scenario. It's made horribly worse if the
20 patient is also on opioids or stimulants or illegal drugs.

21 But to your point, the Benzodiazepines, although
22 not quite as addictive, have severe problems associated
23 with weaning, so we hate to see when a patient is addicted
24 to the Benzodiazepines. It's a dangerous course. It's
25 dangerous when we wean them off. It's dangerous to

1 continue with them because they interact with opioids to
2 provide disproportionate respiratory depression and death.

3 THE COURT: Counsel, apologies for interrupting.
4 I have some matters to take care of over the lunch hour.
5 I'd like you to, if you can, find a good stopping point now
6 or in the next five minutes or so.

7 MS. DUSTIN: Sure. Let me just ask a couple
8 questions about this and then --

9 THE COURT: That's fine, whenever.

10 MS. DUSTIN: And then we'll pick up with
11 addiction when we return.

12 BY MS. DUSTIN:

13 Q. So just to conclude about Benzos, should doctors
14 take the same precautions when prescribing them?

15 A. Yes, they should, plus additional ones. The FDA
16 came out with what we call a black box warning a number of
17 years ago. I'm inclined to think it was 2016, not quite
18 sure, but we knew about it before then. But they came out
19 with a black box warning saying do not prescribe
20 Benzodiazepines concurrently with opioids because -- and I
21 think the way they phrased it was high incidence of life
22 threatening respiratory depression. Patients stop
23 breathing, they stop breathing with the opioids, they stop
24 breathing with the Benzos, but when you combine them you've
25 got the synergistic effect that people really die.

1 So if you're prescribing it, stop. Or if
2 somebody else is prescribing it, and you're prescribing the
3 opioids, then at least have a conversation with the other
4 doctor and say, look, this combination is deadly. One of
5 us, or maybe the two of us, can work out lowering the dose,
6 or do we need both medications. So, yes, it's of great
7 concern.

8 Q. Dr. King, how would you know what another doctor
9 is prescribing?

10 A. There is the concept of the PDMP, the
11 prescription drug monitoring program, that is effective in
12 each state, all states but one. But the prescription drug
13 monitoring program or the PDMP, in Ohio it's called the
14 OARRS program, O-A-R-R-S. I never remember what that
15 stands for, but it keeps a list of the pharmacies when you
16 come in to fill a prescription for Controlled Substance,
17 opioid, Benzos stimulant, Soma, whatever. The pharmacies
18 keep track of those and put that into a central register in
19 the state so that any doctor who has signed up for the
20 OARRS program, and we're all encouraged as physicians to do
21 so if we practice in Ohio, to -- and we can do this on our
22 computer when we're talking care of the patients. We can
23 pull up the pharmacy profile for that patient and see what
24 medication that patient's getting, not only from me but
25 from other doctors as well. But if that patient's getting

1 Benzodiazepines from another doctor, I'll have it right
2 here in front of me and I will know, and that will queue me
3 to say we've got a potential problem here. We call the
4 other doctor or let me modify what I'm prescribing you, but
5 it's black and white as a result of the PDMP, or in Ohio
6 the OARRS program.

7 MS. DUSTIN: Thank you.

8 THE COURT: Let's take our lunch break at this
9 point. It's ten of 12. Let's try to be back and start
10 promptly at 1:00.

11 Please remember all the rules. We're in recess.
12 Thank you.

13 (A brief recess was taken for lunch.)

14 THE COURT: Welcome back. You may be seated
15 everyone. Hope you had a chance to stretch your legs.
16 Counsel you may continue with your exam.

17 MS. DUSTIN: Thank you.

18 BY MS. DUSTIN:

19 Q. So, Dr. King, let's pick up with maybe talking
20 specifically about some patients in this case.

21 Did we ask you to review 14 patient charts from
22 Dr. Bauer's practice?

23 A. Yes, you did.

24 Q. And with respect to any of the patients, did you
25 perform an exam on any of the patients?

1 A. No, I had no personal contact with any of the
2 patients.

3 Q. Did you physically see any of the patients?

4 A. No, I've not physically seen them either.

5 Q. Did you rely predominately on the information
6 contained in the patient files?

7 A. I did, yes.

8 Q. And did you look at any reports in terms of PDMP
9 outside the patient files, OARRS reports?

10 A. OARRS reports were presented to me as separate
11 files for those 14 patients.

12 Q. Is it appropriate to expect that you can rely on
13 a doctor's patient file to examine a patient's treatment
14 over the course of time?

15 A. It is appropriate, yes.

16 Q. So lets first talk about the patient with the
17 first name of Amy.

18 Did you review Dr. Bauer's patient chart for Amy?

19 A. I did, yes.

20 Q. And just, in general, before we start talking
21 about Amy. For Amy and all of the patients, explain how
22 this review process occurred.

23 A. Earlier -- excuse me. Earlier when I discussed
24 that I had a registered nurse and some additional help in
25 putting together the files, here's how -- here's how the

1 organization works.

2 As I indicated, typically each patient may have a
3 file that's between 1,000 and 1,500 pages. That's a lot of
4 pages. It typically is an electronic file, so there's not
5 a lot of handwriting involved. But it has to be organized
6 in terms of chronologically so I can review the information
7 when the patient first presented, and then the progress, or
8 lack of progress, that may have been made as the patient
9 went along in order to put together an assessment regarding
10 whether opioids or injections were used appropriately, I
11 have to have a chronology, a timeline, if you will, of how
12 everything occurred.

13 So the nurses organize the charts into an Excel
14 spreadsheet. And in that Excel spreadsheet, every office
15 visit that's in the patient's chart, either with Dr. Bauer
16 or one of his colleagues, or with a different doctor, or an
17 emergency room or hospitalization, every imaging study,
18 every MRI, every x-ray, every electro diagnostic tests,
19 EMGs and so on, every phone call, every note is put into
20 that chronology. So that chronology tells the whole story.

21 But it's in an Excel format, and it's color coded
22 so that the office visits, just by convention, we use light
23 blue to represent an office visit with Dr. Bauer. I use a
24 pink or an orange to represent an office visit from a
25 different doctor or institution. Urine drug tests are

1 color coded green. It's white if it's an office note or a
2 telephone conversation, let's say from a pharmacist. So I
3 have this compendium, this Excel spreadsheet, that is taken
4 from the medical chart, and it's a chronological timeline,
5 it's color coded as to event. And that's put into one
6 folder. And then that's used to tell the story. That
7 basically tells the story.

8 And then from that we have a common reference to
9 events and so on because it's all Bates stamped back to the
10 original chart. So if there's a question about, well, I
11 made this observation, whereas in the original chart it's
12 Bates stamped with a page number so we can go there and
13 source it out at the original document, so it's very
14 precise. It's very meticulous. It has everything in it.
15 It's just put into a readable format, an Excel spreadsheet.

16 And then at the top of that spreadsheet there's
17 what I call demographic analysis where we -- where I put
18 down the age of the patient, the gender of the patient, how
19 many visits the patient has, what were the dates of care,
20 the medications they were prescribed, the pain diagnosis.
21 By the way, I'm referring to my computer here because I've
22 got one of these up. So the pain diagnosis, the red flag
23 history like smoking history, alcohol history, illegal drug
24 history, medications, mental health co-morbidities, all the
25 things that are appropriate for me to make an appropriate

1 determination of an opioid use and injections.

2 So that's all part of a large document that for
3 each patient I call the forensic chronology. And maybe I
4 can show them.

5 Q. Absolutely. I was going to ask you, please, if
6 you have one, maybe if you can pick up one from Amy and
7 show us what the forensic chronology looks like.

8 A. So all right. So one, two, three -- this is one
9 of three volumes that I put together. All total it ended
10 up being, I've got three volumes. This ends up, all total,
11 being about 12 -- about 12,000 -- 12,000, yeah, about
12 12,000 pages is what I put together.

13 Q. Does your staff essentially do the clerical work
14 of putting the information into a readable format for you?

15 A. That's correct. They do the clerical work. They
16 pull it all together. They -- I do the interpretation
17 work, and obviously form the opinion based on things. They
18 do the -- the initial translation of taking information
19 from the medical chart, putting it into the spreadsheet,
20 and then I reviewed the spreadsheet and chart side by side
21 to make sure all the critical items were obtained. This is
22 what it looks like. It's the smallest font I can get
23 because there's so much information. So this is for the
24 patient Amy, and there are -- for her there are --

25 Q. In fact, while you're speaking, let's bring up

1 Exhibit 901.

2 A. And there are about 70 pages for Amy. And I'm
3 sure we'll be talking about and reviewing those, but this
4 is what the work product looks like, the forensic
5 chronology is what I refer to it as.

6 THE COURT: The record should reflect that
7 Exhibit 901 is on the monitors in the courtroom.

8 MS. DUSTIN: Can we go to the first page of 901?

9 BY MS. DUSTIN:

10 Q. So looking at trial Exhibit 90I, and it's marked
11 one of 80, and it has your name in the upper right corner,
12 correct?

13 A. That's correct, yes.

14 Q. All right. And is this the summary page you just
15 described?

16 A. Yes, it is. By the way, just a correction. I
17 said 12,000, but it's 1,200 pages is what my work product
18 ended up being, 1,200 pages, so yes.

19 Q. All right. And you have then after your summary
20 page, does the timeline begin?

21 A. Yes. So after -- after the first couple lines
22 where I have this summary information, then begins the
23 actual record demonstrating all the information that was in
24 the actual patient chart, color coded and chronologically
25 put together.

1 Q. So what color would the treatment, beginning with
2 ANA or Advanced Neurological Associates, and then Dr.
3 Bauer, what color would that begin with?

4 A. Light blue.

5 Q. So if we can move to the next page, we're looking
6 at Page 4 of 80, and it looks like -- entry on top is ANA
7 and it looks like Brendan Bauer?

8 A. That's correct.

9 Q. Now, we're going to get to this a little bit
10 later, but since you mention red flags, what are red flags?

11 A. It's well understood, as we talked earlier this
12 morning, that there are certain predispositions, medical
13 conditions, mental health disorders, that a patient may
14 have that will make them more liable to addiction or abuse
15 of Controlled Substances. We refer to these
16 predispositions as red flags. And they're -- you want me
17 to describe the three different categories of red flags?

18 Q. Yes, please.

19 A. We think of it in terms of three different
20 categories where, as a physician, as a provider exercising
21 universal precautions, that would need to be documented and
22 examined before opioids might be started.

23 The first would be mental health. We talked
24 about that briefly. We know that there is an,
25 unfortunately, a significant association with drug abuse

1 and mental health. And part of that is because of the
2 emotional suffering that causes the patient to have pain,
3 and then seek out opioids, perhaps. Although opioids
4 aren't appropriate for emotional pain. But nevertheless,
5 mental health is one of the factors that we look at. So
6 incorporated in that we're looking at depression -- as
7 examples, depression and anxiety are the two big ones, post
8 traumatic stress disorder, PTSD, Schizophrenia, mostly
9 depression, anxiety, depression, panic attacks and that
10 sort of thing are, unfortunately, are associated
11 disproportionately with the inappropriate use of opioids in
12 addiction. So that's one category.

13 Second category would be what I referred to as
14 the psychosocial factors. And that would be, again,
15 picking up on what we discussed earlier today, that would
16 be some individuals are suffering in life because of their
17 living conditions. Maybe they're living, again, with a
18 spouse or children who are actively taking medications,
19 illegal medications, and they're reinforcing that behavior
20 in the family, or maybe that are victims of preadolescent
21 sexual abuse, or physical abuse, of other types or
22 emotional abuse. We know there's a high association with
23 that problem, that social problem, if you will, and drug
24 abuse.

25 And we -- and we look for other indications like

1 homelessness, or financial stressor, relationship stresses.
2 Relationship stresses is pretty broad, but if you look at
3 suicide and drug overdose and addiction, and we look at the
4 causes --

5 Q. Suicide is a red flag?

6 A. Suicide is a major red flag. Previous history of
7 abuse, drug abuse is a red flag. Previous history of
8 overdose is a red flag. These are obvious things that, if
9 you think about it, you would say these things need to be
10 looked at before a commitment to use opioids with a patient
11 would be made.

12 And then the third category, so we have
13 psychosocial, mental health, and the third one is co-morbid
14 illnesses. For example, I talked earlier about if a
15 patient has respiratory problems, preexisting, and then we
16 add opioids or Benzodiazepines on top of that, we
17 predispose them further to death to stopping breathing. So
18 as part of an evaluation of their general health or
19 co-morbid conditions, as we call it, we look for asthma,
20 sleep apnea is a big one. Sleep apnea, people quit
21 breathing already, so if they have a history of sleep apnea
22 and we add opioids on top of that, we're putting them in
23 danger of stopping breathing all together.

24 So we look for lung or breathing problems. We
25 look for cardiac or heart problems, and we look for kidney

1 or liver problems. Why those? Well, the heart, I think
2 it's obvious, if a patient has heart disease we don't want
3 them to slow down their breathing because it might
4 precipitate a heart attack, and they might die.

5 With regard to the kidney or liver, those are the
6 two that metabolize the drugs, that clear them from our
7 system. It's important to find out if the patient has a
8 history of liver problems, hepatitis or alcohol abuse or
9 enlarged liver as a result of alcohol.

10 Q. So alcohol abuse itself is a red flag?

11 A. Alcohol abuse, sure, and that would be part of an
12 addiction history, let's say. So an addiction history
13 doesn't always have to be just the opioids, it could be
14 alcohol, it could be illegal drugs, so yes.

15 Q. Speaking of illegal drugs, what about abhorrent
16 behaviors?

17 A. Abhorrent behaviors, yes. Sort of a category,
18 and maybe they should stand on their own. But certainly
19 what -- what the counselor is referring to is that if an
20 individual is -- is acting in an abhorrent fashion, by that
21 we mean if they're drug seeking, maybe they've got
22 full-blown addiction, or maybe they're not quite to the
23 fully addictive state, maybe they've got what we call
24 substance use disorder and on the road to addiction, but
25 what we used to call dependency. If they've got abhorrent

1 behavior that indicate they're opioid seeking, or they're
2 high risk takers, or they're showing us indications that
3 they're not following medication directions appropriately,
4 they're early out on their medications, they're asking for
5 early refills, there are incidents of lost and stolen
6 medications, those sorts of things are abhorrent behaviors
7 that must be reviewed by the physician prior to committing
8 to opioid therapy so as not to fall into the trap of
9 providing opioids for continued addiction. And that's
10 where the universal precautions concepts come in because
11 it's hard to predict, so we have to do our homework.
12 Standard of care requires we do our homework in this regard
13 and to outline the red flags or high risk areas.

14 Q. If a doctor encounters a patient with one or more
15 red flags, what does the doctor need to do?

16 A. The red flags need to be addressed. Red flags
17 are like symptoms. You come to the doctor with a belly
18 ache or headache, that's a symptom. So in the same manner,
19 you come to the doctor and the doctor discerns you've got
20 some red flags or abhorrent behavior, the doctor has to
21 have a high index of suspicion when we're dealing with
22 opioids. Trust the patient, but also understanding that we
23 can't always predict whether the patient's going to use
24 those drugs in a -- in an abusive or diverting manner. The
25 doctor must seek to explain those. If we get an anonymous

1 phone call that says our patient's selling their meds or
2 overusing them, we have to have that discussion with the
3 patient and try to discern, is the patient telling the
4 truth, or is the anonymous caller telling the truth. If
5 there are multiple incidences where the -- where they're
6 early out medications, early refill medications and stolen
7 medications, we call those three abhorrent behaviors. We
8 call that the abusive triad. And if those show up in a
9 patient, we have a high index of suspicion, as a physician,
10 that those drugs are being used for purposes of abuse,
11 addiction or diversion. So we must have a conversation
12 with the patient, and if appropriate, standard of care
13 requires us to wean the patient of those and to choose
14 other treatment options for their pain syndrome.

15 Q. Let's get back to the Amy chart.

16 Based on your review of Amy's chart, did Dr.
17 Bauer follow the standard of care for prescribing
18 Controlled Substances?

19 A. He did not.

20 Q. And based on your review of the Amy chart, did
21 Dr. Bauer write prescriptions for Controlled Substances
22 with a legitimate medical purpose and within the course of
23 professional medical practice?

24 A. He did not.

25 Q. Would you please describe, for the jury, Amy?

1 A. Amy is a young woman.

2 Q. And let me say -- before you start, what are you
3 using to refer to?

4 A. I'm looking at my forensic chronology at the top
5 section where I have the summary or demographics, you saw
6 it briefly, but that's what I'm referring to now.

7 Q. So in front of you is Trial Exhibit 901, and you
8 have it electronically in front of you, and also have the
9 paper copy, correct?

10 A. Correct.

11 Q. So please describe for us the Amy patient.

12 A. Amy is a young woman.

13 Q. And we had this patient testify so we can use her
14 last name. Her name is Amy Clift.

15 A. And that's -- and that's always, I think
16 respectful, because these are real people who have real
17 problems, and we have to be cautious as physicians, and we
18 have to be respectful. And we have to look at them as
19 troubled individuals, in many cases with legitimate medical
20 problems.

21 Amy is a young woman who was 36 years old at time
22 of her last visit. She was under care with Dr. Bauer for
23 14 years, which puts her age at initial visit at 22. She's
24 a very young woman, she's 22 years old. She's disabled,
25 based on the chart. Her chief complaint on the initial

1 couple visits had to do with tailbone that she claimed was
2 broken in a motor vehicle accident. A review of the chart
3 indicated no indication of a broken tailbone, and certainly
4 no objective indication that she needed opioids for that.

5 Q. That's not sufficient enough to support a
6 diagnosis of pain?

7 A. No. Standard of care requires that the -- that
8 the pain diagnosis be both objective -- well, three things,
9 objective -- and well two things: Objective and
10 legitimate, in the sense that it's an appropriate diagnosis
11 for the use of opioids. We all have pain. We all have
12 pain, but we don't all require opioids. And some types of
13 pain can't be effectively treated with opioids and
14 shouldn't be. So it has to be a diagnosis that's generally
15 accepted for opioid treatment. Tailbone pain is not one of
16 those. Maybe pain, but not one for the use of chronic
17 opioid therapy.

18 She also had diagnosis of various pains in her
19 shoulder and in her hip. Fibromyalgia, back pain, leg
20 pain, Sacroiliac pain, thoracic outlet syndrome. There's a
21 whole host of diagnosis here, none of which were either
22 objective in the sense that they were demonstrated by MRI
23 or on physical exam, and none of which were legitimate in
24 the sense they weren't generally accepted for treatment
25 with opioids.

1 She had a heavy smoking history. She denied use
2 of alcohol and illegal drugs. She was main -- she had a
3 multitude of red -- well, before I get to that. She had
4 mental health co-morbidities. She has bipolar disorder,
5 she had a history of depression, anxiety, narcolepsy and
6 anxiety attacks. These are significant. We often see
7 bipolar associated with -- associated with opioid abuse.
8 So she had a constellation of mental health co-morbidities
9 that were red flags, caution flags in and of themselves.

10 In terms of treatment noncompliance.

11 Q. Let me just ask you, based on your review of
12 Amy's chart, would you give us an overview of Amy as a
13 patient being treated with opioids and Controlled
14 Substances for the management of pain?

15 A. In terms of how she did over the course of time?

16 Q. Yes.

17 A. Yes. So as part of my review of the chart, I go
18 back and I examine the complaints of the patient at each
19 visit, what they rated their pain at, if the pain score was
20 asked for, and what dose of medication, what morphine
21 equivalency they were on.

22 So initially, when Amy presented in 2003 of low
23 back pain and tailbone pain, she was not on any narcotics.
24 She saw Dr. Bauer for the first time in January of 2004,
25 again, complaining of low back pain. And her pain scores

1 in those early days was about five out of ten. Again,
2 scale of one to ten, she was a five out of ten, where a ten
3 is horrible and five is in the middle.

4 Q. Tell us about the pain scores. How do we arrive
5 at a pain score?

6 A. Okay. So VAS stands for visual analog score.
7 And, again, simple as scale of one to ten how do you feel.
8 But that's the key, how do you feel, how do you rate your
9 pain. It's directly up to the patient to tell me, as their
10 provider, what the pain is, whatever it is I'll accept it
11 as that. It's an expression of their suffering, which we
12 call pain, but may not be pain, might be emotional
13 suffering. We talked about that. But whatever it is,
14 their total score is whatever they tell me in terms of
15 their VAS score. So we generally find that, to put it into
16 a more familiar scale, we all have pains, right, we stub
17 our toe we do this, we're achy, I dug something up in the
18 back yard, we're sore, we're tired. We generally describe
19 most of our daily pains on the order of one, two or three
20 out of ten. That would be what we all encounter from
21 day-to-day, or we could take Tylenol, Ibuprofen, or rest,
22 or heat, or massage. Those are the things we put -- if
23 it's a scale of VAS three, three or four out of ten, we
24 don't need opioids. We can put up with that, and we can
25 take care of it with over-the-counter medications and

1 treatments.

2 Once we get over four, those scores, typically
3 about five or six or higher, is what bring the patients in
4 to see us as pain providers. And -- and those -- those
5 pain scores are quite significant. Generally patients who
6 tried over-the-counter, it doesn't work, so we have to
7 examine it from there to find out how much of this is an
8 emotional pain, or pain associated with mental illness or
9 psychosocial problems, and how much is due to something
10 broken that we can take care of with a shot or with opioids
11 let's say.

12 But we monitor that. It's -- it's required that
13 we monitor that, by standard of care, to see what the VAS
14 pain score does over time. Standard of care requires --
15 you remember me telling you this, that the score must
16 improve over time with the use of opioids to justify the
17 use of opioids.

18 And I guess the last thing I would state in that
19 regard, that there's a general sense in terms of standard
20 of care put out by the CDC and other professional
21 organizations that what justifies a -- an objective
22 improvement in VAS score to support the opioids is
23 generally conceded to be a level of three. So if you come
24 up with pain -- and pain, let's say it's eight out of ten,
25 if I treat you with opioids or -- and your pain comes down

1 three points to a sustained value of five out of ten or
2 less, we can we consider that -- we consider that as
3 objective evidence that our opioids are justified. It has
4 to be accompanied by an improvement in function. But in
5 terms of the VAS score, that's what we look for.

6 Q. And we're going to get to your summary chart in a
7 moment, and we'll start explaining -- having you explain
8 diagnosis and support of diagnosis.

9 Can you just give us an overview of Amy's
10 treatment? And I think you left off with the VAS score
11 when I interrupted you.

12 A. Amy's original VAS score that we have documented
13 is five out of ten. Over time it varied from five out of
14 ten to six out of ten to four out of ten, but it was always
15 in that area. After being treated for 14 years, her last
16 VAS pain score was six out of ten, again, compared to the
17 five out of 10 that she started out with 14 years prior, so
18 her pain scores never improved. They didn't vary much, and
19 they were always basically at the same level that she
20 presented with. With regard to other comments --

21 Q. Can you tell us about the Controlled Substances
22 that Dr. Bauer prescribed to her?

23 A. The Controlled Substances were -- included
24 Percocet, Klonopin; Klonopin is the Benzodiazepine, and
25 from time to time she had anti-depressants and various

1 stimulants provided. Ultram was an additional narcotic
2 that was prescribed to her in conjunction with the
3 Percocet. So she was on quite a number of medications of
4 opioids, sedatives and stimulants. Her -- her morphine
5 equivalency originally started out as zero, she wasn't on
6 any narcotics, she was ratcheted up to somewhere on the
7 order of 20 to 30 morphine equivalents, then 50 to 60, and
8 then her high, as best I could tell from the chart, the
9 PDMP data, her morphine equivalency got up to somewhere
10 around 90 morphine equivalence, which, at that time, was a
11 combination of Percocet -- and, again, as we talked,
12 Percocet is Oxycodone with Tylenol, so it's a combination
13 of Percocet plus Ultram.

14 So at the end of her care in 2015, 2016, 2017,
15 she was taking Percocet, Ultram, Clonazepam, Modafinil,
16 which is a stimulant, Methylphenidate, which is a
17 stimulant, and she was receiving Prednisone, both orally as
18 well as by injection.

19 THE COURT: I'm sorry to interrupt, but I'm going
20 to exercise that right. I want you to, very briefly,
21 please explain the significance, if any, because we have
22 part of an exhibit on the screen, the significance of the
23 difference in writing. Some things are in black, some are
24 in red, and some are highlighted with yellow, and maybe
25 counsel was going to get there, but before we go further I

1 think it would be helpful for you to explain. Again, very
2 briefly, if there's any significance to the difference in
3 writing, typing, color.

4 A. The -- the typing should be all the same font.
5 If not, that's just me. On the other hand, the colors
6 represent my notes, my personal notes. On the upper left
7 hand -- so if it's red, those -- like we see under mental
8 health co-morbidities, those turn out to be significant red
9 flags that I want to remember when I finally write my
10 narrative report.

11 On the right there at the top where it says
12 critical observations, typically I will put the medications
13 in red so I can remember, as we go through the chronology
14 there, and the dates, what the medications are and what
15 their corresponding morphine equivalents are, which are in
16 black, but the medications are in red, again, just as a
17 visual reminder to me.

18 There are some orange marks there, which, from
19 time to time, are points that I noted in the history of the
20 chart that I wanted to remember. In this case there was
21 one period in 2008 where Amy was not present for four to
22 seven months. There was an absence, so I just put that in
23 orange just so I could note that at the time when I finally
24 wrote the narrative summary.

25 The black is -- is, again, it's -- it's generally

1 a bolded, but that's because I use the black bolded to --
2 as a quote when the patient or the doctor is defining the
3 actual complaint or statement that's coming from the
4 patient or from the chart.

5 THE COURT: In case the jury was trying to
6 correlate the testimony with the exhibit, he is reading
7 from a different page than the page that is on the screen.
8 So the highlighted version, as he calls it the orange, it
9 is now on your screen, and when you can see in the lower
10 right-hand side of the screen the four to seven month
11 absences highlighted, he calls it orange. And that's
12 sufficient for an answer to my question. Thank you.

13 MS. DUSTIN: Thank you.

14 BY MS. DUSTIN:

15 Q. So what do absences from -- in terms of visits at
16 a practice -- let's say for a pain management type patient,
17 why is that significant?

18 A. Pain management care requires a continuum. We're
19 providing patients with a significant pharmacy, in some
20 cases a poly pharmacy, that has to be followed carefully.
21 If there's a significant absence, let's say more than three
22 months, assuming the patient's stable, that can create
23 problems of withdrawal if the patient doesn't get the
24 medications or raises the question of what happened. Did
25 the patient die or get sick or get hospitalized, because

1 we're dealing with quite significant potentially dangerous
2 and addictive substances.

3 So we, as providers, have to keep track of our
4 patients. We end up knowing our patients pretty well
5 because they -- they have to be accounted for at all times.
6 So any sort of time frame where they're absent, we need to
7 know what's going on.

8 Q. Did you ultimately prepare a chart to summarize
9 your findings regarding Amy?

10 A. I did.

11 Q. If we can bring up Trial Exhibit 945.

12 And would you explain the headings starting with
13 diagnosis and support of diagnosis? Let's take those
14 together.

15 A. Originally I had talked to you about the bullet
16 points that define the practice of medicine. So the orange
17 across the top where it says standards of care, that
18 applies to the bullet points. So the diagnosis we talked
19 about, the support of diagnosis, is part of the patient
20 evaluation that we talked about. And did you want me to go
21 on across the top there?

22 Q. Yes. Just explain what makes up the diagnosis or
23 diagnosis which you look for.

24 A. I'm still not following.

25 Q. What you're looking for in order to determine if

1 those aspects are supported by the patient chart.

2 A. Okay. So the diagnosis, as I indicated also
3 earlier, must be individualized and must be -- sorry.

4 The diagnosis must be one that -- that is
5 objective and generally accepted as a condition for the use
6 of chronic opioids. So the diagnosis ultimately must be
7 expressed as such.

8 The diagnosis, moving on to the next column, must
9 be supported. The diagnosis can't be just sort of
10 ambivalent or guessed at or generalized. It has to be
11 supported by the diagnosis, meaning a complete history has
12 to have been performed, including past medical records
13 and -- and examination of any previous trials of opioid
14 patients may have been on a targeted physical exam must be
15 performed and documented, and a clinical workup must be
16 performed, which would include such things as MRI imaging,
17 electro diagnostics labs, or consulting expert opinions and
18 -- you know, if it's a back problem let's say from an
19 orthopaedic surgeon or spine surgeon. All those things
20 will go together for the clinic to compose the clinical
21 workup, which, in combination with the physician's clinical
22 exam and clinical history, would then define a diagnosis.

23 Q. And then moving over to the top, risk assessment.
24 What are you considering in assessing risk?

25 A. Risk assessment is part of the thorough history.

1 It holds a particular place in pain medicine because the
2 use of opioids, or the use of steroids, or the use of
3 injections, can have devastating consequences. So risk
4 assessment, as we talked about, would include a very
5 careful examination of the patient's mental health
6 background, of the psychosocial factors. Sometimes we put
7 psychosocial into mental health. They're actually two
8 separate, but that would include those two.

9 And then we look at the medical co-morbidities
10 like we talked about that might predispose the patient to
11 not respond to the medication in a safe fashion, such as
12 stopping breathing, or not being able to metabolize the
13 medications.

14 Q. And then moving on to the treatment plan.

15 What are you focusing on when you're looking at
16 the parameters for opioid prescribing for a treatment plan?

17 A. The treatment plan must be individualized and
18 multi modal. You're going to hear that until you're tired
19 of hearing that. But each patient is individualized
20 because each pain presentation is individual, because it
21 includes emotion and that patient's social and mental
22 health life so it has to be individualized.

23 But as part of the treatment plan, we recognize
24 that there are a couple of red flags that we know can cause
25 significant problems, and I'll start over on the right

1 where it says MEQ greater than 100. You heard me say that
2 the morphine equivalent dose is proportional to the risk of
3 overdose or death or abuse or diversion. The morphine
4 equivalency, once we get to 100 and above is -- has been
5 shown statistically to be associated with a ten times
6 increase in risk of overdose or death. So we sometimes
7 refer to morphine equivalence when we get up to the 90 to
8 100 range as to the region of extreme concern because of
9 all the problems and side effects and adverse events that
10 are associated with doses at that level and above. So I
11 look to find out if the morphine equivalency is at that
12 level or above and consider that a major risk factor.

13 Working backwards where it says high risk drugs
14 and combos. We talked about that a little bit earlier when
15 I was boring you with the pharmacology of it. I talked to
16 you about the Holy Trinity, I talked to you about the
17 prescription speedball, and the other one that I will add,
18 because I promised you I was going to have another one, is
19 a combination of -- poly pharmacy combination that's
20 extremely dangerous and addictive when you combine an
21 opioid with a Benzodiazepine. That would be like Percocet
22 plus Klonopin, which is what we see here in the case of
23 Amy. And that's where the FDA says don't do that, and all
24 our professional organizations say don't do that. It's
25 proportionally associated with stopping respirations and

1 the patient dieing. We don't have a name for that
2 combination like we do the others, but it's a combination
3 of opioid plus Benzo. So if we see any high risk drug or
4 poly pharmacy medications, that goes in that column.

5 And then the one there defined treatment plan,
6 this goes back to the fact that each patient has to be
7 individually assessed and individually treated, and the
8 treatment plan has to be written and it has to be multi
9 modal. Can't just say I'm going to give everybody a shot,
10 I'm going to give everybody steroids month after month
11 after month, I'm going to give everybody opioids. No, some
12 people may need opioids, some people may need steroids,
13 some people may need shots that include steroids, some
14 people may need smoking cessation, weight loss, nonimpact
15 weight training, cardiovascular rehabilitation, psychologic
16 services. We have to take all those into consideration.
17 We can't use the same treatment plan.

18 Q. Let me ask you, as you're considering the
19 different aspects of the standard of care, how are you
20 tracking -- how are you, let's say, determining -- once --
21 whether it's fulfilled or not in the chart, how do you show
22 whether or not it's fulfilled?

23 A. Ultimately if that particular standard of the --
24 well, the 14 here we look at, but ultimately if I find that
25 that standard of care is met, based on generally understood

1 scientific and medical principals, not my thought but
2 what's in the literature that supports it, if the physician
3 has met that standard, I will give them a green checkmark.
4 If that standard is not met, I will give them a red X. So
5 that's what you're going to see here in a few moments, but
6 that's the way I designate it.

7 Q. And do you also have a mark that supports no
8 documentation?

9 A. Yes, sometimes I'll use a zero or an O if there's
10 really no documentation, or no answer that can be inferred,
11 sometimes I can infer some of this. And occasionally the
12 other mark that you'll see here is a XX. A XX is, how
13 shall I describe it, a XX is representation that the
14 standard was not only not met, it was not met so critically
15 that had it been observed, that the end effect would not
16 have occurred, that in all likelihood the patient would not
17 have died, or would not have developed addiction, or would
18 not have gone on to have the severe suffering that was
19 evident in the chart in the medical record. So XX is one
20 of those that makes me kind of say, whoa, that -- that not
21 only was a standard that was not observed, that impacted
22 and did harm to the patient.

23 Q. And then moving over in your continuum at
24 procedures, you're considering what type of procedures with
25 respect to opioid parameters?

1 A. Yeah, we're looking at procedures or
2 interventions, as I prefer to call them, but pain
3 injections is what they are. And pain injections are
4 inherent -- inherently dangerous. We're sticking needles
5 into various parts of the body, sometimes deeply into the
6 body, into the spine, into the thoracic area and lumbar
7 area. These are not trivial procedures, they have to be
8 taken seriously. There has to be medical indications that
9 suggest, yes, it's appropriate to do that. And number two,
10 it's appropriate to put the medication in. And we can put
11 excessive medication in, we can put medication in the wrong
12 location, we can put bad combinations of medicine in. So
13 all that goes into my determination of whether the pain
14 procedures were medically indicated and appropriate.

15 Q. And then lastly, you have compliance,
16 enforcement, and outcome, as considerations in the standard
17 of care.

18 A. This is the follow-up part of the four bullet
19 points that I told you comprises the practice of medicine.
20 You have to follow up with your patient, you have to make
21 sure that you're doing good and not doing harm. You have
22 to make sure that the treatment plan you put together is
23 actually working. So we divide this into -- I divide this
24 into five different areas here, five different columns.

25 The UDS stands for urine drug scene. Standard of

1 care requires us to do urine drug testing on patients
2 initially before we start the opioids, and then, from time
3 to time, over the course of treatment, depending on what
4 risk category they're at. If they're a high-risk patient,
5 we might do three or four or five of them a year. If
6 they're a low risk patient, and I'm happy that things
7 are -- there are no abhorrent behaviors, and things are
8 going well, and things are controlled, I might do a urine
9 drug screen once a year, in that range. And then I look to
10 find out, as I examine the chart, not only were urine drug
11 screens done, but what did they show. Did they show
12 illegal substances, did they show that the patient was or
13 was not taking their medication, in which case we have to,
14 as providers, act accordingly.

15 Q. So if the urine screen shows a medication that is
16 not being taken, does -- is that a fail?

17 A. That's a fail. Well, that's an abhorrent
18 behavior, that then has to be interpreted in the context of
19 the total care of the patient. Is the patient getting
20 better and has maybe one failure that may not be too
21 critical, say doesn't involve an illegal substance, or
22 maybe it does, let's say it's cocaine, which is a show
23 stopper, if there's cocaine or heroin or something like
24 that.

25 Another show stopper would be if I had the

1 patient on high doses of morphine equivalence, whatever
2 opioid, say Oxycodone or Oxycontin, and all of a sudden I
3 did a urine drug screen and the medicine wasn't there, that
4 tells me that the medicine's not being taken. And that
5 tells me the patient's at risk for not only abuse, but
6 maybe they're selling the medication. So if, objectively,
7 I find out that the medication I am prescribing at a high
8 dose is all of a sudden not showing up, that's a show
9 stopper, and we have to, as physicians, figure out whether
10 it's safe or appropriate to prescribing opioids.

11 Q. Moving over, can you briefly explain the PDMP?

12 A. The PDMP, we talked about that's the OARRS report
13 in The State of Ohio. And, again, that shows whether --
14 well, it shows how many pharmacies the patient's going to,
15 how many medical providers the patient is going to, and
16 what medications the patient is receiving, as we worry
17 about poly pharmacy combinations like we talked about.

18 Also will demonstrate early refills of
19 medications, and it will demonstrate if, what we call
20 doctor shopping, is occurring. Maybe my patient's going to
21 you as another provider and getting duplicate prescriptions
22 or different medications. The PDMP is easy to use, just
23 takes me a few moments, pull it up on my computer, but it
24 gives me a wealth of information that helps me define how
25 compliant the patient is, and, therefore, is required by

1 standard of care to be followed.

2 Q. And also pill counts are another tool that a
3 doctor can use in monitoring compliance?

4 A. Yes. Pill counts I don't have listed on here,
5 but it certainly is a methodology easy enough to use, and
6 very appropriate to hold the patient accountable to show
7 what pills they've got left, and is it the right number.

8 Q. And then moving to the -- I think you already
9 discussed chemical improvement. And we do also have drug
10 risk behaviors and observations, and I think I just want to
11 touch on those briefly. I think you talked about early
12 refills and lost or stolen medications.

13 A. Correct, there's nothing new there. You can read
14 it there, early refills, lost or stolen meds, missing
15 scripts, and we look at drug risk behavior or observations
16 to include is the patient's pain controlled or not
17 controlled, are they going to the emergency room to seek
18 pain medications for treatment of their pain. Are there
19 hospitalizations involved, perhaps not only for pain but
20 perhaps for mental health issues. Are there any arrests,
21 is there any legal history that would serve as a red flag
22 that maybe the patient's not using the medications
23 appropriately. Is there any history of overdose or
24 suicide. Those would be things we look for in that
25 category.

1 Q. Ultimately how does death or discharge, how is
2 that significant in this continuum?

3 A. Well, those are bad outcomes. If the patient
4 dies, that's a bad outcome. If the patient's discharged,
5 that means the patient was discharged because of
6 misbehavior, abhorrent activity. So I look to see if the
7 patient has any history of, well, death or discharge.
8 Would you like me to comment on what information that gives
9 me as a physician or gives us as a provider?

10 Death is important because it's prudent. If I'm
11 providing a dangerous and addictive substance over time to
12 a patient and that patient dies, it's prudent to ask the
13 question did I have any part in that death, did my opioids
14 either result directly or indirectly, because of adverse
15 side effects, contribute to the death of that patient.
16 That's what we call a sentinel event, and that's kind of
17 saying that's obvious, but death is a sentinel event. If
18 one death occurs, if one death occurs in my practice --
19 people die all the time, old age, complications of diabetes
20 and maybe heart failure, one thing or another, but it
21 doesn't occur very often. We get to know these patients
22 personally, so if the patient unexpectedly dies --

23 MR. GIBBONS: Your Honor, can I object? This
24 poster board has been placed in front of us.

25 MS. DUSTIN: We're just going to bring this up

1 here. I wasn't sure if it was going to block your view or
2 not. I'm sorry, keep going.

3 A. So death is a sentinel event. And if it occurs,
4 the standard of care requires that the protocol or an audit
5 of that patient's chart be performed to ask and answer the
6 question did I, as a provider, have anything to do that may
7 have contributed to this death. A review of the chart, an
8 audit of the chart, is required if the patient death is --
9 is noted.

10 With regard to discharge, there are many reasons
11 why perhaps a patient might be discharged. Maybe there
12 were too many abhorrent behaviors, maybe the urine drug
13 screens were repeatedly -- or maybe even just once, showed
14 some dangerous things that maybe we thought it was prudent
15 to stop prescribing at that point. Or maybe the patient
16 was just misbehaving, that happens a lot too. But if for
17 some reason the patient is discharged, that has to be a
18 very thoughtful event. We don't -- we don't abandon
19 patients. I might say, look, your behaviors have been
20 unacceptable. You've violated your contract of care -- and
21 it is standard of care to have a contract -- you would
22 agree to take my medications as prescribed, I agree to
23 examine you and provide treatment for you. But if you're
24 discharged, and that has to be a thoughtful event with
25 foresight that's defined as part of the treatment

1 agreement. If it occurs, then it occurs, you don't just
2 takes the patient back again after a couple weeks or a
3 couple months.

4 Those are significant abhorrent events that might
5 reasonably, based on standard of care considerations, say
6 I'll continue to take care of you as a patient, but we're
7 going to take opioids off the table. I'll continue to take
8 care of you, but you have to agree to quit acting up in my
9 office and we're taking opioids off the table. So the
10 treatment agreement's a discharge as it relates to opioids
11 is important, and that's why that column's there, to look
12 to see have there been any discharged from care by their
13 provider; and if so, why, and what happened afterwards.

14 Q. So let's get back to patient Amy.

15 Based on your review of Amy's chart, and in
16 conjunction with Trial Exhibit 945, did Dr. Bauer
17 adequately diagnosis Amy's medical issues?

18 A. No. There was no objective diagnosis in terms of
19 Amy's pain condition.

20 Q. And did Dr. Bauer adequately support his
21 diagnosis?

22 A. No. The -- again, we would have to have a -- an
23 evaluation. That's a term I'll use, a full evaluation,
24 which includes history and physical and testing and
25 imaging. An evaluation was not performed such that a

1 diagnosis could be defined, and -- and a diagnosis would be
2 generally accepted for a treatment with chronic opioids.

3 Q. Well, Amy had a broken tailbone from a motor
4 vehicle accident, isn't that enough to support a diagnosis
5 of pain?

6 A. Amy complained of pain, and she complained of a
7 tailbone that she said was injured in a motor vehicle
8 accident. But x-rays, images, MRIs did not demonstrate a
9 broken tailbone.

10 She later complained of diffuse back pain, but,
11 again, there were no MRIs that showed any -- any indication
12 of actual injury. Remember, Amy was 20 some years old, she
13 was a young woman, so as was otherwise would be expected to
14 be pretty healthy. There was no objective support of a --
15 of anything other than a subjective complaint of pain.

16 And, again, part of her background showed that
17 she had significant mental health co-morbidities, which
18 could have very easily and appropriately counted for her
19 pain addiction, possible addiction, I would add to that.

20 Q. And did Dr. Bauer perform a targeted physical
21 exam?

22 A. He performed a neurologic exam, a targeted exam,
23 but it -- it tended to be repetitive, did not show any
24 focal -- what we call focal deficits that would support any
25 neurologic deficits to help us form a -- a diagnosis

1 supportive of the use of opioids.

2 Q. Did he perform his own clinical workup?

3 A. The clinical workup was vague, superficial,
4 subjective and did not define a pain condition.

5 Q. Based on your review of Amy's chart, did Dr.
6 Bauer adequately assess the risks of prescribing Controlled
7 Substances to Amy?

8 A. No. He ignored the mental health co-morbidities
9 that we've discussed. There were quite -- there was a
10 single -- there might have been a second urine drug screen
11 done, but maximum there were only one or two urine drug
12 screens done over a 14 year time frame, so that was
13 inadequate.

14 And then with regard to treatment compliance,
15 there was -- there were a host of -- of red flags that were
16 noted.

17 Q. Did Amy have an opioid trial?

18 A. She had a greater than 14 year opioid trial. She
19 was trialed on medications, including Oxycodone, by her
20 prior provider before she presented to Dr. Bauer. And she
21 had had a lumbar MRI, by the way, which was normal during
22 that timeframe. So even back then she went through a
23 trial, had an evaluation that didn't show anything. So,
24 essentially, she had a failed opioid trial even before she
25 got to Dr. Bauer.

1 But Dr. Bauer continued to prescribe and
2 escalated the opioids, as we've described, with -- with,
3 again, no improvement in her pain syndrome, or her pain
4 score, no improvement in function.

5 Q. In reviewing the Amy chart and the types of
6 combinations of Controlled Substances, did you have any
7 concerns?

8 A. I had several concerns with regard to what you're
9 really asking, which is the poly pharmacy end of things.

10 First of all, Percocet is -- the Oxycodone
11 portion of Percocet is well known to be a particularly
12 addictive dependent type substance. Percocets -- short
13 answer is Percocets are real popular on the street, so we
14 worry about prescribing Percocets, or prescribing them to a
15 patient that might get addicted, or we might make addicted.
16 So she was on a combination of Percocet and Oxycontin at
17 one time. And she, as part of that, she was on a
18 stimulant, Methylphenidate. So she was on dual potent
19 street popular narcotics, that's one concern.

20 Second concern is she was on a combination of
21 those narcotics with a stimulant, which is, as we've
22 talked, the prescription speedball, highly addictive
23 combination. And there were times when she was -- when she
24 was prescribed Klonopin, which is a Benzodiazepine, like
25 Xanax, in combination with her multiple opioids. And

1 again, that Benzo/opioid combination is highly addictive
2 and associated with respiratory depression or overdose
3 death. There were several of those three poly pharmacy
4 combinations I was concerned with.

5 Q. Now, earlier you talked a little bit about the
6 morphine equivalence that you saw reflected in Amy's chart.
7 And you have been referring to certain limits.

8 Could you tell us about whether or not there are
9 any guidelines issued by the Ohio Medical Board with
10 respect to MEQs?

11 A. Yeah. To put that into context, the -- well --

12 Q. Let's --

13 A. -- short answer is 80 milligrams, 80 milligrams
14 was the -- is the -- is the dose that the Ohio Medical
15 Board puts forth that says sort of stop, look and listen,
16 and consider this a -- a -- anything above this a
17 potentially dangerous and addictive dose. So Ohio states
18 it at 80 milligrams.

19 Q. Let me have you look at Trial Exhibit 301.

20 Do you recognize that article?

21 A. Yes. This is it's Ohio Guidelines for
22 prescribing opioids for the treatment of chronic non-cancer
23 pain. And again, it emphasizes what I just said, that the
24 80-milligram morphine equivalent daily dose is what the
25 medical board refers to as -- well, what the government's

1 cabinet refers to as a trigger point, or expressed
2 differently, Indiana says it's a stop, look and listen
3 point. But it's -- it's sort of the point where you don't
4 go any further until you carefully reconsider where you're
5 going with the care of the patient.

6 Q. And when -- when were these guidelines issued?

7 A. If you can scroll down to the bottom of that
8 page, it says October of 2013.

9 Q. And when it refers to trigger point, we're not
10 talking about an injection, right, they're talking about
11 using it as a stopping point?

12 A. That's correct, yes.

13 Q. Okay. And have the Ohio Guidelines been reduced
14 since 2013?

15 A. They have been reduced below that 80 milligrams
16 since that time, yes. The general tendency, as we learn
17 more about how ineffective and dangerous opioids are, the
18 guidelines across the board have been coming down. And
19 Indiana's, for instance, is 50, and Ohio's has been brought
20 down as well.

21 Q. And let me --

22 A. Can I add one thing to that? There's a reason
23 for that, and that is because studies have shown that
24 somewhere in the 40 to 50 morphine equivalent range we've
25 observed between a doubling to a tripling of overdose

1 deaths. Remember I told you originally when we get up to
2 90 to 100 it was 10 X, so 40 to 50 it's two to three X,
3 which is not insignificant. And as we've recognized that,
4 this is 2013 so we've known it for awhile, the states have
5 changed their recommendation of where the trigger point is.

6 Q. So we talked about Ohio. So let's now look at
7 Trial Exhibit 306.

8 Has the American Academy of Neurology put
9 together a position paper defining the proper use of
10 opioids for chronic non-cancer pain?

11 A. Yes. This is an extremely well-written article
12 and was put forth, as you say, by the American Academy of
13 Neurology. And it's a position paper, and they have taken
14 note of the increased number of overdoses and the addiction
15 issue associated with the use of opioids. And they put
16 forth this paper on how to appropriately use opioids for
17 chronic pain. And they have -- and they've done a good job
18 in their bibliographic reference, that they took their
19 information from, to put together this position paper. It
20 was also quite robust and was -- and was quoting the
21 studies of many peer reviewed journals, professional
22 organizations, the government, the CDC, in a manner I
23 thought that was -- that was well articulated.

24 Q. What guidelines did the American Academy of
25 Neurology establish?

1 A. If we can scroll down a little bit to, down the
2 way there, they may have it listed in a manner that I can
3 real quickly tick off, but maybe not. Maybe I'll have to
4 do it by memory.

5 Q. Do you remember what year this was published?

6 A. This was published in 2014. So -- so I'll just,
7 from memory, kind of tell you what some of the things are
8 here, but it keeps growing down sort of further in the
9 article there if you would. Thank you. Just want to
10 double check one thing. I was hoping they had a bullet
11 point list, they don't.

12 But basically what they recognized, which is also
13 what was recognized by American Society of
14 Anesthesiologists and the CDC and the American Pain
15 Society, American Academy of Pain Medicine, everybody's
16 agreeing on these, including the American Academy of
17 Neurology. What they said is that there is no evidence
18 that supports the effective and safe use of chronic opioids
19 in the treatment of chronic pain. They say that up in the
20 beginning. There's no evidence that supports the use of
21 chronic opioids for chronic pain. But if -- and they go on
22 to say that, nevertheless, if they're used, there has to be
23 concern about the safety of the patient, so the dose has to
24 be at the lowest possible dose. There has to be a trial
25 period. These are all going to sound familiar to you.

1 There has to be a trial period, and if the trial period
2 does not allow the patient to meet predefined treatment
3 goals, then it's obligatory to bring that opioid dose down
4 and stop it and look at other treatment options that makes
5 sense, it's logical, and it's in agreement across the board
6 with the other agencies.

7 It also goes on to indicate that there are
8 significant concerns related to the duration of opioid
9 treatment and to the dose, the morphine equivalency as
10 we've talked about. And I've given you several examples
11 there, what the bad statistics are. But it confirms pretty
12 much what's being said by -- by the other agencies. And it
13 says, start low, go slow, if you have to go at all, and if
14 you can't prove that the patient's improving, then stop.

15 Q. Let's now look at the CDC. Did they develop a
16 checklist for prescribing opioids?

17 A. The CDC -- so we're changing courses here a
18 little bit. Yes, the CDC has put together a checklist
19 series of things that providers are expected to do if
20 they're going to use opioids. So again, there's -- this
21 checklist, okay, you're showing me was a response to the
22 2016 CDC guideline list that came out, which is very long.
23 I can't remember, it was like 100 -- 100 and some pages.
24 Some of us read it, but it was -- it was a lot to read.

25 So they put together a checklist here of the

1 basic bullet points and parameters for physicians to
2 follow. And again, this is going to sound very familiar to
3 you, and it's very consistent with what the American
4 Academy of Neurology said, and what all our anesthesia
5 journals put forth in terms of recommendations. They
6 indicated that the -- that non-opioid -- I'll just go down
7 the list here. There has to be -- there need to be goals
8 for pain and function based on an appropriate diagnosis.
9 So can't be just I feel better, it has to be, no, I can
10 walk around the block now, and my pain level was an eight
11 out of ten, now it's five out of ten, something of that
12 sort.

13 It goes on to indicate that the patient needs to
14 have an informed consent. We need to let the patient know
15 there's real risk of addiction and overdose. Addiction is
16 a topic we'll talk about, I suspect a little bit later, but
17 it is possible, you know, within the first couple doses
18 that a patient might become addicted. We have to worry
19 about that as providers. And I gave you the statistics,
20 after three months there's a 12 times increase in
21 addiction. It's not insignificant.

22 And then there has to be a criteria put forth for
23 stopping or continuing opioids, which means if there's no
24 improvement in pain and function, the opioids are stopped.
25 That's what that means. And there has to be -- I skipped

1 there, the evaluation of risks of harm and misuse, and we
2 talked about that.

3 The PDMP needs to be checked on a regular basis.
4 There's a wealth of data in the PDMP. It should be
5 checked, it's standard of care to require it to be checked.

6 Urine drug screens. Urine drug tests, again,
7 that's a very easy thing to do, and it needs to be
8 addressed for the safe and continuous use of opioids.

9 Q. And then --

10 A. Go ahead.

11 Q. -- I think we've talked about all these things,
12 so let's look at Trial Exhibit 303.

13 This is another publication from the CDC.

14 A. Okay. So again, this was in response to the 2016
15 publication of guidelines by the CDC. And I don't mean to
16 be silly about it, but doctors sometimes don't take the
17 time to read 150 page, or whatever it was, document.

18 So here the CDC put out what's called the vital
19 signs publication. They have a series of these, and this
20 was meant to address everything from family practice on up.
21 And it says, okay, doctor remember these ten things. So it
22 listed a, you know, list of ten things. You have
23 highlighted one here, which is number five, which says --
24 and we talked about this, the 50 morphine equivalence, or
25 the 50 MEQ as I refer to them, is the first sign when you

1 stop, look and listen as a provider and say do I really
2 have to go this high, or any higher than this because
3 there's significant risk of overdose and death associated
4 with this.

5 And they continue to reinforce that at 90
6 morphine equivalents. Again, some of the guidelines were
7 100, but 90 to 100 is where that 10 X increase in severe
8 life threatening problems occurs. So the CDC is
9 reaffirming, look, the 90 morphine equivalence is
10 absolutely the area of extreme concern, my words, but at
11 halfway there, at the 40 to 50 area, there's significant
12 doubling, or maybe a little more than doubling, so be
13 careful at that point too.

14 THE COURT: What is the approximate date of these
15 last two publications, Exhibits 302, 303, anyone who may
16 have an approximate date, please?

17 A. I can answer that, sir.

18 THE COURT: Thank you.

19 A. 2016.

20 THE COURT: Thank you.

21 BY MS. DUSTIN:

22 Q. And then we can remove that one.

23 Dr. King, should a board certified neurologist be
24 aware of the CDC guidelines, the Ohio guidelines, and the
25 American Academy of Neurology's 2014 article regarding the

1 opioids --

2 A. Yes.

3 Q. -- use?

4 A. Yes, a practicing neurologist would be expected
5 to be aware of those things.

6 Q. Should a physician be practicing pain management
7 be aware of those articles?

8 A. Yes.

9 Q. Is Dr. Bauer board certified in pain management?

10 A. To my knowledge, he is not. He's board certified
11 in neurology, but not in the sub-specialty of pain
12 management.

13 Q. Was there a board certification for pain
14 management available when Dr. Bauer went to medical school?

15 A. When Dr. Bauer and I, back in the olden days,
16 were in medical school, there was not board certification
17 in pain management. Pain management became a specialty
18 primarily through anesthesia. As I've talked about, we
19 deal with these drugs and these injections on a regular
20 basis. So the whole sub-specialty of pain management came
21 through anesthesiology in the late 1980s. And then it took
22 awhile to put together training programs and board
23 certification. Board certification for pain management for
24 any specialty became available in about 1992, 1993.

25 Q. Would Dr. Bauer be considered grandfathered in?

1 A. No. Dr. Bauer and I, when we took our original
2 board certification examinations, oral and written, way
3 back when, me in anesthesiology and him in neurology, at
4 that time, once we passed board certification, we had
5 lifetime certification. Since then, that's no longer the
6 case. New neurologists coming out, new anesthesiologists
7 coming out, take a board certification, both written and
8 oral, but you have to recertify every ten years -- every
9 ten years. So Dr. Bauer would not have been grandfather --
10 well, he never took -- well, I don't know if he ever took
11 the board certification exam for pain medicine, but -- but
12 if he had, he would have been required, like I am, to
13 recertify my board certification in pain medicine every ten
14 years.

15 Q. I want you to look at one more article, and then
16 we'll go back and talk about Amy.

17 Exhibit 304, and which -- what publication is
18 this, and can you tell us the significance?

19 A. If you can scroll down just a little bit, please.
20 I just wanted to be sure.

21 These are the guidelines that I've been referring
22 to, and we've been discussing, put forth by the CDC in 2016
23 for the use of opioids and the treatment of chronic pain.

24 Q. And that was 2016?

25 A. That's correct.

1 Q. Thank you. Based on your review of the Amy chart
2 and on risk assessment, was Amy a good candidate for
3 long-term opioid treatment?

4 A. She was not a good candidate because she had too
5 many abhorrent behaviors, mental health, co-morbidities and
6 no indication of improvement in either her pain score or
7 function over the course of 14 years.

8 Q. Based on your review of the Amy chart, did you
9 see any red flags in the use of opioids and Controlled
10 Substances by Amy?

11 A. I saw multiple red flags.

12 Q. And speaking of red flags, let me show you what's
13 been marked as Trial Exhibit 305.

14 And do you recognize that publication?

15 A. Yes, that's an actual list of red flags as put
16 forth by the Ohio State Medical Board.

17 Q. And when was that?

18 A. 2015.

19 Q. And did you also prepare a summary of red flags
20 with respect to the patient Amy?

21 A. Yes, I put together a separate list of red flags.
22 Because there was so many, I put together a separate list.

23 Q. And let's look at Exhibit 902.

24 Can you identify that, please?

25 A. Yes. That's the list of -- at least the way I

1 have it here, about 85, 86 red flags that I noted in review
2 of her chart.

3 Q. That's a two-page document?

4 A. Yes, two-page document.

5 Q. Or one and a half?

6 A. Yes.

7 Q. Is that part of your forensic timeline?

8 A. Yes, it is. It's what I call my red flag
9 timeline.

10 Q. Can you explain what's -- what you noted on the
11 chart, just in general?

12 A. Some of these are more critical than others, but
13 they all mount up. And basically the three columns, it's
14 fairly self explanatory. On the left we have the date
15 of -- that particular red flag was noted.

16 And in the center it's -- it's a brief
17 description of what the red flag actually is.

18 And then on the right-hand side, the Bates
19 number, is the source documentation actually in the chart
20 so one can go back and see what the original entry was that
21 led me to list it as a red flag condition.

22 Q. Could you describe for us the red print versus
23 the black print?

24 A. The red print again, as The Judge asked me a
25 little bit earlier, those are my notes. Those are

1 indications of abhorrent behaviors that I particularly
2 wanted to note when I wrote my narrative summary and
3 formulated my final opinion. So those are ones you might
4 say that are particularly -- they're egregious and point to
5 the fact that opioids are being prescribed not for a
6 legitimate medical purpose.

7 Q. And can you just point out a few of the red
8 flags? Actually let's move down and look at the next part
9 of Exhibit 902. Can you explain for us what this chart is?

10 A. Give me just two moments here?

11 Q. Actually, this is a graph.

12 A. A graph, yeah, I'm sorry, I'm just pulling up
13 here on my computer. Excuse me a moment.

14 There were two observations that I generally made
15 about the majority of the patients that I reviewed.

16 Q. Can you explain the graph in general? This is a
17 two-page graph, correct?

18 A. Yes.

19 Q. Just explain, in general, what's above the date
20 line, what's below it and the colors?

21 A. So what this graph represents is, are three
22 continuums of information. So it's all along a date line.
23 So the gray line there goes from 2003 to 2010. During this
24 time frame, I needed a way to convey various things that
25 were happening, so the top part where you see those red

1 flags or pennants up there, those are actually red flag
2 events. And you can -- and I had to keep it brief,
3 couldn't do a lot of explanation. But for instance,
4 there's, like, a no show, or a discharge from practice, or
5 an early medication request, or non-compliance. We can go
6 back into the chart and find out exactly what they means.
7 But these are red flag events that occurred with -- with
8 great frequency, so I needed to put it onto a timeline so
9 we could have a better feeling of how often abhorrent
10 behaviors were occurring.

11 On the bottom part of that gray date line are
12 little blue pennants. And those blue pennants represent
13 injections or interventions performed by Dr. Bauer. Well,
14 I'm sorry, they represent injections plus the
15 administration of steroids. Now, generally the steroids
16 were administered by way of injection as part of the
17 injection. Sometimes the steroids were administered
18 orally, but those bottom blue-colored pennants are a list
19 of steroid and whether administered orally or injections
20 that generally included steroid as part of the medication
21 injected. So the bottom part are basically steroid
22 intervention record.

23 The very bottom line there, which is greenish,
24 says MEQs 0 to 100. I didn't have, with this particular
25 computer program, the ability to actually put the MEQ, so I

1 had to ballpark it, and it will change as we look at
2 different patients. But if the MEQ range was between 0 and
3 100. It's this color. And others you'll see if it was
4 raised higher or lower, it will be shown accordingly, but
5 the bottom line there is the general morphine equivalency
6 dose range that the patient had during that time frame.

7 Q. And does this graph go into a second page?

8 A. Yes.

9 Q. Is similar information plotted on to that graph?

10 A. Yes, I just couldn't get the whole timeline on
11 one page, some took two pages, but it's the same rhythm.

12 Q. Based on your review of the Amy chart, did you
13 find any evidence that Dr. Bauer tried to ensure that Amy
14 was taking her medications as directed?

15 A. No, I did not.

16 Q. Did you see any evidence in the Amy chart that
17 urine drug screens were conducted?

18 A. As I indicated the one or two urine drug screens,
19 but over the course of 14 years, that was a woefully
20 inadequate number.

21 Q. Did you see any evidence in the Amy chart that
22 Dr. Bauer ever enforced a pill count or requested a pill
23 count?

24 A. I don't recall there were any pill counts on this
25 patient.

1 Q. There were none, or you don't recall any --

2 A. I mean, looking at my 85-point list here to be
3 sure --

4 Q. Sorry.

5 A. -- but certainly pill counts were not a large
6 part of overview of compliance. I recall there was one
7 patient that had a pill count, I don't know if she was the
8 one. But I don't -- I'm just reviewing the list here very
9 quickly. I don't see any indication that there were any
10 pill counts done.

11 Q. Did you see any evidence of early refill requests
12 by Amy?

13 A. Yes, there were multiple indications of early
14 refill requests documented in the chart.

15 Q. Did you see any evidence of pharmacy shopping by
16 Amy?

17 A. There were multiple indications of pharmacy
18 shopping by Amy.

19 Q. Based on your review of the Amy chart, were there
20 indications that she was inconsistent with taking her meds,
21 or the meds were not working?

22 A. There were multiple indications where she
23 indicated the meds were not working, and multiple pieces of
24 documentation that indicated she was noncompliant with the
25 medications.

1 Q. Did Amy ever request, based on your review of the
2 chart, being prescribed certain medications?

3 A. She, to a large extent, talked a lot about which
4 medications she wanted. There were frequent requests to
5 take this stimulant or that stimulant or this opioid or
6 that opioid.

7 Q. Who should be controlling those decisions?

8 A. Those decisions rightfully belong to the
9 physician. The physician's the captain of the ship. He
10 knows the concerns with the poly pharmacy dangers and
11 addiction dangers associated with those medications. We
12 always want to make a decision in concert with the patient,
13 but there can only be one person at the helm, and it has to
14 be the doctor.

15 Q. Did you see any indication in the Amy chart that
16 mental health medications were not working?

17 A. Yes. There were significant issues, or
18 significant indications, of mental health, had significant
19 problems. I can give you an example if you'd like.

20 Q. Yes, please.

21 A. In 2015 she was admitted to Fireland's Hospital
22 for three weeks. That's a significant period of time for
23 psychiatric-related issues due to depression, suicidal
24 thoughts. And that was right in the middle of her
25 treatment time frame with Dr. Bauer.

1 Q. Based on the patient chart, did you determine
2 that Amy had Narcolepsy?

3 A. There was a diagnosis of Narcolepsy given to Amy.
4 There was never any confirming past medical records that
5 defined how that diagnosis came about, but it was
6 historically put forth as a diagnosis.

7 Q. Is there concern about giving -- prescribing
8 opioid and Controlled Substance medications on top of a
9 diagnosis of Narcolepsy?

10 A. Yes, there is. There's a significant problem.
11 If you don't totally understand what Narcolepsy is, it's --
12 I'm going to -- I'm not going to be real precise here, but
13 for purposes of understanding, Narcolepsy is severe daytime
14 drowsiness, can't stay awake. We all do that from time to
15 time, but this is so severe that the patient will, like,
16 fall asleep, you know, on a moment's notice and can't
17 function. Obviously that becomes an issue if the
18 individual's driving or carrying out some sort of care to a
19 child or to, you know, a parent or something, but
20 Narcolepsy is quite significant. It's a very severe
21 episodic daytime drowsiness. Why are we concerned with
22 respect to pain management? Because all of our medications
23 will cause drowsiness, or cause over sedation, or cause
24 respiratory depression, so we're adding to the potential
25 clinical problem when we put a patient on opioids or

1 sedative hypnotics.

2 Q. If -- let's just say I want you to, as a
3 hypothetical, suppose that a patient said after a period of
4 time of being on opioids and then having not taken them for
5 a period of time, if they said that they thought the
6 opioids caused more pain, would that be consistent with any
7 diagnosis?

8 A. If -- yes, it would. If the patient were to
9 notice that -- to rephrase, as you just said if the patient
10 were to notice that the opioids were not only unhelpful but
11 were actually associated with increased pain, that's
12 consistent with what we talked about earlier,
13 Opioid-Induced Hyperalgesia. In other words, the opioids
14 are causing the pain now, and the way to stop that is to
15 stop the opioids to wean them down. If a patient were
16 enlightened enough to notice that, then that would be very
17 consistent in terms of reality. But it also would -- it
18 would also raise the question as to whether the pain
19 condition to begin with was really something to do with the
20 back, or whether it was more of a psychosomatic pain as a
21 result of emotional suffering of which, in the case of Amy,
22 we know there was a great deal. She had bipolar disorder,
23 had been admitted to psychiatric institutions, so there's
24 plenty of reason to anticipate that her diagnosis was more
25 psychosomatic, and that observation of Opioid-Induced

1 Hyperalgesia would tend to confirm that.

2 Q. Let's take a look at some specific documentations
3 from Amy's chart.

4 So bringing up Exhibit 401, and let's look at
5 Page 000637. So directing you to Amy's visit on May 23rd,
6 2011, did you find anything significant in terms of her
7 care?

8 A. She was complaining on that date of chronic neck
9 pain and low back pain with radiculopathy. Radiculopathy
10 is our fancy word for sciatica. It goes on to say patient
11 here for discussion of getting off the pain meds due to
12 meds for Narcolepsy battling against each other. So my
13 concern is two fold on this. One is I didn't see an
14 objective diagnosis for sciatica or radiculopathy as part
15 of her evaluation. She did have an MRI, which was normal
16 earlier, and no indication of nerve root impingement or
17 herniated disc. So diagnosis of radiculopathy was not
18 supported.

19 And the other part is she's sensing that she's on
20 pain medications that are not helpful, and that they are
21 interfering or interacting with her Narcolepsy medications.
22 We ask what are Narcolepsy medications, those are the
23 stimulants, and that goes back to that poly pharmacy
24 combination I talked to you about, when you've got opioids
25 plus stimulants, and we've got the prescription speedball

1 so she's recognizing, in her words, that something isn't
2 right.

3 Q. And then was there any indication, based on her
4 visit with Dr. Bauer, that her function had improved?

5 A. No, there was no indication showing function had
6 improved.

7 Q. Any indication that Amy's pain improved?

8 A. No. As we previously discussed, the VAS score
9 didn't change significantly over the course of 14 years.

10 Q. And based on your opinion, should Dr. Bauer have
11 continued Amy on scheduled controlled narcotic
12 prescriptions?

13 A. No, he should not have. The standard of care
14 would require that she be weaned off her medications, her
15 opioid pain medications.

16 Q. All right. Let's look at Page 189. And in
17 looking at Amy's visit with Dr. Bauer, I believe this was
18 on 4-1 of 2015, did you have any concerns about Amy's
19 treatment in that -- at that time period?

20 A. Well, she was complaining of fatigue, which one
21 would have to wonder if that was a response to the opioids
22 that she was on. The -- under the HPI, which is history of
23 present illness general neurology, there's a section there
24 that says under problem, lumbar pain, it shows a number of
25 things. She -- it indicates that she's still complaining

1 of, quote, constant low back pain, increased muscle spasms
2 many, increased paresthesia into her lower extremities, and
3 the observation that those symptoms have increased over the
4 past few months. And then it goes on to conclude that
5 she's taking Tramadol and Percocet, which are two types of
6 opioids which, again, we don't like to give opioids in poly
7 pharmacy combination. One or the other should be
8 sufficient in the majority of cases. But the point is
9 here, despite dual opioids and worsening pain over the last
10 several months, she was -- I can't read that one part, oh,
11 she hasn't been able to get out of bed for days, although
12 she states she's doing better now. All kinds of red flags
13 there about whether the treatment with Controlled
14 Substances is appropriate.

15 Q. Based on your review of the Amy chart, should Dr.
16 Bauer have continued her on scheduled Controlled Substances
17 prescriptions?

18 A. No. These mental health red flags, including
19 admission for suicidal ideas, and the fact that she was not
20 getting better, and the fact that she was taking a
21 dangerous policy pharmacy, were all indications of
22 prescriptions outside the usual course of medical practice.

23 Q. Now, during Amy's treatment, did she also receive
24 injections by Dr. Bauer?

25 A. Yes, she did.

1 Q. And let me show you Trial Exhibit 903. And would
2 you describe for us this -- this chart and how you put it
3 together and what it shows?

4 A. To the best of our ability I tried to list all
5 the injections where medications such as steroids were
6 injected because I wanted to find -- steroids or, excuse
7 me, the injections were so frequent that I had a concern
8 that there were probably excessive steroids being
9 administered. So as part of this list I also put down oral
10 Prednisone or oral steroids, because I was trying to get to
11 not only how many and what type of injections were being
12 done, but how much steroid was being presented. And to a
13 certain extent is color coded, there's a light blue there,
14 that is the oral Prednisone, the gray that are epidural
15 injections. That's where it says TFESI, which stands for
16 transforaminal epidural steroids injections, and other
17 injections, like trigger points or other injections, I
18 think there's a host of them there. But this is a list of
19 injections plus steroids.

20 And over on the right-hand side there you see a
21 column entitled cumulative Medrol equivalent, and that's
22 one of the columns I want you to pay particular attention
23 to because that's, to the best of our ability -- sometimes
24 the documentation wasn't the best in the chart -- but to
25 the best of our ability to estimate how much steroid was

1 given. It was compiled in a cumulative fashion, such as on
2 that last date of 7-21-17 she had received a total of
3 2,070 milligrams of Medrol, which is a steroid equivalent.

4 Q. And if you page down on this exhibit, can you
5 describe this graph please?

6 A. This is a summation, in a graphical form, about
7 how much steroid she received and when she received it.

8 Also at the bottom there I've boxed, again, the
9 approximate number of injections she had that contributed
10 to this graph. As an example, where it says LESI that's
11 lumbar epidural steroid injection, she had 11 epidural
12 steroid injections. She had 12 trigger point injections,
13 and so on across there. And then again, to the best of my
14 ability, the amount of steroid accumulatively is listed
15 above in the graph.

16 Q. Dr. Bauer, we really -- Dr. King, we haven't
17 talked much about injections. Would you explain for us the
18 different injections that are common in pain management
19 practices?

20 A. As an anesthesiologist, I was trained in all
21 kinds of injections, and indeed I've continued to be
22 fascicle on them and teach them as the years have gone on.
23 So a complex topic, but let me -- let me give you a few
24 bullet points.

25 First of all, the injections, for the most part,

1 are what we call therapeutic. That is to say we're putting
2 medicine into a specific spot to try to reduce the
3 inflammation, to reduce the pain. So if we use steroids,
4 which is our main medication that we inject, it's with the
5 thought that something is inflamed, maybe a nerve root is
6 impinged like a herniated disk. So the idea is reduce that
7 inflammation, reduce the pressure on the nerve, reduce the
8 pain that's associated with that. So most of the
9 injections, with some exceptions, are treating inflammatory
10 contributions to the pain. There's no inflammatory
11 contribution to the pain, there's really no reason to use
12 steroid injections at all, which unfortunately was the case
13 a lot of time here. There were no indications of any
14 inflammatory conditions, but the steroids were still used
15 excessively and to the patient's detriment.

16 The types of injections, the one you've heard of
17 most commonly is an epidural-type injection, you've heard
18 me refer to it as TFESI, which is a transforaminal epidural
19 injection. We can give epidural injections by several
20 routes. We can put the needle in different locations to
21 try to get it into the epidural space. All the injections
22 performed of an epidural manner by Dr. Bauer were listed as
23 transforaminal epidurals. That's an injection that
24 requires a great deal of skill because the needle doesn't
25 go straight into the back of the spine into the epidural

1 space like you would do, say, if you were giving an
2 anesthetic for child birth. Instead this goes in along the
3 side. Transforaminal epidural you have about a 6-inch
4 needle that has a bend in it, and the reason it has a bend
5 at the tip so you can steer it. You come in on the side of
6 the back under x-ray guidance because it's imperative to
7 know where the needle's going. And you basically steer
8 that needle into the spine, around the corner, and tuck it
9 into the side of the spine where the individual nerves are
10 coming out kind of like Christmas tree branches. So it's a
11 very delicate procedure that has to be done under x-ray
12 guidance. It's a lengthy needle, and the needle has to be
13 placed right adjacent to the nerve or sciatic nerve
14 immediately as it leaves the spinal cord.

15 So these were the types of epidural injections,
16 transforaminal injections that were done. We have other
17 injections, trigger point injections, media nerve
18 injections, Sacroiliac injection, trochanteric bursa
19 injections, brachial plexus injections, we'd be here all
20 day on those, but epidurals -- but the take on this is
21 they're all in various areas of the body, and they're meant
22 to treat inflammatory conditions.

23 Q. What are trigger point injections?

24 A. Trigger point injections were the second most
25 commonly performed injection by Dr. Bauer. Trigger points,

1 you've all heard of those, but medically I need to tell you
2 what a trigger point is. A trigger point is like a marble
3 in the muscle, and it's very easily palpable, and it's
4 usually secondary to a trauma, maybe got hit there, or
5 maybe went out back and dug a bunch of holes for some new
6 trees you were planting in your back yard, and you overused
7 the muscle. So it's overuse stress or trauma injury to a
8 particular muscle, the supraspinatus, one of the
9 paracervical muscles, the trapezius muscle, the deltoid
10 muscle, it is a specific muscle where this abnormality
11 occurs that can be palpated that is associated with a
12 region of pain described by the patient. The pain can be
13 reproduced by pushing on that nodule, and that nodule can
14 be tweaked. It's sometimes called a taut -- taut -- a taut
15 string, and you can kind of pluck it like you would a
16 guitar, and it will send shooting pains down into the area
17 where the patient has pain. But the key is it's in a
18 particular muscle, it has a defined radiating pattern, it's
19 easily palpable, and it reproduces the patient's pain. And
20 we've had trigger points forever. And the problem occurs
21 is that everybody says, oh, trigger point, well, that's a
22 sore spot; no, it's not a sore spot, it's a very specific
23 sore spot, has to do with muscle injury and transmission of
24 pain. Well, it's just because I've got sore muscles, no,
25 it's not just because you've got sore muscles, it isn't

1 fibromyalgia, it isn't sore muscles, it isn't tender
2 points, it's a trigger point. You can trigger the pain in
3 a specific and predictable manner by tweaking that thing
4 and you can identify, and standard of care requires you do
5 identify, which muscle it's in.

6 Q. And you can divide it between trigger and
7 epidural by answering this question, but tells us, in terms
8 of pain management how often these injections should be
9 given if you're following standard of care that's
10 established for those injections.

11 A. Okay. Again, that's a long discussion so I'm
12 going to be very summary about it.

13 Q. Yes.

14 A. So trigger points are generally identified,
15 again, because of muscle trauma, so if I opt, or a pain
16 management doctor opts to inject the trigger point, saline
17 or salt water in there, maybe a little local anesthetic or
18 maybe some steroid, which is what happened here a lot, you
19 don't need steroid. It's not been proven to be a necessary
20 component of what you inject. But if you needed the
21 trigger point, it has to be done in conjunction with, like,
22 physical therapy and stretching and massage.

23 So trigger points injections will help break the
24 cycle, but in and of themselves they're not a complete
25 treatment. So the insurance companies, the standard of

1 care for various guidelines that are published is that
2 maybe three, four, five trigger Point Injections would be
3 more than adequate to break the cycle, and then introduce
4 the patient into a physical therapy environment where they
5 would continue to work and stretch and address those
6 trigger points. So maybe four, to pick a number of the
7 trigger points, after an inciting event let's say, because
8 it's not thought to be a -- a long term chronic problem.
9 It's an acute injury to muscle.

10 With regard to the epidural steroids injections,
11 we -- we worry about the -- we worry about two things that
12 determine the frequency. You've all heard about, like, a
13 series of three epidural injections. Unfortunately that's
14 nonsense, and that's not standard of care, but for various
15 reasons that's sort of come into popularity over the
16 decades. The thing about epidural, you do one and then you
17 show that there's improvement. By the way, you have to do
18 that with trigger points, you have to do one and show
19 there's a sustained improvement. And if the epidural
20 injection shows sustained improvement, maybe the patient
21 gets a reasonable improvement for two weeks, then that's a
22 foundation to do a second one. You don't do just three in
23 a row, or four in a row, or five in a row. You do one,
24 show some improvement, do a second one, hope to get some
25 more improvement, and you can do that up to about three

1 times over the course of, lets say, six months. And then
2 you kind of have to sit tight because at that point there
3 begins to be an accumulation of steroid that has its own
4 detrimental effects. You can repeat another series of
5 maybe one, two or three, so a total of maybe four, five or
6 six epidurals a year if you're showing that there's
7 improvement. But the concern is accumulation of steroid,
8 as well as mechanical risk because you're putting a long
9 needle into vital location.

10 Q. Tell us some of the risks associated with
11 epidural injections.

12 A. I'll talk about steroids separately, but with
13 regard to the actual mechanical epidural, the reason it's
14 done under x-ray is because there's a lot of things in the
15 spine that you need to be aware of and don't want to put a
16 needle into. So the needle can go into a nerve, and that
17 can be devastating and induce a neuropathic pain syndrome
18 that's horrible and difficult to control. So you can
19 pierce a nerve. You can pierce the spinal cord, which is
20 very dangerous and can cause infarction or death of the
21 spinal cord if something is injected that then kills the
22 area of the spinal cord you're injecting. You can put the
23 needle subarachnoid or the spinal space or spinal fluid.
24 Neurologists do that frequently for diagnostic purposes,
25 but you don't want to do it inadvertently because you have

1 to deal with spinal headaches and putting local anesthetics
2 in there or steroids in there that might cause their own
3 problems. So that's an issue.

4 One of the things that we are concerned about to
5 a great deal is perhaps putting a needle into an artery or
6 into a vein, and then injecting the medication. And the
7 technique we were talking about here, the transforaminal
8 epidural injections, there's a little artery that comes out
9 that accompanies that nerve as it exits the spine. And if
10 we were to put a needle into the artery which feeds back to
11 the spinal cord, that -- that steroid, or that local
12 anesthetic, might infarct the spinal cord and cause
13 permanent paralysis.

14 So there are a number of -- that's why we do it
15 under x-ray, by the way, that's why we use x-ray dye, x-ray
16 dye is required based on standard of care. You put a
17 little dye in, and under live x-ray, can control, see if
18 you're in a vein, see if you're in an artery, see if you're
19 in the spinal space. You'll know if you're in a nerve,
20 patient would be jumping off the table. But the point is
21 we always do it under x-ray guidance with x-ray contrast so
22 we can be sure we're in the safe spot and putting the
23 medication into a safe spot not infarcting the cord or
24 causing permanent damage. There are more but those are the
25 big ones.

1 Q. And any concern with the steroid itself?

2 A. The steroid itself we have to be aware of. You
3 may not be quite so aware of the potential problems
4 associated with steroids, you're aware of the problems
5 associated with opioids and Controlled Substances for sure,
6 but lesser known is the problem associated with steroids.
7 A few steroids every now and then are not likely to cause a
8 problem. But -- but with excessive steroid use you start
9 getting at one end of the spectrum, weight gain, sugar
10 imbalance, sodium and water imbalance. If a person has
11 diabetes and I give them the steroid injection as part of
12 an epidural, I will disrupt their insulin and their sugar
13 control for a couple days, and we have to talk to him about
14 that. If you're taking it on a chronic basis, you're going
15 to disrupt their diabetic sugar control over the long-term,
16 and you have to be aware of that and talk with their
17 internal medical doctor to account with what you're going
18 to do with the steroid.

19 Steroids cause insomnia. If they're used on a
20 chronic basis, they will cause sleep disruption, that's
21 quite significant. And here's a key point, if you use
22 steroids on a high dose chronic basis, it will cause all
23 kinds of mental health issues, not dissimilar to a lot of
24 the ones we talked about. It will cause psychosis. You
25 heard maybe of steroid psychosis or roid rage. It can

1 cause rage issues and change the personality. And these
2 are real, on a chronic basis these things will happen. It
3 can cause, in and of itself, worsening depression and
4 anxiety and, as I said, personality changes. These are
5 things that the steroids cause, we have to be aware of
6 that.

7 The steroids reduce the immune response to the
8 patient's more likely to be susceptible to infection and
9 healing, which is a devastating issue if the patient has
10 problems with their sugar control and diabetes. But
11 perhaps the worst thing, and the thing I was concerned
12 about the most in reviewing Dr. Bauer's cases here, is what
13 we call adrenal suppression. You understand that when we
14 give opioids, people can become tolerant to them, and if we
15 keep pushing the opioids, we can cause them to stop
16 breathing and die and become addicted.

17 In a similar vein, if we give steroids, they're
18 not going to -- well, if we give too many steroids we will
19 suppress the body's normal production of steroid from the
20 adrenal gland, and then the patient will become dependent
21 on the provider to fill in the blank. In other words, the
22 adrenal gland will be suppressed so the body's depending on
23 steroids that are injected or taken orally because we have
24 to have steroids. We have to have steroids. Steroids are
25 our stress response -- if we don't have the ability to

1 moderate our steroid dose internally with our adrenal
2 glands, if they've been suppressed because of too many
3 injections, then we can get into cardiovascular stability
4 problems. We can die if there's a sudden stress and we're
5 unable to bring forth the steroids necessary to address the
6 stress in life, whether it's emotional, whether it's
7 physical. So it's the steroid suppression that we get
8 concerned about, and this is not theoretical. This is --
9 this is very real. The dependency on the steroid is such
10 that if you'll be given a lot of steroids for a pain
11 condition and you haven't had them for awhile and you're
12 steroid depressed, your pain's going to get worse. You'll
13 think my pain's coming back, I've got to get back to the
14 doctor. Or maybe it's because you've been steroid
15 suppressed and you need more steroids because that will
16 cause pain too to come forth. And maybe it's the low
17 steroids that bring you back to the doctor, which I think
18 was the case here in a lot of these instances. So there
19 was a dependency that developed on the steroids just like
20 there's a dependency that develops with opioids.

21 Q. Was Amy, based on her chart, also prescribed oral
22 steroids?

23 A. Yes, she was.

24 Q. And based on your chart review, were the
25 injections that Dr. Bauer administered to Amy administered

1 with a legitimate medical purpose and within the course of
2 professional medical practice?

3 A. I don't think the steroids or the injections were
4 performed with medical necessity. And, again, I'm not sure
5 how you want me to phrase this, but I don't think the --
6 there was a legitimate medical purpose for the use of the
7 steroids. I don't think there was a legitimate medical
8 purpose for the use of the injections. I think that the
9 steroids and the injections were used outside the usual
10 course of medical practice.

11 Q. Getting back to --

12 A. I'm sorry, can I add one sentence to that?

13 Q. Yes.

14 A. I should put a period on that by saying part of
15 the reason I believe that, the majority of the reason I
16 believe that is because there was no indication of
17 improvement.

18 Q. Yes, I was going to ask you that question. Thank
19 you for reminding me.

20 If a patient indicated that an injection did not
21 improve their pain, would a doctor continue -- who
22 continued to -- to administer the injection be
23 administering it with medical necessity?

24 A. They would not, and you're correct in the way you
25 say that. Much like the opioids where we do a trial and

1 see if they improve in pain and function, we do the same
2 thing with injections and with steroids. And if they don't
3 improve, and, again, most people feel better if I give them
4 a little opioids right off the bat. That's not what we're
5 looking for. Most people feel better if I give them
6 cortisone right off the bat. That's not what we're looking
7 for, we're looking for sustained improvement. Not just two
8 weeks, I'm looking over the course of six months, are they
9 improving, did my injection -- or did my opioid really
10 allow them to improve their function and make them feel
11 better for months at a time. So there has to be a
12 sustained improvement to help them -- well, to there -- to
13 define medical necessity. There's no improvement, then
14 medical necessity is not proved, and a continued use of
15 steroids or injections or opioids, for that matter, are
16 outside the usual course of medical practice.

17 Q. And what type of things would you look at in
18 terms of improved function, the ability to hold a job?

19 A. Right. Well, again these things would be
20 discussed with the patient prior to starting the
21 injections, prior to starting the medications, and it would
22 be negotiations, maybe it's -- maybe it's go back to work,
23 that would be great. Maybe it's I can't walk right now
24 more than about 10 feet. Maybe you can walk around the
25 block, maybe that's a reasonable goal, or maybe you can go

1 out to the garden. A lot of our -- who have raised chronic
2 pain, arthritic issues, rheumatoid, so we put together a
3 meaningful set of treatment goals based on the individual
4 and what they can do. It has to be meaningful. It has to
5 be measurable, and has to be sustained.

6 Q. Okay. Let's look at Exhibit 501.

7 Is this a prescription?

8 A. Yes.

9 Q. And it's written -- and I'll just have you, after
10 we identify the first one, if you can just quickly identify
11 each one, just tell us it's a prescription for what, issued
12 to who, on what day by whom?

13 A. This is a prescription for Adderall, which is
14 Amphetamine prescribed to Amy on July 21st, 2017.

15 Q. By?

16 A. By Dr. Bauer.

17 Q. And Exhibit 502?

18 A. This is a prescription for Adderall, which is
19 Amphetamine, prescribed to Amy by Dr. Bauer on July 21st,
20 2017.

21 Q. And then let's look at Exhibit 503, a chart note,
22 Page 6.

23 Is there an Adderall -- another Adderall
24 prescription that is reflected that it was written on
25 7-21-17 to Amy by Dr. Bauer?

1 A. I reviewed this in the past, and yes, there is --
2 I'm at an awkward angle here, and I'm having trouble
3 reading it. So this is 7-21-17.

4 Q. It's a little blurry, I'm sorry.

5 A. That's helpful. Thank you. So there's
6 Adderall -- let me get the date on this.

7 Right, so there's Adderall near the top there.
8 That's prescribed on 7-21-17. And then there's Adderall
9 prescribed on 7-21-17, and then there's Adderall prescribed
10 on 7-22-17.

11 Q. Now, explain to us when a prescription is
12 written, are they ever, and why would they be, like, post
13 dated?

14 A. Controlled Substances cannot be renewed in
15 general for obvious reasons, for safety reasons. So if I
16 have a patient, or if we have a patient who we want to
17 provide a three-month subscription -- prescription to, I
18 will give them, perhaps, three prescriptions. One will be
19 for the current day that I'm examining the patient.

20 The second one would be post dated, not to be
21 filled until a month from now.

22 And then the other one will be post dated with
23 the -- with the date that says not to be filled until two
24 months from now. And that's legitimate, and that's fair,
25 and that's okay. And that keeps our patients from having

1 to run back and forth all the time. As long as, as a
2 physician, we are sure that the patient is stable and is
3 taking the medications in a compliant fashion. So that
4 would be the way that we would predate and don't fill until
5 on the various prescriptions.

6 Q. If you had a concern about a patient displaying
7 red flags, would you prescribe in that manner?

8 A. No, no. If -- if the patient were not stable,
9 first of all, I wouldn't wait until three months to see
10 them. I might do it on a monthly basis, or two-weeks
11 basis. Some of these prescriptions we just looked at
12 looked like certainly the do not fill was very similar
13 dates, it was not even a week out, so they look to be
14 duplicate -- not duplicative prescriptions, for excessive
15 medication.

16 Q. Let's look at trial Exhibit 504.
17 What is this?

18 A. This is a prescription for Percocet 10-milligram
19 prescribed to Amy on July 21, 2017 by Dr. Bauer.

20 Q. And Exhibit 505?

21 A. This is a prescription issued on July 21st, 2017,
22 again, for Percocet to Amy by Dr. Bauer.

23 Q. And finally, Exhibit 506?

24 A. And this is a third prescription for the same
25 date July 21, 2017 for Tramadol to Amy by Dr. Bauer.

1 Q. Dr. King, based on your review, were the three
2 Adderall prescriptions Dr. Bauer wrote for Amy
3 July 21st 2017 dispensed for legitimate medical purpose and
4 within the course of legitimate medical practice?

5 A. They were not .

6 Q. Speaking of dispense, we were just looking at a
7 chart note. At what point is a prescription dispensed?

8 A. A -- well, a prescription is issued in the manner
9 that we just spoke of, and it is dispensed when the
10 prescription's taken to the pharmacy, and the patient
11 actually receives the physical pills.

12 Q. Dr. King, were the two Percocet prescriptions Dr.
13 Bauer wrote for Amy on July 21, 2017, dispensed with a
14 legitimate medical purpose and within the course of
15 professional medical practice?

16 A. They were not.

17 Q. Dr. King, was the Ultram that Dr. Bauer
18 prescribed to Amy on July 21st, 2017 dispensed with a
19 legitimate medical purpose and within the course of
20 professional medical practice?

21 A. It was not.

22 Q. And would you tell us why these prescriptions for
23 Controlled Substances were not medically necessary and
24 outside the course of professional medical practice?

25 A. The medications had been prescribed for many

1 years, and it demonstrated no improvement in the patient
2 with regard to pain control, or with regard to improvement
3 in function. Therefore, they serve no legitimate medical
4 purpose.

5 Secondarily, there were multiple abhorrent
6 behaviors demonstrated by the patient that suggested
7 medication was being abused and diverted. In other words,
8 indications that the patient was addicted. These were very
9 evident, they were very obvious and occurred over a long
10 period of time, along with the fact that a very dangerous
11 and addictive combination of poly pharmacy, namely either
12 dual opioids or opioid plus Benzodiazepine, or prescription
13 speedball, those combinations were prescribed to someone
14 who a prudent practitioner would have looked at and made
15 the diagnosis of probable addiction.

16 So in combination with no improvement over many
17 years, there was never established a legitimate medical
18 purpose for the use of the medicines. If there's not a
19 legitimate medical purpose for the use of medications, but
20 yet they were still prescribed, then by definition those
21 medications were prescribed outside the usual course of
22 medical practice.

23 THE COURT: Is this a good place to break?

24 MS. DUSTIN: Yes, Your Honor, it is.

25 THE COURT: Ladies and gentlemen, afternoon break

1 time. Let's shoot for 15 to 20 after.

2 Remember all the rules. We're in recess.

3 (Jury excused.)

4 THE COURT: The jury has left, and we're here in
5 the courtroom with counsel. I appreciate that the
6 government needs to have this key witness give adequate
7 reasons and explanation for conclusions; however, I note
8 that there is a great deal of repetition. And as I observe
9 the jury, I think it's to the benefit of all concerned, and
10 I'll treat all such experts this way, that the repetition
11 be minimized. And counsel, it is up to you to please
12 exercise control and indicate to your witnesses when enough
13 is enough. And that's all I'm going to say. Thank you.

14 (A brief recess was taken.)

15 THE COURT: Counsel, you may continue with your
16 exam.

17 MS. DUSTIN: Thank you.

18 BY MS. DUSTIN:

19 Q. Let's move on to another patient, Dr. King.

20 Directing your attention to Melody. Did you
21 review Dr. Bauer's patient chart for Melody?

22 A. I did, yes.

23 Q. Based on your review of the Melody chart, did Dr.
24 Bauer follow the standard of care for prescribing
25 Controlled Substances?

1 A. No, he did not.

2 Q. Based on your review of the Melody chart, did Dr.
3 Bauer write prescriptions for Controlled Substances with a
4 legitimate medical purpose and within the course of
5 professional medical practice?

6 A. They were not.

7 Q. Can you please describe for us Melody?

8 A. Melody was a woman -- a young woman, 48 years old
9 at time of her death. She been under care with Dr. Bauer
10 for about seven years, which meant she was about 41 years
11 old on the initial visit. She was unemployed. She
12 complained of headaches and hip pain, rather vague pains.

13 She was -- she had a history of a closed head
14 injury, she was -- she was treated for -- she also had
15 significant mental health co-morbidities, including bipolar
16 disorder, extreme depression with suicidal ideations,
17 anxiety. She had a history of three previous suicide
18 attempts. She had a preadolescent -- well, preadolescent
19 child abuse. She had PTSD, and was diagnosed with mixed
20 personality traits.

21 She also had significant physical co-morbidities.
22 She was obese. She was morbidly obese. She weighed
23 330 pounds with a body mass index of 53, which is quite
24 high.

25 She also had obstructive sleep apnea, diabetes

1 and complaints of multiple falls and had ER visits.
2 Sometimes it related to those falls and head injury. She
3 had no urine drug screens documented over the course of her
4 seven years of care. She was maintained on Norco,
5 Methadone, Ambien, Xanax, Valium or Diazepam or Flexeril.

6 That's sort of foundational. I can go -- well,
7 I'll stop there.

8 Q. Did you prepare a forensic timeline to summarize
9 Melody's chart?

10 A. I did.

11 Q. Exhibit 928, please.

12 Is that the forensic timeline --

13 A. Yes.

14 Q. -- for Melody?

15 A. I'm sorry, yes.

16 Q. And based on your review of Melody's chart, can
17 you give us an overview as her -- as a patient being
18 treated with opioids and Controlled Substances for the
19 long-term management of pain?

20 A. She was inappropriately treated with opioids over
21 the seven years. She demonstrated no improvement in her
22 VAS pain score. She demonstrated no improvement in
23 function. Functional treatment goals were neither
24 established, nor talked about in the course of her seven
25 years of care. Over the course of time, she continued to

1 complain of pain, despite being treated with the
2 medications that I referenced earlier. And despite having
3 multiple injections of various types, primarily
4 epidural-type injections as well as some others. She
5 frequently complained of -- of pain that -- well, there
6 were times when she overtook her medications, when she was
7 early out of Norco and expressed concerns about, quote,
8 cannot tolerate pain.

9 Q. And did you prepare a forensic summary of your
10 findings regarding Melody?

11 A. I did.

12 Q. And Exhibit 945?

13 With respect to the row attributed with Melody,
14 based on your row of Melody's chart, did Dr. Bauer's
15 adequately diagnose Melody's medical issues?

16 A. He did not. Of the 14 standard of care columns
17 that we've previously described, he did not fulfill the
18 standard of care in any of them.

19 Q. Did he conduct a targeted physical exam of
20 Melody?

21 A. He did not.

22 Q. Did he perform a clinical workup?

23 A. He did not.

24 Q. Based on your review of the Melody chart, did he
25 adequately -- I'm sorry, I meant to ask you, Melody had a

1 head injury. Isn't that enough to support a diagnosis of
2 pain?

3 A. Well, she had a head injury, and she complained
4 of headaches, so the two could be certainly combined. But
5 headaches are not, as we've talked about earlier, a
6 generally acceptable diagnosis for the use of chronic
7 opioids.

8 Q. And she also complained of lower back pain and
9 hip pain. With having sustained a fall, would that not be
10 enough to support a diagnosis?

11 A. No. There was no indication that she had
12 anything that was broken or any nerve root compression or
13 displacement or instability. The American Academy of
14 Neurology also has said that migraine headaches and diffuse
15 back pain and fibromyalgia are not acceptable diagnosis for
16 the use of chronic opioids.

17 Q. Did you see any evidence of diagnostic tests
18 being ordered over the years?

19 A. No.

20 Q. Based on your review of Melody's chart, did Dr.
21 Bauer adequately assess the risks of prescribing Controlled
22 Substances to Melody?

23 A. He did not.

24 Q. Why not?

25 A. He, first of all, didn't take into consideration

1 the mental health co-morbidities which, as I indicated,
2 included child abuse, PTSD, and multiple suicide attempts.
3 Those three abhorrent behaviors or historical events would
4 be, in and of themselves, enough to not pursue chronic
5 opioid therapy.

6 Q. Did Melody also have medical co-morbidities?

7 A. She had medical co-morbidities to include morbid
8 obesity, obstructive sleep apnea, diabetes and a history of
9 multiple falls.

10 Q. Was there any written treatment plan in Melody's
11 chart?

12 A. It was not defined. There was not a defined
13 treatment plan. It was the same sort of repetitive default
14 that I saw in review of all the charts, which involved
15 opioids, poly pharmacy, excessive injections, and excessive
16 steroid use.

17 Q. Were high risk drugs prescribed to Melody?

18 A. Yes.

19 Q. What type of high risk drugs?

20 A. She was placed on a combination, at one point, of
21 Methadone plus Norco, plus Nuvigil, which is a stimulant,
22 plus additional medications called Trileptal and Flexeril.
23 So she was on, at least at that point, on five poly
24 pharmacy drugs, which would be expected to interact in an
25 adverse manner.

1 At another point she was on a combination of
2 Methadone and Oxycodone and was receiving two
3 Benzodiazepines, Xanax and Valium, albeit from a different
4 provider, but again, that -- that very dangerous and
5 addictive combination of multiple opioids plus multiple
6 Benzodiazepines.

7 Q. What range of morphine equivalence were
8 prescribed to Melody during her treatment with Dr. Bauer?

9 A. Initially in 2008, on the initial visit with Dr.
10 Bauer, she was on some -- on a very low dose, somewhere
11 around 20 morphine equivalents. Over the course of the
12 seven years she was treated, she was sequentially
13 escalated, the morphine equivalents escalated, the
14 additional narcotics added, and her final MEQ -- well, she
15 got to a high 307, 307. And the last calculation I did was
16 280.

17 Q. And did you note of any concern with respect to
18 her co-morbidities combined with any of the medications
19 that she was being prescribed?

20 A. I have a particular concern with the fact that
21 she was on Methadone. Methadone is a unique medication
22 that, unfortunately, is highly associated with overdose.
23 And the reason it is, is because Methadone works
24 differently in different people. It has different half
25 lives. So it doesn't wear off or create tolerance in the

1 same manner that her other ones do, so it's unpredictable.
2 So we have to go extremely slow with Methadone, and the
3 general recommendation is that providers avoid Methadone
4 unless they've had significant experience with it in the
5 past. It's a dangerous and unpredictable drug. In and of
6 itself it's bad enough, but particularly when combined with
7 Benzodiazepines or additional sedatives, which it was in
8 her, creates a very potentially dangerous situation.

9 Q. Did you also note from Amy's chart -- I'm sorry,
10 Melody's chart, any respiratory issues she had?

11 A. She was a smoker. Beyond that, I don't have
12 any -- there were no observations to talk about any past
13 medical issues with regard to pulmonary or -- or lung
14 problems. She did have a, as I say, extreme obesity.
15 That's a significant risk factor that's worth at least
16 mentioning once again. Methadone absorbs into the fatty
17 tissues into the body, which is part of why that's
18 unpredictable. We don't know how that's released, that's a
19 depot of the end medication. But the fact that she was
20 morbidly obese and then had Methadone on top of that was
21 a -- was a concern.

22 Q. Did you glean from the chart, Melody's chart,
23 that she used a Cpap at night?

24 A. Yes, she had by BiPAP, Cpap. Yes.

25 Q. And was that of concern with the prescription of

1 opioids?

2 A. Well, it is, because as we talked if -- if the --
3 if the patient is not consistent in their use of the Cpap,
4 and let's say they don't take it one night, and they are
5 prone to respiratory distress anyway, then the opioids on
6 top of that might be enough to put them over the top to
7 die, to stop breathing all together. If patients are
8 adequately and appropriately treated with Cpap, and if
9 opioids are thought to be appropriate, there's a very
10 careful discussion with the patient that don't you not use
11 you're Cpap because the medication, especially in the
12 dosage range we're talking about here, would be enough to
13 cause respiratory depression and death.

14 Q. And you indicated there was another doctor
15 prescribing simultaneously?

16 A. Correct.

17 Q. Which -- which prescriptions were being
18 prescribed by the other doctor?

19 A. The other doctor was prescribing the combination
20 of Xanax and Valium.

21 Q. And for the treatment of what?

22 A. Well, I don't know. We didn't have the past
23 medical records or the current medical records, and
24 actually there's a third medicine that was being
25 prescribed, another provider, which was Ambien, so the

1 patient was on three sedative hypnotics.

2 Q. Was any of those combinations of concern?

3 A. Well, they are, yes. Again, this would be
4 evident on OARRS, and Dr. Bauer should have, and if he had
5 looked at the OARRS, seen that these medications were
6 co-prescribed, and that would have required immediate
7 addressing because of the dangerous poly pharmacy
8 combination they're in.

9 Q. Did you see any evidence from the patient chart
10 that Dr. Bauer checked OARRS on the Melody -- for Melody?

11 A. I did not.

12 Q. Did Dr. Bauer administer any procedures to
13 Melody?

14 A. Yes.

15 Q. Let's look at Exhibit 930.

16 And what is that?

17 A. That's a list of the procedures and oral Medrol
18 that was presented to Melody during the time frame of
19 treatment, and that's the X, Y graph showing the cumulative
20 dose that she was administered over the course of time.

21 Q. And how many injections were administered to
22 Melody by Dr. Bauer during his treatment of her?

23 A. Well, that's at the bottom. I don't have a
24 total, but 26 epidural injections, quite a number. Nine
25 trigger points, eight trochanteric bursa injections, and

1 three doses of oral Prednisone.

2 Q. And what was the cumulative equivalence of the
3 steroids?

4 A. Yeah, the cumulative Medrol equivalent was just
5 over 2,000-milligrams. We might put that in context in
6 terms of what might be expected as a normal equivalent.

7 Q. What is expected as a normal, and does it matter
8 if it's a male or female?

9 A. It does matter for a little bit, but for purposes
10 of our discussion, we typically start to see prolonged
11 adrenal suppression at a dose of somewhere around 2- to
12 300 morphine equivalents per year. And, again, this is
13 over 2,000. So we would expect this adrenal suppression
14 and all the other adrenal -- or sorry, steroid potential
15 side effects that I talked about earlier might be present
16 and would be of concern in this patient.

17 Q. And based on your review of the Melody chart,
18 were the injections that Dr. Bauer gave to Melody
19 administered with a legitimate medical purpose?

20 A. No. There was no indication that the injections
21 or the steroids demonstrated any improvement in the VAS
22 pain score or any improvement in function. Therefore, they
23 were without medical necessity, and did not -- and were
24 outside the usual course of medical practice.

25 Q. Tell us about the VA -- VAS pain score that

1 Melody -- that reflected Melody's treatment with Dr. Bauer.

2 A. On the initial visit where -- it wasn't the
3 initial visit, but because there was no VAS pain score
4 documented for the first year visit, the first document
5 is -- we have is ten out of ten, whereas she was expressing
6 depression and was crying and expressed suicide ideations,
7 feels like she wants to hurt herself. On that visit she
8 was rating her pain ten out of ten.

9 As the years went on, her pain was mostly six,
10 seven or eight out of ten over the course of most of the
11 seven years. And on the final visit before her death, her
12 VAS score was continuing to be somewhere around seven,
13 eight, or in one case nine out of ten. In other words, it
14 had not changed substantially.

15 Q. Does that support the long-term prescription of
16 Controlled Substances?

17 A. It does not.

18 Q. And did you -- let's show you Exhibit 902.

19 And is that the red flag summary and timeline?

20 A. It is, yes.

21 Q. 929, sorry.

22 THE COURT: We are looking at Exhibit 929?

23 MS. DUSTIN: 929, yes.

24 BY MS. DUSTIN:

25 Q. And would you explain to the jury if you had seen

1 any -- any red flags during the course of Melody's
2 treatment by Dr. Bauer?

3 A. Well, these are multiple red flags. One of them,
4 most significant which was she had multiple falls, and some
5 of those falls took her to the emergency room. We know
6 that high MEQs, and she was on high MEQs, are associated
7 with multiple falls and fractures. So a reasonably prudent
8 practitioner would recognize that potential association,
9 but, additionally, she's got a history of suicidal
10 thoughts, and fact that many of the medications make her
11 too sleepy were not helpful. She was requesting higher
12 doses. She was overtaking some -- or at least out of
13 medications for her bipolar meds and was overtaking the
14 Norco. So we have here a situation where not only the
15 medication's not working, but there's a significant number
16 of abhorrent activities, abhorrent events that were
17 occurring that any couple of which should have been enough
18 to cause a physician to reconsider the ongoing use of high
19 MEQ poly pharmacy.

20 Q. Did you find any evidence from Melody's chart
21 that Dr. Bauer tried to ensure that Melody was taking her
22 medication as directed?

23 A. No.

24 Q. Did you see any evidence in the Melody chart that
25 urine drug screens were conducted?

1 A. There were no urine drug screens documented over
2 the course of the seven years.

3 Q. Did you see any evidence that Dr. Bauer ever did
4 a pill count with respect to Melody?

5 A. There was no evidence that any pill counts were
6 performed.

7 Q. Did you see in the Melody chart early refills?

8 A. There were incidents of early refills, yes.

9 Q. Did you see any drug risk behaviors in the Melody
10 chart?

11 A. There drug risk behavior in the sense there were
12 early refills, there were incidents of suicidal ideations
13 and -- and these things tend towards defining of her pain
14 as more psychosomatic or related to mental health, so yes,
15 they were of concern.

16 Q. Did see any evidence in the Melody chart that
17 Melody's function improved during her treatment with --

18 A. No.

19 Q. -- opioids and Controlled Substances by Dr.
20 Bauer?

21 A. I'm sorry, there were no indication of
22 improvement and function.

23 Q. And did you note on your red flag list that at
24 one point she was fired from a job for falling asleep?

25 A. Yes. There was indeed late in her treatment

1 regimen, but in 2013, yes, she was falling asleep at her
2 job, and apparently was falling asleep while driving is
3 what the note said as well. So yes, that's quite
4 significant.

5 Q. Let's look at some specific events in the Melody
6 chart.

7 Looking at Exhibit 410, Page 299. And this is
8 dated 12-3-2012.

9 A. This is, again, a medical note for Melody as put
10 forth by Dr. Bauer, and it indicates it's actually a phone
11 call.

12 Q. Phone call?

13 A. Phone call. And it indicates that she has,
14 quote, re-injured her back; feet, ankles and calfs are
15 adenomyosis and bluish in color with excruciating pain, and
16 she was requesting a sooner appointment than 12-7-12. She
17 indicates she had been taking six to seven pain pills per
18 day, which was approaching twice as many as what she was
19 prescribed. And she thus indicated she would be running
20 out early, but could not tolerate the pain and needed to be
21 seen.

22 Q. Is that in -- is that in opposite to the standard
23 of care?

24 A. Well, it's an indication that she's not able to
25 control her pain with the medications being prescribed.

1 Indeed there's no indication throughout seven years that
2 she controlled her pain with opioids, despite escalating
3 high doses, so this would be consistent with the fact that
4 there was probably an emotional or mental health type
5 crisis that was driving the pain and -- and causing her to
6 overtake her medications.

7 Q. Was she continued to be prescribed medication
8 despite not having improvement in pain?

9 A. She was, yes. She continued to be prescribed.

10 Q. And looking at now Exhibit 410, chart Page 469,
11 date of service 2-13-2005, was there any significant --
12 anything significant with Melody on that date?

13 A. Just for the record, that's 2015, I believe.

14 Q. I'm sorry, 2015.

15 A. She indicated that her pain score was still a
16 level eight out of ten, and she was --

17 Q. In reading --

18 A. She --

19 Q. This area, please?

20 A. Yeah. So again, her pain was, again, a level
21 eight out ten on that, with one being barely noticeable and
22 ten being a terrible pain. But the important part there is
23 on exam where she had a very short-lived response to the
24 epidural but continues to deteriorate with significant back
25 pain, which is actually worse after physical therapy. So

1 again, despite being treated for many years with the
2 medications as discussed, her pain was deteriorating. It
3 was significant and worse.

4 Q. And would this support the continued use of
5 steroid injections?

6 A. No. As we talked about the four As, the first A
7 is analgesia, her pain's not improving, it's getting worse.
8 So it's a rather obvious situation here that medications
9 aren't working and they should be stopped.

10 Q. Did Dr. Bauer do harm in continuing to prescribe
11 to Melody?

12 A. Yes, he did harm.

13 Q. Why?

14 A. He continued to expose her to the risks that,
15 associated with long-term high dose opioids, which is as we
16 talked about overdose, death, addiction, and all those
17 other things in terms of worsening mental health, and she
18 clearly had significant mental health issues. And again,
19 additionally the steroids added to that as well because
20 they trend in the same direction in terms of worsening
21 mental health issues, so yes, Dr. Bauer did harm by
22 exposing her to this dangerous and addictive poly pharmacy
23 over the course of seven years.

24 Q. Was there any improvement in function
25 demonstrated?

1 A. No.

2 Q. Did Melody's treatment by Dr. Bauer end?

3 A. Yes.

4 Q. How?

5 A. She died. She died of what toxicologist, or the
6 pathologist, called combined drug toxicity.

7 Q. Now, when you reviewed Melody's patient chart,
8 was there any reports from the pathologist or the coroner
9 with respect to Melody's death from Dr. Bauer's patient
10 chart?

11 A. Yes. There was a note in Dr. Bauer's patient
12 chart dated 9-14-15 where Dr. Bauer was, quote, made aware
13 of the patient's death.

14 Q. Okay. How was he made aware?

15 A. Let me check my record here to see what I have.
16 That made aware is in quotes. It was an office note, and
17 the office note says mother calls and states patient passed
18 away 9-13-15. Mother thinks it was her heart, but they
19 will do an autopsy, W.R. B., meaning Dr. Bauer, made aware.

20 Q. Okay. Was that the only note in the Melody chart
21 with respect to either the cause or manner of death?

22 A. Well, there was -- there was -- there was an
23 entry on 9-13-15 where the coroners indicated that death
24 was due to combined drug toxicity.

25 Q. Do you recall if that page was in the original

1 chart that you received from -- with respect to Dr. Bauer's
2 chart?

3 A. I see what you're saying. No, that was not part
4 of the original Dr. Bauer chart. That was an addendum of
5 information the government made available to me.

6 Q. So going back to my original question, was there
7 any notation in Dr. Bauer's chart of reports from the
8 pathologist or coroner referencing Melody's death?

9 A. No.

10 Q. Now, in the course of a -- of any practice, but
11 let's concentrate on a pain management practice, is that
12 type of information about a patient's death, is that
13 relevant?

14 A. Yes, very relevant.

15 Q. Okay. And why so, if you would explain in the
16 course of the pain management practice?

17 A. As I indicated earlier, it's a sentinel event, a
18 single death is a sentinel event, and that triggers a
19 requirement, from the standard of care standpoint, to
20 review or audit the chart to see if you, as a physician, or
21 your practice, may have materially contributed to,
22 advertently or inadvertently -- well, hopefully
23 inadvertently to the cause of death. In other words, maybe
24 the opioids protocols were not appropriate. A patient's
25 death with combined drug toxicity would allow the

1 opportunity to audit the chart and maybe change the
2 protocols in that practice. It's actually required by, as
3 I said, standard of care because it's a sentinel event, and
4 the physician in this case was made aware that there was a
5 death, so it should have been followed up on.

6 Q. Now, is there anything that governs what
7 prescriber should take the lead in coordination of
8 medication?

9 A. So from a pain management standpoint, assuming
10 there's no doctor shopping going on, the provider, the
11 prescriber will have the authority with regard to the pain
12 medications. But where we get into a problem is where
13 there's poly pharmacy, like in this case, where her
14 non-pain medications, the Benzos, were being prescribed by
15 another doctor. So your question really is who should pick
16 up the phone first. Well, it should be a race. Both
17 prescribers, both the one prescribing the Benzos, as well
18 as Dr. Bauer, should have picked up the phone and said,
19 hey, we've got a poly pharmacy dangerous problem here. So
20 the first one to see it, but they both should have been
21 checking on each patient, follow ups, so it's a shared
22 responsibility, and each person is mutually responsible.

23 Q. And without even picking up the phone, there
24 was -- there was a quicker way to check, isn't there?

25 A. To check?

1 Q. If there is a poly pharmacy situation?

2 A. Well, there -- certainly the ability, in most
3 cases, to communicate actually on the OARRS. You can send
4 an email or something to the other doctor.

5 Q. You can also look at the OARRS?

6 A. Right, assuming that's how the discovery was
7 made. And then the question is asked how quickly do you
8 respond. Sometimes, I don't know if the others has the
9 ability to immediately message the other doctor. It's that
10 way in Indiana, I don't know if it is on OARRS, but
11 certainly picking up the phone and call that doctor because
12 all of the identification is right there, it doesn't take
13 much to do.

14 Q. All right. Let's look at Exhibit 556.

15 A. This is an Oxycodone prescription written to
16 Melody by Dr. Bauer on January -- I can't read the date, 6
17 maybe, I can't read that date.

18 Q. Okay. So Oxycodone January 6th of 2015?

19 A. Okay.

20 Q. And it was for what?

21 A. For Oxycodone.

22 Q. 15-milligram?

23 A. 15-milligrams. There were 90 of them, assuming
24 that's for a month, that would be three of them a day.

25 Q. And next going to Exhibit 557.

1 A. This would be Oxycodone written to Melody on
2 January 6th, 2015 for Methadone at a dose of 90 of them, so
3 assuming that's for 30 days, and they're 10 milligrams
4 each, would be 10 milligrams three times a day or
5 30 milligrams a day.

6 Q. And Exhibit 558. I know that's difficult to
7 read.

8 A. I can't read the date, but it's certainly for
9 Melody and it's Norco, 10 milligrams, 120 of them, which,
10 again, would be averaging four per day by Dr. Bauer.

11 Q. And for the record, that is February 13th of
12 2015.

13 Next, Exhibit 559. What is that?

14 A. This is a Norco prescription to Melody on
15 May 18th, 2015 for 120 pills, averaging four per day by Dr.
16 Bauer.

17 Q. And finally, Exhibit 560, what is that?

18 A. This is a prescription written to Melody by Dr.
19 Bauer, May 18th, 2015, for Methadone, 10 milligrams three
20 times a day for a total of 30 milligrams a day.

21 Q. Dr. King, was the Oxycodone prescription Dr.
22 Bauer wrote for Melody on January 6th, 2015 dispensed with
23 a legitimate medical purpose and within the course of
24 professional medical practice?

25 A. It was not.

1 Q. What about the Methadone prescribed to Melody on
2 January 6th, 2015, was it dispensed with a legitimate
3 medical purpose and within the course of professional
4 medical practice?

5 A. It was not.

6 Q. Was the Norco Dr. Bauer prescribed to Melody on
7 February 13th, 2015 and May 18th, 2015 dispensed with a
8 legitimate medical purpose and within the course of
9 professional medical practice?

10 A. It was not.

11 Q. And what about the Methadone prescribed to Melody
12 by Dr. Bauer on May 15th -- May 18th, 2015, was it
13 dispensed with a legitimate medical purpose and within the
14 course of professional medical practice?

15 A. It was not.

16 Q. And tell us why -- why were these medications not
17 dispensed with legitimate medical purposes within the
18 course of professional medical practice?

19 A. Okay, three major points. The first was she had
20 been on opioids for many years and had been unresponsive.
21 Neither the pain score improved, nor the function improved.

22 Secondly, she had significant mental health
23 issues that, more than likely, accounted for her complaints
24 of pain. In other words, she had a psychosomatic pain that
25 would be expected to get worse with the use of opioids.

1 And then the third is there was significant
2 dangerous and addictive poly pharmacy combinations,
3 Methadone, in combination with other opioids like Norco and
4 Oxycodone. And then in combination with additional
5 Benzodiazepine pharmacy as prescribed by another physician.
6 So it was a dangerous, addictive and foreseeable
7 combination that -- that had no medical merit and was
8 prescribed outside the usual course.

9 Q. Let's turn to patient Rick.

10 Did you review Dr. Bauer's patient chart for
11 Rick?

12 A. I did.

13 Q. Based on your review of the Rick chart, did Dr.
14 Bauer follow the standard of care for prescribing
15 Controlled Substances?

16 A. He did not.

17 Q. And based on your review of the Rick chart, did
18 Dr. Bauer write prescriptions for Controlled Substances
19 with a legitimate medical purpose and within the course of
20 medical practice?

21 A. He failed to do so.

22 Q. Did you prepare a forensic timeline of Rick's
23 chart?

24 A. I did.

25 Q. And can you look at Trial Exhibit 919.

1 Would you please describe Rick?

2 A. I'm scrolling to the front of the chart, bear
3 with me for a second.

4 Rick was a 56 year old gentleman who was under
5 the care of Dr. Bauer for approximately seven years, which
6 means he was about 49 years old at time of initial visit.

7 His main complaints were chronic neck pain and
8 arm pain, and he complained over the course of the years,
9 he was treated of SI joint pain, low back pain, sciatic
10 pain, thoracic pain, migraine headaches and muscle pain or
11 fibromyalgia. He had a lot of complaints. That in and of
12 itself is a concern.

13 The mental health aspect of his care indicated
14 that he had significant problems with -- mental health
15 problems -- mental illness including drug dependency,
16 depression, he had -- he left counseling that he had
17 undergone at one point for depression. He had significant
18 issues with anxiety, and he had been through drug rehab in
19 the past. So those are all quite significant.

20 In addition, he had several significant co-morbid
21 health issues. He was a smoker and a chronic obstructive
22 lung disease. He suffered from fibromyalgia, he had
23 hepatitis.

24 And with regard to abhorrent behaviors, he
25 demonstrated multiple urine drug screen inconsistencies for

1 illegal substances including marijuana, cocaine, MDMA,
2 which is a stimulant medication, Methadone, non-prescribed
3 Methadone, and he had multiple abhorrent behaviors.

4 Q. And we're going to get to those in a second. So
5 I'm going to have you just kind of give us an overview of
6 what type of opioids Rick was prescribed by Dr. Bauer for
7 the management of pain.

8 A. He was prescribed initially Percocet, Flexeril at
9 the beginning of his care in 2010. And as the years went
10 on, those medications were changed and escalated to
11 include -- at one point, which is Buprenorphine and
12 Morphine, Morphine and Oxycodone. And in the latter part
13 of his care there were high MEQ combinations of Oxycodone
14 plus Morphine or Oxycodone plus Oxycontin.

15 Q. Based on your review of the Rick chart, did Dr.
16 Bauer adequately diagnose Rick's medical issues?

17 A. Rick was addicted and abused medications that --
18 that was not recognized and addressed. So the answer is
19 no, he did not do a complete workup and use medications for
20 legitimate medical purpose.

21 Q. Did Dr. Bauer conduct a targeted physical exam of
22 Rick?

23 A. He did a targeted medical exam, and it did not
24 show any focal medical deficits. There was no support of a
25 reason to prescribe opioids.

1 Q. Did Dr. Bauer perform a clinical workup?

2 A. He did an inadequate clinical workup. There were
3 no tests or images to support the continued use of opioids.

4 Q. Based on your review of the chart, did Dr. Bauer
5 adequately assess the risks of prescribing Controlled
6 Substances to Rick?

7 A. He absolutely did not.

8 Q. Why not?

9 A. As I indicated, there were significant mental
10 health issues, including a history of drug rehab, which
11 should have been a show stopper at that point.

12 But additionally, over the course of time there
13 were many abhorrent behaviors that included early out
14 medications and requests for new medication refills. There
15 were motor vehicle accidents, there was a drunkenness
16 and -- and arrest related to the drunkenness. So he had
17 exhibited an alcohol abuse problem. He was aggressive and
18 uncooperative and hostile while in the emergency room at
19 one point where upon they had to let him go because they
20 couldn't do an examination on him. He apparently had an
21 anger management issue, and in Dr. Bauer's office had
22 issues with anger and name calling.

23 He tried to fill his prescriptions early. He had
24 medication stolen, lost medications, and indications of
25 pharmacy shopping. Over the course of time, he visited up

1 to 12 different pharmacies, which is a major red flag
2 suggesting he was trying to not put any pharmacy on notice
3 that he was -- he was consuming some of these dangerous
4 medications.

5 THE COURT: It's hard for me to read some of
6 these. I don't know if while he's testifying you can blow
7 it up a little bigger to make it easier on those of us who
8 are challenged with our eyesight.

9 MS. DUSTIN: Absolutely, we can do that.

10 BY MS. DUSTIN:

11 Q. Was there a treatment plan in Rick's chart?

12 A. There was no written treatment plan, no.

13 Q. And you did indicate earlier that there were high
14 morphine equivalents, what were they, what ranges?

15 A. I don't know if I'm out of place, but I might
16 offer The Judge my printed copies. I'm reading --

17 THE COURT: I think he wants the jury to see it
18 too, more than me.

19 A. I'm sorry, I'm reading off my computer so I don't
20 need my copies.

21 Q. Would it be helpful to put up the Exhibit 920?

22 And what is that?

23 A. That is Rick's red flag timeline, and that's --
24 go ahead.

25 Q. Is that what you were looking at a little

1 earlier?

2 A. No, I was reading that off the forensic
3 chronology, but this is taken from the forensic chronology
4 so it's the same source.

5 Q. And it indicates a number of red flags?

6 A. Correct.

7 Q. You earlier indicated that we were -- the
8 morphine equivalent -- if we move down, let's go to the
9 next page. And explain to us, based on your graph there,
10 what the MEQs, how they changed over the course of Rick's
11 treatment by Dr. Bauer?

12 A. The MEQs, on the initial stages of treatment,
13 were fairly low. They were less than 100, on the order of
14 about 30 to 45 or so. Then over time they escalated up to
15 over 100. And then over further time, they escalated up
16 to, well, up to about 200, 200 plus. And then over time
17 they escalated up to 300 plus. On -- by 2017 there was
18 a -- the highest MEQs were a 315, and they were maintained
19 at that level for some time, a year, year-and-a-half, two
20 years. They ultimately started to come down again towards
21 the end of his treatment regimen, and were brought down to
22 around the 200 or high 100 range.

23 Q. Are those ranges concerning?

24 A. They're very concerning, yes, for multiple
25 reasons.

1 Q. And then in terms of the red flags on the top,
2 you indicated I think you previously testified to most of
3 them?

4 A. Yes.

5 Q. How many -- you mentioned a positive urine
6 screen. Did you see any evidence of how many drug screens
7 were conducted?

8 A. I saw a record of four urine drug screens over
9 the course of seven years.

10 Q. And did Rick -- he failed some of those?

11 A. Of the four that were documented here, he failed
12 all of them.

13 Q. And tell us how he failed them.

14 A. There was -- okay, so I'll go through each one.
15 So the first one indicates a positive -- positive for
16 cannabis. And that's of concern, and we can talk about
17 that later.

18 The second one was positive for Oxycodone, which
19 was not being prescribed at that time, but still positive
20 for cannabis.

21 The third first done in 2017 was, again, positive
22 for cannabis, but also it was positive for cocaine, for
23 opioids that he was not being prescribed, for Ecstasy, MD
24 MA, that obviously is an illegal drug, and by Methadone
25 that he was not being prescribed, and by Tricyclic

1 antidepressants, which are sometimes used on the black
2 market to augment a euphoria or high. So that urine drug
3 screen taken on 3-22-2017 had all kinds of illegal
4 substances and non-prescribed medications.

5 And then critically in 2018 on 6-5-18, he had a
6 drug screen where there were no drugs detected, including
7 the ones that he was prescribed. So this is clearly a
8 pattern of abuse and diversion.

9 Q. And the previous drug screen where he was
10 positive for MDMA, was he prescribed Controlled Substances
11 by Dr. Bauer after that positive drug screen?

12 A. Yes, despite that drug screen, he was continued
13 in his prescribing of Controlled Substances.

14 Q. And what's the date of the last positive drug
15 screen?

16 A. 6-5-18.

17 Q. And was Rick, based on the patient chart,
18 prescribed Controlled Substances after that positive drug
19 screen?

20 A. Well, that was -- to be sure that was a negative
21 drug screen. He had shown up in the office with slurred
22 speech, but had -- but then a couple days following the
23 urine drug screen showed no drugs detected, including no
24 prescribed drugs. But Dr. Bauer reissued Oxycodone and
25 Tramadol, despite that inconsistent urine drug screen.

1 Q. Okay. And then let's look at the bottom of the
2 graph. What is that reflective of in terms of Rick's --
3 are those his injections?

4 A. Those are his injections. There's a large number
5 there. And they -- and none of them meet the criteria for
6 medical necessity based on the patient's presentation,
7 imaging studies, electro diagnostic studies or physical
8 exam. There's just no indication there really for any of
9 those. Most of them are epidural injections of one sort or
10 another which uses steroids. And there was never any
11 indication that the -- either the opioids or the
12 injections, or the steroids, offered Rick any improvement
13 in pain score or function. So there was significant poly
14 pharmacy going on, but no improvement in function or pain.

15 Q. Let's look at Exhibit 921.

16 And what is 921?

17 A. Again, this is the opioid -- I'm sorry, the
18 steroid and injection timeline.

19 Q. And how many injections were administered, or can
20 you describe, in summary fashion, the injections
21 administered to Rick?

22 A. Sure. If you can scroll down to -- there you go.
23 So to the graph, if you would, please. Down a little bit
24 further. There you go, that box at the bottom shows that
25 he had 18 cervical epidurals, 25 lumbar epidurals, 34

1 trigger point injections, eight brachial plexus injections
2 23 trochanteric bursa injections, and then of course oral
3 Prednisone times two and sacroiliac joint injection. This
4 is an extraordinary number of injections, particularly with
5 the epidurals and trigger points .

6 Q. And what would his cumulative steroid equivalents
7 be?

8 A. It was somewhere between 4,000 and
9 4,500 milligrams during the course of that seven year time
10 frame.

11 Q. Did these injections put Rick at risk of more
12 harm?

13 A. It did. As we previously discussed, there was
14 potential injection harm, or procedural harm, with the
15 multitude of injections, 18 of which were in the neck. And
16 that's a very high risk area. And in addition, he had
17 significant steroids, which would be expected because of
18 adrenal suppression, and can cause a host of other problems
19 and interact badly with mental health issues and the
20 co-prescribed opioids, so yes, he was exposed to
21 significant harm.

22 Q. Were the trigger point injections given to Rick
23 on August 17th, 2015, May 13th, 2016, July 8th, 2016, and
24 September 23rd, 2016, administered with a legitimate
25 medical purpose and within the course of professional

1 medical practice?

2 A. They were not.

3 Q. And with respect to the injections administered,
4 the epidural injections given to Rick on October 20th, 2015
5 and May 10th, 2018, let's look at the image, which is
6 Exhibit 94, for the October 20th, 2015 epidural injection.
7 What are we looking at?

8 A. Well, the jury should understand that when we do
9 these injections, we need to have photographs or x-ray
10 pictures that verify the needle is placed in the right
11 position and dye should be used, by standard of care, to
12 show that the medication's going to the right spot. For
13 needle placement and medication placement, we need what we
14 call fluoroscopy images. Fluoroscopy is a movable medical
15 x-ray, we can look at different perspectives on the
16 patient's body to project the track of the needle. These
17 are required by standard of care to be in the chart such
18 that a reviewer, like myself, can go back and answer the
19 question is the needle in the right spot.

20 As I look at this, and this is an example of a
21 documented fluoroscopy image, it's unreadable. You can
22 look at that yourself, and you can say what am I looking
23 at. I'm looking at it, and with my high degree of
24 training, say it looks like a lumbar spine, looks like a
25 sideway view of the lumbar spine. I can vaguely see a

1 couple disc spaces, but there's no evidence of needle
2 placement, and it's only a single photograph. It doesn't
3 have multiple photographs which are needed to triangulate
4 safely the position of the needle. So it's a totally
5 inadequate documentation of a -- of a pain injection.

6 Q. So this is an image from Rick's chart on Page
7 971?

8 A. Yes.

9 Q. And based on your review of Rick's chart and this
10 injection, which is Exhibit 594, was this epidural
11 injection given to Rick administered with a legitimate
12 medical purpose and within the course of professional
13 medical practice?

14 A. No, it was not.

15 Q. And who administered this injection?

16 A. Dr. Bauer.

17 Q. All right. Now, let's look at May 10, 2018. I
18 believe there is no image of this, but was there an
19 injection, epidural injection administered to Rick by Dr.
20 Bauer on May 10th of 2018?

21 A. Yes.

22 Q. And was that epidural injection administered by
23 Dr. Bauer with a legitimate medical purpose and within the
24 course of professional medical practice?

25 A. It was not.

1 Q. Earlier you talked about Rick being called in to
2 the office and having some -- some concerning behavior.

3 Let's look at Exhibit 407, chart Page 180. And
4 is that notation in the chart significant?

5 A. Yes. Again, this is a chart note, it was a call
6 for Dr. Bauer, the patient, Rick, quote, states he is --
7 has serious -- he's in serious trouble. Last night called
8 an ambulance for severe leg swelling, was arrested and
9 placed in handcuffs, rambles about money and closing the
10 office. Drank a couple beers with his girlfriend, his leg
11 locked up and was swollen immediately. Patient called
12 ambulance and was evaluated and determined as agitated,
13 intoxicated, ER, emergency room would not call Dr. Bauer.
14 Patient -- okay, few more comments there, and patient is
15 agitated, asked why he's getting charged for these and --
16 well, okay, that's not germane here. And he's waiting to
17 go back to Dr. Bauer for a continued evaluation.

18 Q. Based on Rick's conduct on that date, it was
19 August 3rd, 2012, did -- was it indicated, contraindicated
20 to continue to prescription opioid medications to Rick?

21 A. It was, yes.

22 Q. Which one?

23 A. Well, all of the Controlled Substances, because
24 the -- whatever he was on at that time, I can go back and
25 check that. Is that what you need me to do on that?

1 Q. Was it -- to support the standard of care, was it
2 contraindicated, or was it outside the --

3 A. Oh, it was outside the standard of care and
4 without a legitimate medical purpose, because at this point
5 Rick was reasonably diagnosed with alcohol abuse and
6 perhaps alcoholism long-term, and to co-prescribe
7 Controlled Substances with that condition is
8 contraindicated, is outside the standard of care.

9 Q. In looking at Exhibit 407, let's look at Page
10 1042. And what is this?

11 A. This is the sheet giving the results of the urine
12 drug screen, toxicology for Rick on -- the date's there
13 somewhere. What was that, 3-22-17.

14 Q. And these show that the results were what?

15 A. This is the urine drug screen that showed that
16 the urine for Rick was positive for marijuana, positive for
17 cocaine, positive for opioids, positive for Ecstasy, MDMA,
18 positive for Methadone, which he was not being prescribed,
19 positive for tricyclic antidepressants, which we have no
20 record of being prescribed, and positive for Oxycodone,
21 which he was being prescribed.

22 Q. Is there a chart page with respect to that?
23 Let's go to chart page 1096 to 1097. Can we go to the next
24 page, please, 1097.

25 Is there a concern with the way that Rick

1 appeared that date?

2 A. Yes.

3 Q. At the office -- and if you can indicate the date
4 of his appearance at the office?

5 A. Yeah, his -- the date is 7 -- well, let's see, a
6 part of the date's obscured there.

7 Q. We can go back to the date. What was the
8 concern?

9 A. The concern was Rick had come in for a procedure,
10 an injection on that day, and that last sentence indicates
11 the patient is seen today for low back pain, but also has
12 slurred speech, and then there's some question about
13 whether it's because he's edentulous, but -- but the
14 reality is he's got slurred speech. And for an individual
15 who's got a history of abhorrent behaviors and probable
16 addiction, this would reasonably be assumed to be a
17 response to drugs that the patient was taking, so it should
18 have been a major red flag that, again, should have been
19 discussed with the patient and led to the cessation of
20 Controlled Substances.

21 Q. And let's look at the page so we have a date.

22 A. May 31, 2018.

23 Q. And then finally, let's look at a chart Page
24 1098.

25 And what is this?

1 A. This is another drug test that was performed on
2 Rick, and the date is -- the date is 6-5-2018. And it
3 indicates, quote, no drugs detected.

4 Q. That was June of '18. In July of 2018 did you
5 see any evidence from the patient chart that law
6 enforcement reached out to Dr. Bauer?

7 A. Yes. There's a note in the chart that in July of
8 2018, the drug task force with the Seneca County Sheriff's
9 Office made an appearance in Dr. -- I don't think made an
10 appearance, made a phone call, I don't remember which, but,
11 in any event, notified the office that Rick is using fake
12 pills to pass his pill counts, and that Rick is selling
13 heroin and Oxycodone, and goes on to observe that fake
14 prescription drugs are hard to tell apart from the real
15 thing. And the sheriff's office offered the ability to
16 test any pills that Dr. Bauer's office might think would be
17 fake, and they could test them for him. And it was left
18 with the recommendation that Dr. Bauer could call the
19 Bellevue Police Department if needed. But there was notice
20 put on that Rick is an addict, is selling his medications,
21 and using heroin and -- and making fake pills.

22 Q. Dr. King, was that information that you just read
23 from the chart?

24 A. Yes.

25 Q. And was Rick prescribed Controlled Substances by

1 Dr. Bauer in July after that phone call, or after the
2 notation in the chart I should say?

3 A. Yes, correct.

4 Q. And what was he -- what day was he prescribed,
5 and what was he prescribed?

6 A. 7-6-18. Let me go down and be precise on this.

7 Okay, so the visit with law enforcement occurred on
8 5-31-18. Rick was prescribed --

9 Q. I thought you said July -- 5-31, correct?

10 A. I'm sorry, that's incorrect on my part. It was
11 July 17 -- excuse me, July 16th, 2018 the interaction with
12 law enforcement occurred with Dr. Bauer's office. After
13 that on 7 -- 7-26-18 -- excuse me, well, on 7-24-18, after
14 that interaction with law enforcement, a prescription was
15 issued for Oxycodone, 30 milligrams, 60 of them.

16 Q. Okay. Let's look at Exhibit 542.

17 And what is that?

18 A. That's an office note for Rick dated 3-22-17.

19 Q. And what's the date on it?

20 A. 3-22-17. Yeah, 3-22-17.

21 Q. Okay. And then does it indicate someplace on the
22 office note, going to that Methadone, that Rick had a
23 prescription written on March 23rd of 2017?

24 A. Yes. Methadone was written on 3-23-17.

25 Actually, two prescriptions for Methadone were written.

1 And Tramadol was written on that same day, and a third
2 prescription for Methadone was written.

3 Q. Okay. So March 23rd of 17, Methadone, what
4 milligram?

5 A. 10-milligram, three times a day.

6 Q. And was there --

7 A. I'm sorry, 5-milligrams, three times a day.

8 Q. And that was written by whom?

9 A. That was written by Dr. Bauer.

10 Q. To?

11 A. To Rick.

12 Q. And there's also another prescription written to
13 Rick on the same day?

14 A. Well, Ultram was prescribed as well.

15 Q. And what about Tramadol?

16 A. Tramadol and Ultram are the same drug.

17 Q. Okay, I'm sorry. What milligram for the
18 Tramadol?

19 A. That's 50 milligrams, 50.

20 Q. How many?

21 A. He was prescribed 120 of them to be taken four a
22 day.

23 Q. And that was written by Dr. Bauer?

24 A. Correct.

25 Q. All right. Let's look at Exhibit 544.

1 And what is this?

2 A. This is a prescription issued to Rick by Dr.
3 Bauer for Oxycodone, and the date is April 13, 2017.

4 Q. And what was that for?

5 A. For Oxycodone, 15 milligrams.

6 Q. And Dr. Bauer wrote that?

7 A. Yes.

8 Q. And let's look at Exhibit 545.

9 And what is this?

10 A. This is an office visit for Rick on the date
11 4-13-17.

12 Q. And was there a prescription for Tramadol
13 written?

14 A. Yes, Tramadol or Ultram was written on 4-13-17.

15 Q. For what milligram?

16 A. 50 milligrams to be taken four times a day.

17 Q. And who wrote that prescription?

18 A. Dr. Bauer.

19 Q. And finally, let's look at Exhibit 546.

20 And what is that?

21 A. That is a prescription for Oxycodone,
22 30-milligrams, prescribed to Rick to take four times a day.

23 Q. And what's the date of this prescription?

24 A. 6-8-18.

25 Q. And who wrote this prescription?

1 A. I don't see enough on this particular pull out
2 here, if you could give me a larger picture.

3 Q. Take the box down.

4 A. By Dr. Bauer.

5 Q. All right. Dr. King, was the Methadone
6 prescription Dr. Bauer wrote for Rick on March 23rd, 2017,
7 dispensed with a legitimate medical purpose and within the
8 course of professional medical practice?

9 A. It was not.

10 Q. Dr. King, was the Tramadol Dr. Bauer prescribed
11 to Rick on March 23rd, 2017 and April 13th, 2017, dispensed
12 with a legitimate medical purpose and within the course of
13 professional medical practice?

14 A. It was not.

15 Q. Was the Oxycodone Dr. Bauer prescribed to Rick on
16 April 13th, 2017, and June 8th, 2018 dispensed with a
17 legitimate medical purpose and within the course of
18 professional medical practice?

19 A. It was not.

20 Q. And would you tell us your conclusions with
21 respect to those prescriptions, why did you conclude that
22 way?

23 A. The medications were issued in support of ongoing
24 addiction. The patient was admittedly -- and he did admit
25 to this in the chart notes, was admittedly addicted and was

1 told he needed to receive drug counseling. That did not
2 occur. He was a known addict, multiple Controlled
3 Substances were prescribed, he had a lengthy history of no
4 evidence there was any improvement in the VAS pain score or
5 improvement in function. His medications were -- were
6 issued in support of addiction.

7 Q. All right. Now, we've talked about three patient
8 charts and saw the type of review that you have done with
9 the help of your staff. How many hours did you and your
10 staff spend on this -- on this review?

11 A. By my calculation, the number of hours we spent
12 was -- was -- I'm going to estimate, but it's in the
13 ballpark of 700 hours on this project.

14 Q. And have you been compensated for your work and
15 time in this case?

16 A. I have, yes.

17 Q. And how were you compensated in terms of your
18 rates?

19 A. My rate is \$350 per hour, my nurse's rate is \$200
20 per hour. Approximately 2/3 of those hours were due to
21 nursing work, not 2/3, approximately 60 percent of those
22 700 hours were due to nursing work, and then the remainder,
23 little less than half were time that I put in.

24 Q. And to date, how much have you been compensated
25 for your work and your staff work?

1 A. Total amount is approximately \$125,000.

2 Q. Okay. Let's move on to patient James L.

3 Now, are there two different patients with the
4 same first name?

5 A. Yes, there are.

6 Q. And then why -- how are we distinguishing, what
7 are we using to distinguish between the two?

8 A. Instead of using their last name initial we're
9 using their middle initial.

10 Q. So we have James L, correct, is one of the
11 patients?

12 A. Yes, and I need to be sure I pull up the correct
13 one here.

14 Q. I'll give you a minute to do that.

15 A. I don't have a key that tells me which one that
16 is. Is there some way you can give me the last name, and I
17 can reference it in my computer.

18 Q. I can show you.

19 A. Thank up.

20 Q. Did you review Dr. Bauer's patient chart for a
21 patient named James L?

22 A. I did, yes.

23 Q. Based on your review of the James L chart, did
24 Dr. Bauer follow the standard of care for prescribing
25 Controlled Substances?

1 A. He did not.

2 Q. And based on your review of the James L chart,
3 did Dr. Bauer write prescriptions for Controlled Substances
4 with a legitimate medical purpose and within the course of
5 professional medical practice?

6 A. He did not.

7 Q. Did you prepare a forensic timeline to summarize
8 James L's chart?

9 A. I did, yes.

10 Q. Let's look at Exhibit 910. All right. Now,
11 let's just blow up the left side of 910 for the ease of
12 following along as you review your time line.

13 Would you please describe James L?

14 A. James L was 69 years old at time of his death.
15 He had been under care with Dr. Bauer for a little over six
16 years, which meant he was approximately 63 years old on his
17 initial visit. He was a male, he was unemployed. He was
18 in the nursing home and under the care of Adult Protective
19 Services at some point.

20 His main complaint was chronic low back pain with
21 radiculopathy, abdominal pain, right leg pain and testicle
22 pain, which is an unusual assortment of complaints, but
23 nevertheless that's what it was. He also complained of
24 various types of back pain and joint pain.

25 Over the course of time, the chart documented no

1 urine drug screens.

2 James had significant mental health
3 co-morbidities, including fibromyalgia, depression,
4 anxiety, panic attacks, post traumatic stress disorder, a
5 history of having been abused by his step-dad and abused by
6 caregivers.

7 In addition to that, mental health co-morbidities
8 listed, he had significant physical co-morbidities, he had
9 Crohns disease, diabetes, emphysema, and a history of
10 multiple accidents, but also a history of multiple falls,
11 which, as we discussed, can sometimes be indicative of
12 overmedication.

13 But he was -- but that's sort of foundational.
14 I'll hold off on the abhorrent behaviors, I figure you'll
15 ask me about that later.

16 Q. Can you give us an overview of the prescriptions
17 that James L received while being treated by Dr. Bauer?

18 A. Initially, yeah, James was treated with a
19 combination of Oxycodone, Soma and Klonopin, as well as
20 Paxil, which is an antidepressant. The jury will recognize
21 that combination of Oxycodone, Soma and Klonopin as the
22 Holy Trinity.

23 The Holy Trinity was prescribed at a fairly large
24 morphine equivalency, 135 morphine equivalents initially,
25 and over time the dose of morphine equivalency was

1 escalated up to the low 200s, to a high of 235, and towards
2 the end, and prior to his death he was brought down to
3 about 150 morphine equivalents. The combination of an
4 opioid plus Soma plus Klonopin, the Holy Trinity was
5 maintained for many years.

6 There were additional medications added to the
7 Oxycontin and Oxycodone, which included Tramadol. So there
8 was a significant and concerning poly pharmacy over time
9 with that combination of medications.

10 Q. Let's look at Exhibit 945.

11 Is this the standard of care summary you
12 prepared --

13 A. Yes.

14 Q. -- to summarize your findings?

15 A. Yes.

16 Q. And is there a -- is there a row on here for
17 James L?

18 A. James L is represented by the first row there,
19 yes.

20 Q. And based on your review of James L's chart, did
21 Dr. Bauer adequately diagnosis James L's medical issues?

22 A. He did not.

23 Q. Did he -- did Dr. Bauer's conduct a targeted
24 physical exam of James L?

25 A. He performed a tar -- he performed a physical

1 exam, but there were no focal deficits to support a pain
2 diagnosis.

3 Q. Did Dr. Bauer conduct a clinical workup of James
4 L?

5 A. He did not.

6 Q. And based on your review of James L's chart, did
7 Dr. Bauer adequately assess the risks of prescribing
8 Controlled Substances to James L?

9 A. He did not.

10 Q. Was James L a good candidate for long-term opioid
11 treatment?

12 A. James L was a noncandidate for the use of
13 opioids.

14 Q. Did Dr. Bauer formulate a defined treatment plan
15 for James L?

16 A. He did not. The patient received multiple
17 injections and opioids, but that treatment plan was, as
18 we've talked about, fairly cloned and was not specific to
19 James .

20 Q. Let's look at Exhibit 911.

21 And in conjunction with compliance enforcement
22 and outcome, 911 shows a number of red flags?

23 A. Yes.

24 Q. Would you review some of those, please?

25 A. The red flags are extensive. James was abused by

1 his mother's husband when he was young. As a young man he
2 stated he was an alcoholic, that's up on 1308, that's
3 foundational. This is a psychosomatic pain syndrome
4 related to his abuse and his history of alcohol abuse. But
5 multiple times throughout the red flags there are
6 indications that the medications are not working, the pill
7 count was incorrect, that he wanted to change medications,
8 he was early out of medications multiple times and
9 requested early refills. He -- there are indications of
10 meds stolen. And there were indications of what I called
11 the -- the -- the abuse triad where he was early out,
12 requested early medications and had stolen and lost
13 medications. So the abuse triad was evident here multiple
14 times.

15 But the bottom line is even as late of 2016, well
16 on to his care, he indicated that the pain meds weren't
17 working and indicated additionally he wasn't taking them as
18 prescribed, but they continued to be prescribed.

19 Q. I can't recall, did you indicate whether or not
20 there was any urine drug screens?

21 A. The -- the medical chart indicated no urine drug
22 screens during that time, what was that, six year time
23 frame of care.

24 Q. Did you see any evidence, based on your review of
25 the James L chart, of clinical improvement?

1 A. No. Specifically the VAS scores, which on
2 initial visit were nine out of ten, stayed pretty much at
3 that level throughout. There were times when it was ten
4 out of ten towards the end prior -- prior to his death.
5 The last pain scores were nine out of ten, nine out of ten,
6 eight out of ten. So there was no significant change in
7 the VAS change scores, despite six years of treatment.

8 With regard to the function, he was unemployed,
9 and ultimately there was some nursing home issues involved
10 here, but clearly his standard of -- his -- his function
11 did not improve. He was progressively unable to take care
12 of himself.

13 Q. So Dr. Bauer -- why would Dr. Bauer continue
14 James L on opioid therapy if, for years, his quality of
15 life wasn't improving?

16 A. There's no medical rationale. Dr. Bauer
17 prescribed the medications without a legitimate medical
18 purpose. There was no indication James was getting better,
19 and he prescribed it outside the usual course of medical
20 practice because, despite all the abhorrent behaviors,
21 there was failure to perform urine drug screens, and
22 failure to understand that the mental illness issues,
23 particularly the history of abuse, is reasonable to cause
24 the etiology of the ongoing pain. It was a psychosomatic
25 pain. Dr. Bauer should have recognized that and should

1 never have initiated the opioids, but certainly should have
2 ceased them at some point.

3 Q. You said ultimately was -- was James L ever
4 discharged for noncompliance?

5 A. You can direct me to a point.

6 Q. Was he ever discharged because he was not
7 complying?

8 A. I see what you're saying. No, he was not. There
9 were abhorrent activities that showed he was noncompliant,
10 but he was never discharged.

11 Q. Ultimately how did his treatment end?

12 A. He died.

13 Q. We do not know the cause?

14 A. I do not know the cause.

15 Q. There was nothing in the chart to reflect cause
16 of death or any reason.

17 A. That's correct.

18 Q. Okay. We just don't have that information?

19 A. Correct.

20 Q. Did Dr. Bauer administer any injections to James
21 L?

22 A. Yes, there were multiple injections administered
23 here, as evidenced here on the time line.

24 Q. And let's look at Exhibit 912.

25 And if you can give a summary of how many and

1 what type of injections were administered to James L by Dr.
2 Bauer?

3 A. Cumulative steroids get up to between 3,000 and
4 3,500, which, again, is a very significant high dose.
5 There were multiple types of injections. Again, as we
6 talked about, most of them were epidural-type injections
7 and trigger point injection. You have 31 lumbar epidural
8 injections, trigger point injections 15, trochanteric bursa
9 injections 12, and SI joint was injected four times.

10 Q. Based on your review of the James L chart, did
11 the injections result in any improvement in pain or
12 function?

13 A. There was no evidence that there was any
14 improvement in pain or function.

15 Q. And you indicated that James L had 31 epidural
16 injections?

17 A. Yes.

18 Q. Is that number, in and of itself, significant?

19 A. It's a large number. Again, to help the jury
20 understand this, most people may have a couple epidural
21 injections, if they've got back problems or herniated
22 discs, maybe a couple times in their life, maybe two or
23 three times. If you've got a back pain that's responsive,
24 you might have an epidural injection or two on a yearly
25 basis. But 31 is a number that I -- I -- is extremely high

1 and most unusual, particularly on an individual like James
2 here who never even had an MRI done of his lumbar spine.
3 He had no MRI, had no electro diagnostic testing to show
4 the presence of sciatica, and he had no specific physical
5 findings that would suggest there were neurologic deficits
6 to support a diagnosis of sciatica.

7 So in the end, the 31 epidural injections is a
8 really large number that exposed him to the side effects of
9 the steroids of which we've talked a lot in combination of
10 the side effects of Controlled Substances, with no evidence
11 of any -- actually with a deterioration of physical
12 function over time.

13 MS. DUSTIN: May we have one moment, Your Honor?

14 THE COURT: Sure.

15 (Government counsel conferring off the
16 record.)

17 MS. DUSTIN: Let's bring up Exhibit 580.

18 THE COURT: Are we still with James?

19 MS. DUSTIN: Yes.

20 THE COURT: Thank you.

21 BY MS. DUSTIN:

22 Q. And do you recognize this chart page -- it's
23 Exhibit 580 from the James L chart?

24 A. I do.

25 Q. And what is that?

1 A. This is a fluoroscopic x-ray picture similar to
2 what we talked about a little while ago showing the image
3 of the back and needle placement for an epidural or a
4 transforaminal epidural injection.

5 Q. And is it significant?

6 A. It's very significant. Would you like me to
7 explain why?

8 First of all, it's a bad photograph in the sense
9 that the technique makes it difficult to read. Again, this
10 is what I do on a regular basis, so I recognize that as a
11 sideways or lateral picture of the lumbar spine, but it's
12 not a good one. It's difficult to identify some of the key
13 areas. So it's only one photograph, it's a sideways or
14 lateral image.

15 The other is, on this one we can actually see the
16 needle, and I -- if I draw on this, can the jury see?

17 Q. Yes.

18 A. So there's a hint of a needle right along there
19 (indicating), I'm trying that underneath, and where --
20 right where I've stopped, that is where the needle stops.
21 So there's a picture of a needle coming in, but this is
22 described as a transforaminal epidural, which means the
23 needle goes into the foramen of the spine, and I'm going to
24 draw a circle around where the foramen of the spine is,
25 right here (indicating), so the needle is nowhere near to

1 its target. And we don't have another picture to show the
2 complete three dimensional position of the needle. But at
3 least based on this one, the needle is nowhere near where
4 it was supposed to be, based on the -- the description of
5 the procedure. So assuming the medication -- well, and
6 there's no x-ray contrast dye used here, which, again, is
7 standard of care required to make sure the medication's in
8 the right spot. We have a needle that's inches away from
9 where it's supposed to be, no contrast to show that it's
10 going to go to the right spot but it's not because the
11 needle's in the wrong spot, and we only have a single very
12 bad image here to describe the procedure.

13 Q. But based on your review of Exhibit 580, was the
14 epidural injection that Dr. Bauer gave to James L on
15 February 10th, 2015 administered with a legitimate medical
16 purpose and within the course of professional medical
17 practice?

18 A. No, it was not, but I also hasten -- it was not
19 an epidural injection based on this documentation, but
20 clearly, as such, it was outside the usual course, and not
21 for a legitimate medical purpose.

22 Q. You would not consider that an epidural
23 injection?

24 A. This -- it was not an epidural injection. The
25 needle is in the incorrect position.

1 Q. Okay. And then was there a trigger point
2 injection administered to James L on May 25th, 2018?

3 A. Yes, there was.

4 Q. And can we look at 581?

5 And that just reflects what?

6 A. I don't know how to erase my marks on here.

7 Q. And was a procedure administered to James L on
8 May 25th, 2018?

9 A. Yes.

10 Q. And what was the procedure?

11 A. The procedure was a trigger point injection.

12 Q. And there's no view of that, is there, there
13 wouldn't be -- no view?

14 A. Correct, this is not done under x-ray guidance.

15 Q. Right, but based on your review of the James L
16 patient chart, was a trigger point injection that Dr. Bauer
17 gave to James L on May 25, 2018 administered with a
18 legitimate medical purpose and within the course of
19 professional medical practice?

20 A. It was not.

21 Q. Why not?

22 A. The -- the requirements to qualify for medical
23 necessity require that the trigger point be identified --
24 in its particular muscle be identified in terms of its
25 texture and location, and be identified based on palpations

1 of the trigger point resulting in pain distributing to the
2 correct area that the patient describes.

3 Also, there were previous trigger points
4 injected, or allegedly injected, that offered no
5 improvement; therefore, there was no medical foundation to
6 repeat yet another one. So the diagnosis was not
7 established, the medical need was not established, and
8 therefore, it was not legitimately necessary, it was
9 outside the usual course of medical practice.

10 Q. And then let's look at Exhibit 516.

11 And what is that?

12 A. This is a prescription for James written on
13 March 20, 2017 for Clonazepam written by Dr. Bauer.

14 Q. And then let's look at Exhibit 517.

15 A. This is a prescription written for Oxycodone on
16 the same day, March 20, 2017, for James issued by Dr. Bauer
17 for Oxycodone 30 milligrams three per day.

18 Q. And Exhibit 518?

19 A. This is a prescription issued to James, I can't
20 read the date up there, March 20, it's covered up.

21 Q. 2017?

22 A. I -- okay. I'll tell you what, based on the
23 signature there, it's 2017. So it makes sense to think
24 that this is March 20, 2017 for Oxycodone to James issued
25 by Dr. Bauer.

1 Q. And Exhibit 519?

2 A. And this is a prescription, if I could just see
3 the whole prescription there. Yeah, this was a
4 prescription issued to James for Oxycodone issued by Dr.
5 Bauer. And if we could just not do the call out, just
6 leave the page as it is, I can probably read the date.
7 Well, the signature -- the signature date there says
8 3-20-17. I'm trying to find a date somewhere else.
9 There's no other date. Oh, there it is, 3-20-17. Thank
10 you.

11 Q. And it was for what?

12 A. Oxycodone, 30 milligrams for three times a day.

13 Q. And Exhibit 520?

14 A. Again, another prescription to James L all on the
15 same day, March 20, 2017 for the narcotic Opana,
16 15 milligrams twice a day issued by Dr. Bauer.

17 Q. Is that Opana, it says ER?

18 A. Opana is extended release. We talked about that
19 earlier, Opana is Oxymorphone, a very potent narcotic
20 related to Oxycodone, but ER is extended release.

21 Q. And then Exhibit 521?

22 A. Prescription issued on the same day to James by
23 Dr. Bauer March 20, 2017. The prescription is for Opana,
24 15 milligrams twice a day.

25 Q. And then 522?

1 A. This is a prescription, again, issued on the same
2 day, 3-20 to James by Dr. Bauer for Opana ER 15 milligrams
3 twice a day. Actually --

4 Q. And that was written --

5 A. -- twice a day, yes.

6 Q. 3-20-2017?

7 A. A correction there. I'm not sure if this was
8 prescribed for twice a day or once a day, but all the rest
9 of the description is correct.

10 Q. It was issued on 3-20 of 17?

11 A. Correct.

12 Q. And then let's look at Exhibit 523. And what is
13 this?

14 A. This is a prescription for Soma issued to James
15 on -- on, yeah, 5-3-17. The Soma is the addictive muscle
16 relaxer we talked about, to be taken three times a day
17 issued by Dr. Bauer to James.

18 Q. And 524?

19 A. This is a prescription to James issued May 24,
20 2017 by Dr. Bauer for Clonazepam to be taken twice a day.

21 Q. 525, we're going to skip to 526. So as they're
22 searching for the last two exhibits, Dr. King, were the
23 Clonazepam, Oxycodone and Opana prescriptions Dr. Bauer
24 wrote for James L on March 20th, 2017 dispensed with a
25 legitimate medical purpose and within the course of

1 professional medical practice?

2 A. They were not.

3 Q. And was the Soma prescription Dr. Bauer
4 prescribed to James L on May 3rd, 2017, dispensed with a
5 legitimate medical purpose and within the course of
6 professional medical practice?

7 A. It was not.

8 THE COURT: Have you completed the discussion of
9 James L?

10 MS. DUSTIN: We have two more exhibits, and we
11 will have a couple follow-up questions, and we will have
12 concluded James L.

13 BY MS. DUSTIN:

14 Q. So Dr. Bauer, looking at Exhibit 525.

15 And what is this?

16 A. This is a prescription issued to James by Dr.
17 Bauer on May 24, 2017, for Oxycodone, 30 milligrams, three
18 times a day.

19 Q. And finally, Exhibit 526?

20 A. A prescription issued to James L on May 24, 2017,
21 by Dr. Bauer for Opana to be taken once a day.

22 Q. And that was also the ER?

23 A. Opana ER, correct.

24 Q. Dr. King, were the last prescriptions we looked
25 at for the Klonopin, Oxycontin and Opana ER, did Dr. Bauer

1 write these prescriptions to James L on May 24th, 2017; and
2 were they dispensed with a legitimate medical purpose and
3 within the course of professional medical practice?

4 A. They were not.

5 Q. All right. With respect to your opinion on all
6 these prescriptions we just reviewed, these last exhibit
7 numbers, why did you make that conclusion?

8 A. Similar to what I've said in the past. Number
9 one is there was no indication over course of time that the
10 opioids were improving the VAS pain score or improving the
11 function of the patient. That's critical to support the
12 ongoing use of opioids.

13 The second was there was significant danger and
14 addictiveness associated with these combinations, the
15 Oxycontin plus the Soma, or the Opana plus the Soma, plus
16 Clonazepam is, as you know now, the Holy Trinity, which
17 would, in part, a heroin-like euphoria. So there's danger
18 with regard to overdose, death and ongoing addiction.
19 There was no medical reason to prescribe those medications,
20 in combination or individually, since there was no evidence
21 of clinical improvement over the course of greater than six
22 years.

23 Q. From the chart, did it appear that James L's
24 quality of life improved while he was being prescribed
25 Controlled Substances by Dr. Bauer?

1 A. It did not. Ultimately he was taken into a
2 nursing facility and -- and was overseen because his
3 function diminished.

4 Q. Thank you.

5 MS. DUSTIN: No further questions for today.

6 THE COURT: I suspected that was your response.

7 We're at a good place to break for the day,
8 ladies and gentlemen. Appreciate your attentiveness during
9 today, even the government computer konked out after
10 awhile, but I noted that you all were doing your very best,
11 and we appreciate that.

12 I'm going to invite you, Doctor, back tomorrow to
13 complete your testimony.

14 And with that, remind you to remember all the
15 rules. We're in recess until 9:00 a.m. tomorrow morning.
16 Thank you.

17

18

- - -

19

20

21

22

23

24

25

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

I N D E X

EXAMINATIONS

PAGE

LINE

<u>James Cipiti</u>		
Direct by Ms. Dustin	703	22
Cross by Mr. Gibbons	720	4
ReDirect by Ms. Dustin	734	5
<u>Timothy King, M.D.</u>		
Direct by Ms. Dustin	735	12