

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

UNITED STATES DISTRICT COURT  
NORTHERN DISTRICT OF OHIO  
WESTERN DIVISION

UNITED STATES OF AMERICA, Docket No.: 3:19CR490

Plaintiff, July 13, 2021

v Toledo, Ohio

WILLIAM R. BAUER,

Defendant.

.....

TRANSCRIPT OF JURY TRIAL, VOLUME 5  
BEFORE THE HONORABLE JACK ZOUHARY  
UNITED STATES DISTRICT JUDGE

APPEARANCES:

On Behalf of the Plaintiff:

Ava Rotell Dustin  
Robert N. Melching  
Office of the U.S. Attorney  
Four SeaGate, Suite 308  
Toledo, Ohio 43604  
(419) 259-6376

Michael A. Sullivan  
Office of the U.S. Attorney  
801 Superior Avenue, W  
Suite 400  
Cleveland, Ohio 44113  
(216) 622-3977

On Behalf of the Defendant:

John B. Gibbons  
55 Public Square  
Suite 2100  
Cleveland, Ohio 44113  
(216) 363-6086

1 Orville E. Stifel, II  
5310 Franklin Blvd.  
2 Cleveland, Ohio 44102  
(216) 225-9855

3

4 Court Reporter:

5 Angela Nixon, RMR, CRR  
United States District Court  
6 1716 Spielbusch, Suite 118  
Toledo, Ohio 43604  
7 (419) 213-5518

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1 THE COURT: Welcome back. Everyone may be  
2 seated. We have a new nickname for this courtroom. It's  
3 Little Siberia. Give the jury a moment to organize their  
4 notes.

5 Counsel, you may continue with your examination  
6 of the witness.

7 THE COURT: Thank you.

8 DIRECT EXAMINATION CONTINUED

9 BY MS. DUSTIN:

10 Q. Good morning, Dr. King.

11 A. Good morning.

12 Q. Yesterday we spoke about James L, do you recall?

13 A. I do, yes.

14 Q. We discussed his prescriptions and the  
15 injections. Let me ask you one more question about James  
16 L. Should he have -- should Dr. Bauer had ever started  
17 James L on Controlled Substance medications?

18 A. No, he should have never been initiated on  
19 Controlled Substances. He was never a candidate for  
20 chronic opioid therapy.

21 Q. Why not?

22 A. Opening his file here, just a moment.

23 The reason is because James had a history of  
24 significant mental health co-morbidities and abhorrent  
25 behaviors even prior to seeing Dr. Bauer. He had

1 established himself as being an addict for alcohol, had a  
2 history of physical abuse, which predisposed him to ongoing  
3 addiction. And what he really required at initial  
4 treatment was referral for continued mental health care and  
5 addiction treatment. He did not need further and  
6 escalation of opioids.

7 Q. Let's now talk about James P.

8 Did you review Dr. Bauer's patient chart for a  
9 patient named James P?

10 A. I did, and, again, I don't have my key, if you  
11 could perhaps show me which one that is so I can pull it up  
12 appropriately.

13 Q. Yes. Dr. King, based on your review of the James  
14 P chart, did Dr. Bauer follow the standard of care for  
15 prescribing Controlled Substances?

16 A. He did not.

17 Q. And based on your review of the James P chart,  
18 did Dr. Bauer write prescriptions for Controlled Substances  
19 with a legitimate medical purpose and within the course of  
20 professional medical practice?

21 A. They were not.

22 Q. Let's look at Exhibit 913.

23 And as we're -- before we talk substantively  
24 about James P, I also want you to -- is this your forensic  
25 timeline?

1 A. Yes.

2 Q. And that is the same -- the same format as you  
3 prepared for the other patients?

4 A. That's correct, yes.

5 Q. Now, I also want you to look at Exhibit 946. And  
6 this is a first time we've looked at this exhibit.

7 What is 946?

8 A. 946 is a document or an Excel spreadsheet that I  
9 prepared that was an intermediary document between the  
10 forensic chronologies where I took information out of the  
11 chart itself, and then ultimately converted it to what  
12 we've seen from time to time where I have the checkmarks  
13 and the Xs where I give my ultimate decision about whether  
14 specific standard of cares were within or without the  
15 standard of care. This is an intermediate document that  
16 briefly, in a bullet point fashion, itemizes some, but not  
17 all of the observations that help me to decide ultimately  
18 whether a specific standard of care is within or without  
19 the standards, so this is an intermediate sort of bullet  
20 point that assists in making the final decision.

21 Q. And does this summary include bullet points and  
22 your conclusions with -- for each category with respect to  
23 all 14 patients?

24 A. It does, yes.

25 Q. So it's what supports your standard of care

1 summary?

2 A. That's correct.

3 Q. Okay. And let's go back to Exhibit 913.

4 Would you please describe James P?

5 A. James, at time of his last visit, was a 41 year  
6 old gentleman. He had been under care with Dr. Bauer for  
7 about nine-and-a-half years, which puts his initial age  
8 when he first began medical care with Dr. Bauer at about 30  
9 or 31. 31, 32, somewhere in that area. He was disabled.  
10 He complained primarily of, on his initial visit, of a --  
11 of a hip to toe pain, or leg pain, or back pain as a result  
12 of a motor vehicle accident. Over time, he complained of  
13 many additional issues, including muscle pain, thoracic  
14 back pain, cervical pain, sciatic pain, generalized  
15 backache and sacrum pain, a rotator cuff pain. He  
16 complained of quite a number of different things after the  
17 initial visit.

18 When we look at some of the red flag conditions  
19 that we've talked about extensively, to begin with the  
20 mental health co-morbidities, James had a history of  
21 depression, migraine headaches, paranoia, bipolar disorder,  
22 Schizophrenia, general anxiety disorder, and importantly he  
23 had a history of eight suicide attempts. So there are  
24 quite a number of issues there that are worthy of note.

25 In addition to that, he had physical

1 co-morbidities, he had a history of epilepsy or seizures,  
2 high blood pressure, dizziness, and he was -- he was -- he  
3 was on the border of being classified morbidly obese, and  
4 that has some medical concerns as well as we've talked  
5 about.

6 In terms of the psychosocial background, beyond  
7 the fact that we know he had multiple suicide attempts, I  
8 don't have any history of -- of what he had done in the  
9 past in terms of work or family relationships or past  
10 history of any suicide or substance abuse, we don't have  
11 that documented in the chart. But essentially he came to  
12 seek attention for diffuse, vague and ill defined spine  
13 pain with a history of significant mental health and  
14 suicide issues.

15 Q. And let's look at Exhibit 945.

16 Dr. King, based on your review of James P's  
17 chart, did Dr. Bauer adequately diagnose James P's medical  
18 issues?

19 A. He did not. He did not fulfill any of the  
20 standards of care in any of those 11 categories.

21 Q. Specifically with respect to diagnosis, did --  
22 did Dr. Bauer conduct a targeted physical exam of James P?

23 A. A physical exam was performed, but it was not  
24 indicative of any focus neurologic or musculoskeletal  
25 deficits to support a diagnosis.

1 Q. Did Dr. Bauer order, or conduct a clinical -- a  
2 complete clinical workup?

3 A. He did not. There were no indications of imaging  
4 tests or EMG studies to support diagnosis of structural  
5 back pain or sciatica.

6 Q. Based on your review of James P's chart, did Dr.  
7 Bauer adequately assess the risks of prescribing Controlled  
8 Substances to James P?

9 A. No, he most certainly did not incorporate that or  
10 adequately look at them. As I indicated, there were  
11 multiple suicide attempts that stood out and were major red  
12 flags.

13 Q. Was James P a good candidate for long-term opioid  
14 treatment?

15 A. James was never a candidate for long-term opioid  
16 therapy.

17 Q. Because of the co-morbidities, the mental  
18 co-morbidities?

19 A. Because of his history of mental illness and  
20 suicide attempts and failure to establish a -- an objective  
21 pain diagnosis.

22 Q. Did Dr. Bauer define a treatment plan for James  
23 P?

24 A. A specific treatment plan was never defined. It  
25 was default injections and opioids in the same manner that



1 we've seen cloned in the previous review of patients.

2 Q. Can you give us an overview of the opioids and  
3 other Controlled Substances that James P was prescribed?

4 A. I'm pulling up the document. Just a moment. I'm  
5 having difficulty pulling up the document that has the  
6 total numbers. I found it. I'm sorry, here it is.

7 James had a history of receiving 84 lumbar  
8 epidural injections, ten sets of trigger point --

9 Q. I'm sorry, I'm not talking about the injections  
10 right now, I'm talking about the opioids and Controlled  
11 Substances.

12 A. I'm sorry, I thought you said steroids. Would  
13 you rephrase the question, please?

14 Q. Can you give us an overview of the opioids or  
15 Controlled Substances that James P received from Dr. Bauer  
16 during his care?

17 A. Initially when he met with Dr. Bauer, he -- it  
18 was on approximately 45 to 50 morphine equivalents. That  
19 was a combination mostly of Percocet, Neurontin or  
20 Gabapentin, a non-opioid was part of that poly pharmacy  
21 regimen but mostly it was Oxycodone or Percocet. That was  
22 escalated over time.

23 Again, he was under care for nine-and-a-half  
24 years, but as time went on, that -- that dose -- that  
25 morphine equivalency was escalated up to 100 and then over

1 300. At one point, by calculation, when Duragesic or  
2 Fentanyl patch was added, it was as high as 725 morphine  
3 equivalents. The morphine equivalency varied over time,  
4 over the next couple years between 220 to somewhere around  
5 500.

6 On the -- on the last visit, on the final visit  
7 with Dr. Bauer in 2019, the morphine equivalency was about  
8 240 to 250 morphine equivalents, and that consisted  
9 primarily of medication, Oxycontin and Oxycodone, in  
10 combination with some additional medications, inclusive of  
11 Lyrica and Valium, a Benzodiazepine.

12 Q. And are the amounts of any significance to you?

13 A. They're very significant. As we've talked, that  
14 morphine equivalency is certainly well above the  
15 80-milligram, I think was referred to as a trigger point  
16 amount in the Ohio recommendations for use of opioids. And  
17 it certainly is way beyond the 90 milligrams recommended as  
18 a level of concern by the CDC. It's well into what I  
19 describe as the region of extreme concern.

20 Q. And you started to talk about procedures. Did  
21 Dr. Bauer administer any procedures to James P?

22 A. He did. Many procedures were administered. If  
23 you'd like, I can go back to the list I started to.

24 Q. Yeah, let's look at Exhibit 915.

25 And do you recognize 915? What is that there?

1 A. Yes, that's the timeline of steroids and  
2 injections that James received.

3 Q. And that -- is that -- how many pages is that  
4 one?

5 A. Well, it's a couple pages anyway. Oh, I didn't  
6 count.

7 Q. Can you give a summary?

8 A. I'm sorry, I didn't count them as you went  
9 through.

10 Q. Can you give us a summary of how many total  
11 numbers of injections James P received with respect to each  
12 type of injection?

13 A. He received approximately 84 lumbar epidural  
14 injections, ten trigger point injections, six trochanteric  
15 bursa injections, four doses of oral Prednisone and four  
16 sacroiliac joint injections.

17 Q. And do you find the type or number of injections  
18 significant?

19 A. They're excessive. They're clearly excessive,  
20 and they're associated with excessive administration of  
21 steroids as well.

22 Q. And tell us about his cumulative dose of  
23 steroids.

24 A. The cumulative dose -- the cumulative dose was  
25 approximately -- well, just shy of 5,400 milligrams,

1 5,392 milligrams to be specific, of Depo Medrol steroid  
2 equivalent over the course of time. That's a very high,  
3 very significant number.

4 Q. We talked about yearly doses of steroids I think  
5 early on in your testimony. Is there any limit, or any  
6 thought to limiting a person's lifetime dose of steroids?

7 A. There's been a great deal of discussion about  
8 what is the upper dose, what's the safest dose of steroids  
9 that can be administered to a patient, both on a yearly  
10 basis, as well as a lifetime basis. Those studies are not  
11 as objective as we would like, so they don't give us an  
12 exact number that says don't go beyond this number like we  
13 see with opioids that have been brought forth.

14 Nevertheless, there are guidelines, and I would refer to  
15 them as guidelines. The guidelines for -- for the general  
16 upper administration of steroid on a yearly basis is  
17 somewhere around 220 to 240-milligrams. I mentioned that  
18 yesterday. The guideline for a lifetime dose of steroids,  
19 assuming the patient doesn't have a disease that requires  
20 it in order for him to be alive, and there are situations  
21 like that, but a general guideline for a lifetime dose  
22 would be somewhere around 400, 3- to 400, maybe 500,  
23 somewhere in that range. And there are reasons for that,  
24 but, again, they're guidelines. We don't have exact  
25 numbers.

1 Q. Tell us, is there any -- any literature or  
2 discussion about lifetime risks for that high of steroids  
3 amounts?

4 A. Yes. And again, the lifetime risk is the correct  
5 way to think about that. Back in the olden days when I was  
6 going through pharmacology, steroids -- steroids have been  
7 around for a long time, just like opioids. And we  
8 understood way back then, even when I was in training, that  
9 there were lifetime risks associated with steroids. And I  
10 think I went through some of those yesterday, if I'm not  
11 mistaken, but just to key in on a couple bullet point red  
12 flags on the steroids.

13 We worry about worsening of mental health because  
14 we know the steroids can cause, or worsen, depression,  
15 anxiety, panic attacks. Steroids can cause psychosis,  
16 those are the important mental health. Steroids also cause  
17 gain, changes in sugar metabolism, which is particularly  
18 important if the patient is diabetic. It contributes to  
19 significant weight gain over time. The -- there are  
20 concerns with regard to the immune status of the patient,  
21 the patient loses immunity with steroid administration.  
22 And then the biggest, most critical thing of all, is the  
23 fact that adrenal suppression occurs. In other words, the  
24 body stops producing steroids because the person's getting  
25 so many steroids from an external source, so in the time of

1 crisis, the body's not able to mobilize steroids to meet  
2 the crisis. You don't hear about this, but it's critical  
3 in medication because that can create a situation where  
4 there's cardiovascular collapse, and the patient can die if  
5 they can't -- if they can't bring forth the steroids  
6 necessary to meet the physiologic stress of whatever it is,  
7 a heart attack or an accident or a fall or something of  
8 that sort. So adrenal suppression is something we are  
9 very, very concerned about because it robs the body of its  
10 ability to moderate and respond to stress that might occur  
11 over the course of a life. So those are the long-term  
12 concerns we have.

13 Q. And just, let's go back to the top of this  
14 exhibit, please. And we obviously it has a date, and then  
15 the injection type. So for the January 6th, 2009 when it  
16 says left L5-S1 what does that mean?

17 A. That's my abbreviation for an epidural injection.  
18 The point -- point of exact fact, it refers to Dr. Bauer's  
19 description of doing a transforaminal epidural steroid  
20 injection. Those are the types of epidurals that he  
21 performed, and my shorthand here is -- is a description of  
22 what level it was administered, or allegedly administered,  
23 on the spine.

24 Q. So those are epidural injections when you  
25 describe them in that fashion?

1 A. That's correct.

2 Q. Okay. And then the next category is what?

3 A. Next category in the gray there, trigger point  
4 injections.

5 Q. And going across the top on the continuum, the  
6 first -- the third column, what is the heading of the third  
7 column?

8 A. The third column is steroid, is that what you're  
9 referring to.

10 Q. Yes. What does that describe?

11 A. That describes the type of steroids. We actually  
12 have multiple types of steroids, and we can inject  
13 different types, and we choose which one we're going to  
14 inject based on various parameters. But as I talked  
15 yesterday, all the steroids can be compared in apples to  
16 apples comparison by converting them to a Medrol dose, so  
17 that's why we see Medrol equivalent in one column.

18 Q. In the last column, what's the cumulative Medrol  
19 equivalent?

20 A. That's the summation of all the Medrol  
21 equivalents, the various injections or oral administration  
22 of steroids as they occur over time.

23 Q. Looking at this page just in general, you talk  
24 about trigger point lumbar muscles.

25 A. Yes. Again, as -- as we went through these

1 charts, as I examined them, there were -- the documentation  
2 was -- was, in many cases, cloned, and it was not specific,  
3 and it didn't describe in any case the -- I don't know  
4 about in any case, but the vast majority of cases it did  
5 not describe the specific muscle that was injected. So as  
6 a reminder to me as to what area of the body was injected,  
7 I would sometimes put lumbar, in other words, low back,  
8 which is what I did in this case.

9 Q. So if it just says trigger point lumbar muscles,  
10 we just don't know exactly where?

11 A. We don't know which muscle was injected, it was  
12 not documented, correct.

13 Q. And in the regular course of practice, would you  
14 expect a chart to reflect where a trigger point Injection  
15 was given?

16 A. Yes. Standard of care requires that the specific  
17 muscle be identified. And I gave you several examples  
18 yesterday of specific muscles, but the whole point of a  
19 trigger point is it's isolated to a single muscle, and the  
20 pain that it causes is referred within a specific  
21 distribution unique to that muscle. And we have charts on  
22 our wall that I show to patients that show if you have a  
23 trigger point here, here's where your pain should be. So  
24 yes, it's important standard of care to define which  
25 muscles is being addressed when a trigger point is being



1 injected.

2 Q. Is there any risk when a steroid is injected  
3 repeatedly into the same muscle area?

4 A. We get into the risk of repeated steroid  
5 injection and the repeated dose of steroid, but  
6 additionally, the actual physical act of injecting the  
7 steroids can be a problem. For instance, we know that  
8 steroids repeatedly injected in one location break down the  
9 tendon, tendon rupture can occur. That has occurred over  
10 time very frequently, and that's not a good thing. There  
11 can be scar formation, there can be degeneration of the  
12 muscle, there can be change in pigmentation of the skin  
13 with excessive injection at the same point with steroids  
14 over time, so there are a number of local issues which,  
15 perhaps worse of which, is weakening of the tendon and  
16 tendon rupture.

17 Q. Looking at the first page, how you describe the  
18 epidural injections at L4 and L5, are all the predominant  
19 epidural injections on the first page. Is there any  
20 concern with repeated injections in the same area for  
21 epidurals?

22 A. Different considerations when compared to the  
23 trigger points, but the main take-home message here is  
24 there are multiple injections of the same area over time.  
25 And when we look at the chart, there's no improvement so

1 there's no rationale, as we put it -- as I put it,  
2 officially there's no medical necessity to repeat these  
3 injections. They didn't work initially, there's no reason  
4 to repeat them. And, again, then we run into the issue of  
5 concerns regarding cumulative steroid injection and running  
6 the risk each time an injection is done that the needle  
7 might be put in the wrong location. We went through that  
8 yesterday via nerve, blood vessels, spinal cord or spinal  
9 space, all kinds of places where you don't want to put a  
10 needle or inject any medication so those risks become  
11 accumulated as well.

12 Q. And then going to the next page, what are  
13 indicated SI joint at the very bottom, November 1st, 2011?

14 A. Yes, SI joint is our medical shorthand for  
15 sacroiliac, and you've heard that, but the sacroiliac joint  
16 is a specific joint. We have one on left side and one on  
17 the right side, and it's the juncture of where the sacrum  
18 and ilium come together. In one sense it's where the upper  
19 part of our body connects to the lower part of our body,  
20 very sizable joint, especially in women. It tends to be a  
21 source of pain that is treated mostly with physical therapy  
22 and maybe some manipulations, occasionally maybe  
23 diagnostically with one or two injections over time if  
24 there's an inflammatory component. But the sacroiliac  
25 joint is -- is typically a source, particularly in women,

1 that we look at as possible etiology of ongoing pain.

2 Q. And on this page, which is Page 2 of this graph,  
3 you have several milligram amounts highlighted in red. Can  
4 you explain that, why?

5 A. The -- the documentation of how much medication,  
6 how much steroid was injected was -- was not always clear.  
7 When I added that -- and that's why I made the notation in  
8 my notes that these are best estimates.

9 In this particular case, the trigger point  
10 injections received 80 milligrams of Solumedrol. That's a  
11 fairly high dose, and it -- to the best of my estimation,  
12 that's what was really administered, but that would serve a  
13 notation to me that that was -- that was a pretty high dose  
14 for trigger point injections.

15 Q. And did James P also receive oral steroids?

16 A. That's correct.

17 Q. And those are also highlighted in red?

18 A. Yes. So those are highlighted in red because, as  
19 you can see, those are pretty high numbers. To the best  
20 that literature and our -- and our pharmacy colleagues can  
21 tell us, the equivalency of injecting a steroid versus  
22 taking it orally is still the same. It's one thing if  
23 40 milligrams of Depo Medrol is injected in the form of an  
24 epidural, another thing if 80 milligrams is injected in the  
25 form of a trigger point injection, and yet another thing if

1 210 milligrams is taken orally. It still counts, it's  
2 still part of the summary calculation of a cumulative dose  
3 that can cause problems, including adrenal suppression over  
4 time.

5 Q. Okay. Now let's move to Page -- I think it's  
6 Page 7 of this chart graph. And keep moving down, down.

7 Did James P receive some rescue injections?

8 A. Yes. We haven't talked about that, but he  
9 received an inordinate number of what we call rescue  
10 injections.

11 Q. And what are rescue injections?

12 A. Rescue injections aren't an official -- well,  
13 it's a term that came from cancer pain treatment. If an  
14 individual was being treated for end of life cancer and the  
15 tumor was expanding, and we see this, we know this happens,  
16 let's say the individual's being treated with opioids,  
17 they're sort of marginally keeping things under control,  
18 and all of a sudden the tumor starts to impinge on a nerve  
19 or on an organ of the body, or invade the bone, and all of  
20 a sudden the pain becomes a whole lot different, or worse,  
21 and then requires rescue medication because of the change  
22 of what's happening in cancer. That term has been  
23 borrowed, and occasionally applied inappropriately to  
24 chronic pain management. We're not dealing with expanding  
25 tumors, but sometimes, as in this case, it references the

1 fact that even with high dose opioids, as James was  
2 receiving, he was still complaining of significant pain.

3 So in Dr. Bauer's terms, the patient would  
4 require rescue shots, and when James came into the office,  
5 he would receive these injections. And the rescue shot in  
6 this case was composed of Demerol plus Phenergan. And  
7 fairly high Demerol, it was like 100 milligrams each time,  
8 and Phenergan was not insignificant at 25 milligrams.  
9 Phenergan's non-opioid, it's a different type of medicine,  
10 it's an anti-nausea, anti-allergy type medicine, but it's  
11 also used in combination with opioids because it enhances  
12 the effect of the opioid.

13 So James received, over the course of time, by my  
14 count, 44 rescue injections. That sends a particular  
15 message too, the fact that he needed 44 rescue injections  
16 on top of his already high morphine equivalency that he was  
17 receiving.

18 Q. And what's significant about that being  
19 administered in conjunction with the opioids?

20 A. Well, I don't take the computations into account  
21 in this case. In other words, when I gave you those  
22 morphine equivalents, those really high morphine  
23 equivalents didn't include this, this just adds to that,  
24 and of course in the manner that's just not right. And we  
25 have to be concerned about the fact how much more damage

1 was being done by the addition of this -- this so-called  
2 rescue medication by elevating morphine equivalency, again,  
3 putting the patient at risk of Opioid-Induced Hyperalgesia,  
4 making pain worse, worsening depression, anxiety, insomnia  
5 and so on.

6 Q. Let's look at a couple specific injections.  
7 Exhibit 577, please.

8 And do you recognize what's depicted in this  
9 exhibit, Dr. King?

10 A. Yes, this is a very poor quality fluoroscopy film  
11 taken at time of injection. It represents the lumbar  
12 spine. It's a sideways' view, and I can make out the  
13 different disc spaces. The dark areas that you see there  
14 are -- is actually what we refer to as instrumentation.  
15 There are screws and plates and rods that have been placed.  
16 James has had a previous history of back operations, and  
17 what you see here are the -- are the mechanisms that are  
18 part of the fusion that he had at that time.

19 Q. And is this taken from James P chart?

20 A. Yes, it is.

21 Q. And does it indicate the date of the injection?  
22 You can see it, I know it's a little blurry, sorry.

23 A. 1-6-2015.

24 Q. Okay. Does it also indicate his name?

25 A. His name is up at the top as well, yes.

1 Q. And what type of injection was this?

2 A. This was indicated to have been transforaminal  
3 epidural and steroid injection.

4 Q. And Dr. Bauer administered this injection?

5 A. That's correct.

6 Q. And can you tell us whether or not you can  
7 decipher whether the injection was administered  
8 appropriately?

9 A. There's nothing on this photograph to indicate  
10 that the needle is placed correctly. In fact, there's no  
11 indication of a needle on here at all. There's no  
12 indication that any contrast dye was injected to verify the  
13 tip of the needle location and the location where the  
14 medication would have been delivered, that's not on here at  
15 all. And there's only one film here. It's what we call a  
16 lateral photograph. There's no AP, or anterior posterior,  
17 photograph or oblique photograph that would show us a  
18 needle position in three-dimensional space. So this ends  
19 up being inadequate for documentation of appropriate needle  
20 or medication placement.

21 If this -- I take that back. As I look at this  
22 in a slightly different perspective, it's awkward for me to  
23 see it, but as I look at it from a slightly different  
24 perspective, I do see a needle on here.

25 Q. Where do you see a needle?

1 A. Do I have the ability to write on here?

2 Q. Yes, you can use your finger to draw.

3 A. I'm drawing that line just slightly below the  
4 needle. To the extent I can tell, the tip of the needle is  
5 about the -- below that line, maybe a little bit further,  
6 but that's approximately where the needle ends, and the  
7 needle is ending well short of the transforaminal area in a  
8 manner similar to what I talked about yesterday. The  
9 foramen, or the ultimate target as described and dictated  
10 in Dr. Bauer's note, is actually right about here  
11 (indicating), and the one above is located about right here  
12 (indicating). But the needle is not even on the trajectory  
13 to address either of those target areas. This indicates  
14 that the needle is in the wrong location, and that the  
15 transforaminal epidural injection, in fact, was not what  
16 was performed. This was not an epidural injection at all.

17 Q. It was documented as an epidural?

18 A. Correct.

19 Q. But your opinion is that it was actually not --  
20 did not result in an epidural injection?

21 A. That's correct. Well, didn't result, that the  
22 needle is not placed in the epidural space by any route.  
23 So I don't -- in this case I don't even need multiple  
24 photographs to determine that because the needle is well  
25 short of the foraminal or epidural space. This -- this is



1 basically an injection into the muscles of the back. It is  
2 not an epidural injection of any sort.

3 Q. So you would -- let me ask you, with respect to  
4 contrast, was there any documentation in the chart that  
5 contrast dye was used?

6 A. No, there was no documentation in the procedure  
7 note that contrast was used.

8 Q. And was contrast dye used, could you tell that  
9 from looking at the photograph?

10 A. You can tell if contrast was used, it shows up.  
11 That's why we used it of course.

12 Q. Was any contrast dye used?

13 A. No, there's no contrast dye visualized in this  
14 photograph.

15 Q. Based on your review of the James P chart, did  
16 the injections, the epidural injections or the trigger  
17 point -- and the trigger point injections, result in any  
18 improvement in function or pain?

19 A. No, there's no indication that function or pain  
20 improved with the injections of any sort.

21 Q. Was what is described in the chart as an epidural  
22 injection that Dr. Bauer administered to James P on  
23 January 5th -- January 6th, 2015, was it administered with  
24 a legitimate medical purpose?

25 A. It was not.

1 Q. Why not?

2 A. Again, to go back to the question that you're  
3 asking me to explain why the injections were not  
4 appropriate?

5 Q. That particular injection on that day.

6 A. That particular injection did not verify that the  
7 needle was actually in the epidural space. An epidural  
8 injection was not performed.

9 Q. And it was not medically necessary?

10 A. There was no diagnosis to support the use of an  
11 epidural injection. There were no findings, either on MRI  
12 or electro diagnostics, or physical exam, that supported  
13 the medical necessity of performing an epidural injection.

14 Q. Let's look at 578. And what's depicted in this  
15 photograph?

16 A. Is there a way that I can get rid of my notation  
17 on there?

18 Q. Yes. Sorry.

19 THE COURT: Upper left.

20 COURTROOM DEPUTY: Right.

21 A. Thank you. This, again, is a single picture of  
22 the lumbar spine of James as -- in a procedure dated  
23 6-25-18 performed by Dr. Bauer. It's a very poor  
24 photograph. It doesn't document any needle placement. I  
25 can't see the presence of any needle. We can see on this

1 the vague outlines of the hardware that we talked about  
2 previously that was part of the fusion of the lumbar spine.  
3 I can see -- I can make out vaguely the disc spaces of a  
4 couple of the lower lumbar discs. I cannot make out the  
5 foraminal areas, but I know, from my anatomy, where they  
6 should be. So this is -- and I will show you where they  
7 should be.

8 Q. And is this Exhibit 578 taken from James P's  
9 chart?

10 A. Yes, it is, it's taken from the chart. And it  
11 accompanies the dictation, the procedure note by Dr. Bauer  
12 indicating that he was performing a transforaminal epidural  
13 injection. There's no verification here that an injection  
14 was done at all because I can't see any needle. There's no  
15 indication that medication was delivered to the epidural  
16 space because there's no needle, and there's no x-ray  
17 contrast dye. And the photograph is -- is only a single  
18 photograph. Again, we require multiple photographs to fix  
19 a needle, if the needle were there, to fix the needle and  
20 location and space.

21 Q. And was contrast used?

22 A. No contrast was used.

23 Q. Was this injection supported by the standard of  
24 care?

25 A. It was not. The injection was defined as a

1 transforaminal epidural injection. The documentation of  
2 fluoroscopy is meant to verify that that injection was  
3 performed as described. There's nothing on the photograph  
4 here that suggests the injection was performed as  
5 described. This is not representative of an epidural  
6 injection.

7 Q. And was the epidural injection that Dr. Bauer  
8 administered to James P on June 26th, 2018 medically  
9 necessary?

10 A. It was not medically necessary.

11 Q. Why not?

12 A. There's no diagnostic indication that an epidural  
13 was necessary, and there was no -- as I indicated  
14 previously, there's no supportive evaluation, such as MRI  
15 or electro diagnostic or physical findings, to support the  
16 use of an -- excuse me, support the use of an epidural  
17 injection.

18 Q. Thank you. So if we can remove the exhibit,  
19 please.

20 Let's go on to talking about Dr. Bauer's  
21 prescriptions and treatment of James P. Did you see any  
22 evidence in James P's chart that urine drug screens were  
23 conducted?

24 A. There was no -- well, there was documentation of  
25 one urine drug screen that was done in 2012. It was

1 inconsistent. It was abnormal. It indicated the presence  
2 of a medication not prescribed.

3 Q. And what medication was that?

4 A. Hydromorphone was the medication that was found.  
5 We know that under the brand name of Dilaudid.

6 Q. And do you know from the chart whether or not  
7 there was any type of consultation with James P or  
8 discharge or any -- what happened after that inconsistent  
9 urine drug screen?

10 A. There was no indication that there was any  
11 discussion regarding that abnormal finding. I will add  
12 that that -- just a moment. Let me look at one thing here  
13 for a moment.

14 No, there's no indication that that abnormal  
15 finding was discussed by -- by Dr. Bauer with the patient.

16 Q. Okay. Let's look at Exhibit 946. Let's look at  
17 the row for James P.

18 And with respect to urine drug screens, what is  
19 your summary of results, or in terms of how many were  
20 given?

21 A. He indicates here he was given -- he had three  
22 urine drug screens over nine years, and the one we just  
23 discussed was inconsistent for the presence of  
24 Hydromorphone or Dilaudid.

25 Q. And I think you earlier said one, is that

1 correct?

2 A. I did say one, yes.

3 Q. Okay. So can you explain, is it one or three?

4 A. What I -- I didn't say as clear as I should have,  
5 there was one inconsistent urine drug screen.

6 Q. I misunderstood you.

7 A. I may not have stated that clearly, that's what I  
8 meant.

9 Q. There were three total urine drug screens?

10 A. Correct.

11 Q. One was inconsistent, meaning what was the result  
12 of the other two?

13 A. The other two were presumed normal.

14 Q. Okay. Thank you.

15 Did you see any evidence in the chart that Dr.  
16 Bauer requested pill counts from James P?

17 A. I did not.

18 Q. And did you see any evidence of clinical  
19 improvement from looking at James P's chart?

20 A. No. There was absolutely no indication of  
21 clinical improvement, and I can delineate that if you'd  
22 like.

23 Q. Yes, please.

24 A. Again, as we've talked over the last day, we look  
25 at the VAS pain score, and we look at any improvement in

1 function. With regard to the VAS pain score, when James  
2 first presented to Dr. Bauer, his -- James described his  
3 VAS pain score as seven out of ten. Every visit thereafter  
4 over the course of nine-and-a-half years the pain was  
5 either same or higher. It got up to nine out of ten and  
6 then ten out of ten. And on the last visit, the -- the  
7 last visit with Dr. Bauer, it was described -- well, near  
8 the last visit with Dr. Bauer, it was described as ten plus  
9 out of ten. And thereafter when his care was taken over by  
10 Dr. Bauer's partner, Dr. Hassett, James described his pain  
11 as 20 out of ten. So there was no indication that the  
12 opioids were helpful from a VAS pain score or pain level  
13 standpoint.

14 With regard to function, James remained disabled,  
15 unemployed, and demonstrated no improvement in terms of  
16 function at any time.

17 Q. Why was James P continued on opioid therapy for  
18 years his quality of life wasn't improving?

19 A. He should not have been. He should not have been  
20 initiated on opioids because there was no diagnostic, no  
21 diagnosis that supported the use of opioids from the  
22 beginning. And as time went on, with the significant  
23 mental health issues, multiple, as I say, up to eight  
24 suicide attempts, he should not have been maintained on  
25 opioids at all, let alone on the high morphine equivalents.

1 He should neither have been started or maintained on opioid  
2 therapy.

3 Q. Let's look at any risk behavior he may have  
4 exhibited. Let's bring up 914, please.

5 What is 914?

6 A. 914 is my list. It's the abhorrent behavior  
7 timeline.

8 Q. The red flags?

9 A. The red flags, yes.

10 Q. Were there drug risk behaviors or abhorrent  
11 behaviors?

12 A. Yes, multiple ones over time.

13 Q. Let's look at the first page of 914.

14 And would you please just tell us about some  
15 significant risk behaviors you noted from the chart?

16 A. Well, I'll just hit a couple of the bullet points  
17 here, but he was always requesting sooner appointments --  
18 not always, he frequently requested sooner appointments to  
19 request additional medications. He was requesting Vicodin  
20 early. He was overtaking his Neurontin. I should mention  
21 Neurontin is Gabapentin, although that's not a scheduled  
22 Controlled Substance, we regard it as, what we call in the  
23 pain management practice, an enhancer. It enhances the  
24 euphoria associated with opioids. And we see this a lot  
25 out on the -- out on the street. Users like Neurontin



1 because it enhances the euphoria of whatever narcotic  
2 they're prescribed. So he was -- James was overtaking  
3 his -- his Neurontin, and that's a red flag, as well as  
4 overtaking his Vicodin. He is requesting care in the  
5 emergency room from time to time for additional, quote,  
6 severe pain management.

7           Again, multiple indications of overtaking the  
8 Vicodin, going to the ER, multiple indications of  
9 overtaking the medications, overtaking the medications,  
10 asking for early refills, wants more injections. And is --  
11 and James appears to be calling the shots. He appears to  
12 be telling Dr. Bauer what he wants. Oxycontin, Dilaudid,  
13 Oxycontin again. So he is -- it's always a red flag when  
14 the patient starts dictating what medications he wants,  
15 particularly if there's not a solid or objective reason why  
16 the medication should be switched, which there was not in  
17 this case.

18 Q.           Let's look at the next page of the red flag  
19 chart.

20           Any significant -- was there anything different  
21 than essentially what you've described?

22 A.           Some of the different things we observe here is  
23 that he's, again, talking about the medication being not  
24 effective. So he's, again, directing and requesting  
25 switching back to Methadone or Dilaudid, going back and

1     forth.  There's an incident here where he had a seizure.  
2     Seizures are always important because an increased risk of  
3     seizures are associated with our high MEQs or addicted  
4     patients.  They self prescribe, and sometimes when they  
5     withdraw themselves too quickly, they can precipitate  
6     seizures.  So seizures are always a red flag that have to  
7     be interpreted in the context of abuse and diversion.

8             So again, it's the same thing about demanding  
9     this, demanding that.  He was in the ER at one time  
10    demanding IV Dilaudid, and he signed out against medical  
11    advice, so he was not -- clearly he was not compliant with  
12    medical care across the board, Dr. Bauer, as well as the  
13    emergency rooms.

14    Q.           And he was also requesting early refills?

15    A.           Early refills throughout, yes.

16    Q.           And next page, anything significant?  Does this  
17    refer to OARRS?

18    A.           Yes.  He was -- he went to the -- James went to  
19    the pharmacy to request a refill of Soma, which you'll  
20    recall is part of the Holy Trinity and a very addictive  
21    muscle relaxer.  It indicated that the OARRS showed that he  
22    had received 140 of them, and the hospital gave him some  
23    additional ones, and Dr. Bauer gave him some additional  
24    ones.  And the wife, on that phone call, indicates that  
25    James must have been taking too many or misplaced them.  So

1 an examination of the OARRS at that time frame indicated  
2 that James was pharmacy shopping. He saw ten pharmacies,  
3 and he was doctor shopping in the sense that he was going  
4 to nine providers to obtain Controlled Substances. That's  
5 a major red flag.

6 Q. So I know earlier you said that you had some  
7 OARRS reports provided to you. Were these OARRS reports  
8 within the records or reports that you were looking at  
9 separately?

10 A. Both. There were sometimes when the OARRS  
11 reports were in the chart as I recall. The majority of the  
12 ones that I reviewed were the ones provided by the  
13 government that were not in the chart.

14 Q. And let's look at the third page. Any other  
15 significant? I mean, obviously they're all significant,  
16 but are there any different -- anything different that  
17 appears on this page?

18 A. I guess what I would emphasize to the jury here  
19 is we're seeing a repetition here where we have to kind of  
20 stand up and say, wow, this is over the top.

21 There's one comment that was made in 2012 where  
22 James says pain is gone when I'm unconscious. I don't know  
23 what stronger red flag you need to indicate that the  
24 opioids are not working and likely contributing to some of  
25 the problems that are described here that really define

1 abuse and addiction. But again, we see the same pattern  
2 here of early refills, the pain medications aren't working,  
3 I want more. The patient seems agitated today, which is  
4 probably withdrawal and consistent with the other comment I  
5 made about seizures being a possible indicator of  
6 medication withdrawal. This is just a litany of almost all  
7 the red flags you can imagine that would be suggestive of  
8 ongoing addiction and abuse.

9 Q. And direct your attention to March 26th of 2015.  
10 Did -- there's evidence in the chart that James P was  
11 agitated?

12 A. Yes.

13 Q. And what happened that date?

14 A. Again, I pointed that as well too. He was -- he  
15 was indicating -- well, the note here indicates that he was  
16 very sweaty and labored breathing and in a lot of pain.  
17 That's, unless otherwise specified, that's an indication of  
18 withdrawal.

19 Q. And he was demanding something that day?

20 A. He was -- well, he was demanding to be seen,  
21 which, according to the rhythm of what he's requested in  
22 the past, he's going to follow that up with I want more  
23 pain medications.

24 Q. And just two months later did James -- James  
25 lose -- James P lose his medications?

1 A. Yes.

2 Q. And what did he say on May 20th, 2015?

3 A. It indicates that the patient comes to the office  
4 stating wife dropped some of his meds down the sink and he  
5 needs a refill date changed to today instead of tomorrow.  
6 It's basically the requesting early refill claiming his  
7 wife dropped his medications down the sink.

8 Q. Let's move on to the graphs.

9 And what's -- what's depicted in the next two  
10 pages?

11 A. The next two pages, as the jury I think is  
12 familiar with now, that's an indication of my timeline  
13 indicating red flag events on the top, injections or oral  
14 steroids on the bottom, and then I don't think in this  
15 particular set of graphics I showed the morphine  
16 equivalency, but it's red flag conditions on the top and  
17 injections and steroid doses on the bottom.

18 Q. Actually I'm sorry, that's three pages, correct?

19 A. Correct.

20 Q. And this just plots all of the different red  
21 flags and injections, correct?

22 A. Well, yes. I should point out, because the jury  
23 may ask this, why do I have black flags amongst the red  
24 flags. The black flags, if you look at it, represent the  
25 suicide attempts.

1 Q. Between 2012 and 2014, as displayed on this  
2 chart, were there any suicide attempts by James P?

3 A. Yes, during this time frame there were four  
4 suicide attempts. And the important thing there not to  
5 lose the context is that those suicide attempts occurred in  
6 the middle of other multiple abhorrent behaviors where  
7 medications were requested early, and there were other  
8 evidences, as you see there, where it says self harm, and  
9 there were mental status concerns. So it was in an  
10 environment there where any prudent physician would have  
11 said opioids need to stop.

12 Q. And do you yourself have a -- your own summary of  
13 James P's suicide attempts?

14 A. Yes, I have a separate summary of the suicide  
15 attempts.

16 Q. Would you tell us the date of the suicide attempt  
17 and what your -- and the -- what type of attempt of suicide  
18 it was? And let me ask you, before you do that, where did  
19 you get that information?

20 A. All that information came out of the chart.

21 Q. So if you could just summarize the date and the  
22 attempt?

23 A. So beginning in August of 2010, the first  
24 documented suicide attempt was by slashing of the wrist.

25 Two months later in October of 2010, a second

1 suicide attempt was made by taking an overdose of  
2 methadone.

3 The next documented suicide attempt was in June  
4 of 2013, which was attempted by taking an overdose of Soma,  
5 or Carisoprodol.

6 The next suicide attempt one month after that in  
7 July of 2013 was, again, an overdose attempt and of the  
8 medication which is not defined.

9 The next overdose attempt's July 2014, the next  
10 year, was attempted again with cutting himself.

11 The next suicide attempt on -- in October of 2014  
12 was attempted by taking an overdose of his medication  
13 Lyrica.

14 The seventh overdose attempt was in April of  
15 2015, which was attempted with an overdose of Depakote.

16 And the last overdose that we have documented was  
17 in December of 2015, which was an attempt of overdose --  
18 was a suicide attempt by taking an overdose of Tegretol.

19 Q. And based on your review of James P's chart, and  
20 your -- is this -- is it consistent with the standard of  
21 care to continue opioid prescriptions after a patient has  
22 one or more suicide attempts?

23 A. It's inconsistent -- it's inconsistent to, based  
24 on the standard of care, to continue opioids at all after a  
25 single suicide attempt, let alone multiple medication

1 overdoses. It's completely outside the standard of care.  
2 It's outside the practice of medicine to do so, I would  
3 state it that way as well.

4 Q. And did ultimately James P's treatment end with  
5 Dr. Bauer in August of 2019?

6 A. Yes.

7 Q. All right. I want to show you some exhibits,  
8 prescription exhibits, for you to identify.

9 Exhibit 527, what is that?

10 A. This is a prescription issued by Dr. Bauer on  
11 12-1-18 for Oxycodone, 15 milligrams to be taken four times  
12 a day issued to James P.

13 Q. Okay. And you said -- it says due, and what's  
14 the date that it was actually issued?

15 A. It was -- it was issued 12-1-18.

16 Q. Is there another date on there, to the right of  
17 the --

18 A. I'm sorry, I was looking in the wrong location,  
19 yes. The date was actually issued -- let me rephrase this,  
20 the prescription was actually issued on 10-23-18 --

21 Q. Thank you.

22 A. -- and it was filled on 12-1-18.

23 Q. Doesn't it say due 12-1-18 due to increased pain,  
24 due 12-1. What does that mean?

25 A. Well, I think that references the fact that



1       whereas the prescription was given to the patient on  
2       10-23-18, the prescription was not meant to be filled until  
3       12-1-18, which it appears that was the date it actually was  
4       filled.

5       Q.           My question is, does it sound like he was given  
6       this medication because his pain increased?

7       A.           Oh, yes, the indication there is that there --  
8       there's a concern about increased pain as it clearly states  
9       there why he.

10      Q.           Is that consistent with the standard of care?

11      A.           No. James has -- to go back to what we talked  
12      about in terms of opioid trials, James has a lengthy  
13      history of failed opioid trials, so the fact that his pain  
14      is still high as we reference with the VAS scores, the fact  
15      that there's no increase in function and the fact that the  
16      pain is still increasing, based on what we see here,  
17      there's no medical foundation for the use of continued  
18      opioids. That would be outside the usual course of medical  
19      practice. It would not be the practice of medicine.

20      Q.           All right. Let's look at Exhibit 528.

21                   What is that?

22      A.           This is a prescription issued to James P by Dr.  
23      Bauer. It was issued on 10-23-18, same date we just  
24      referenced.

25      Q.           For what?

1 A. For Oxycodone 15 milligrams to be taken four  
2 times a day.

3 Q. And then Exhibit 529.

4 What is this?

5 A. This is a prescription issued to James P by Dr.  
6 Bauer, Oxycodone 15 milligrams to be taken four times a  
7 day, same date, 10-23-18 is the date the prescription was  
8 issued.

9 Q. And 530.

10 A. This is a prescription issued to James P by Dr.  
11 Bauer on 10-23-18, the same date we were referring to for  
12 Oxycontin, 40 milligrams to be taken three times a day.

13 Q. 531, , please?

14 A. This is a prescription issued to James P by Dr.  
15 Bauer on 10-23-18 for Oxycontin 40 milligrams to be taken  
16 three times a day.

17 Q. And that's extended release?

18 A. That's extended release, correct.

19 Q. And Exhibit 532?

20 A. This is a prescription issued to James P by Dr.  
21 Bauer on 10-23-18 for Oxycontin, extended release Oxycodone  
22 40 milligrams to be taken three times a day.

23 Q. Exhibit 533, please?

24 A. This is a prescription issued to James P by Dr.  
25 Bauer on 11-14-18 for methadone, 5 milligrams to be taken

1 three times a day.

2 Q. Exhibit 534, please?

3 A. This is a prescription issued to James P by Dr.  
4 Bauer on 12-11-18 for Diazepam, which we know brand name of  
5 Valium, 5 milligrams to be taken three times a day.

6 Q. And 535?

7 A. This is a prescription issued to James P by Dr.  
8 Bauer on 12-11-18 for Lyrica, 100 milligrams to be taken  
9 three times per day.

10 Q. Dr. King, were the Oxycodone, Oxycodone ER,  
11 Methadone, Valium and Lyrica prescriptions written on the  
12 dates we just reviewed, October 23rd, 2018, November 14th,  
13 2018, December 11th, 2018, were they prescribed to James P  
14 and dispensed with a legitimate medical purpose and within  
15 the course of professional medical practice?

16 A. They were not.

17 Q. Why not?

18 A. A legitimate pain diagnosis for James P was never  
19 established. As we monitor James' care over the course of  
20 time and look at his VAS pain scores and his level of  
21 function, the pain scores intended to increase. There was  
22 no indication that function improved. There was no  
23 indication that quality of life improved. There was no  
24 legitimate medical foundation for the use of these opioids  
25 because James had failed multiple opioid trials with

1 multiple medications and was not a candidate to begin with  
2 for opioid therapy because of his mental health and suicide  
3 history.

4           These medications were issued in support of  
5 dependency and addiction, and not for a legitimate medical  
6 purpose. The medications were issued not in the usual  
7 course of medical practice.

8 Q.           Before we talk about the next patient, are you  
9 familiar with an article Pain The Fifth Vital Sign?

10 A.           I am. Well, I'm familiar with the concept, yes.

11 Q.           Tell us about the concept and when the concept  
12 was brought in to medical -- the medical field.

13 A.           You may have heard reference to pain is a fifth  
14 vital sign over the years. This was a concept that was  
15 introduced in, I'll say the late 1990s, and it was  
16 introduced as part of a discussion whereby physicians were  
17 asked to consider the patient's pain. There was some  
18 perception that maybe as providers we weren't really asking  
19 the patient and taking seriously their complaints of pain.  
20 So in the late 1990s a comment was made at one of the pain  
21 conferences that maybe we should look at a pain score or  
22 ask the patient their -- their rating of pain in the same  
23 manner that we take vital signs. Vital signs are, like,  
24 blood pressure, pulse, temperature, that sort of thing,  
25 things that we can actually measure in the office and get a

1 handle on. As a result of that discussion that we should  
2 maybe be taking patients' complaints of pain a little more  
3 seriously. And I won't go into the whole history, the  
4 concept of pain as a fifth vital sign was brought up. It  
5 was erroneous and caused all kinds of problems from the  
6 very beginning.

7           And in an attempt to try to get a number to  
8 represent a person's pain, we reverted -- or people  
9 reverted to the VAS pain score, which we've talked a lot  
10 about. But the VAS pain score is your index of suffering,  
11 so it includes your psychosocial problems, includes your  
12 mental health problems, includes the fact that you didn't  
13 sleep well last night and you got up and felt crummy. So  
14 when we ask people what their VAS pain score is, we  
15 understand that's their total number that tells us how bad  
16 they feel. It's not an indicator of how much Morphine they  
17 need. It was meant originally as a way to be aware, as a  
18 provider, that you're still not feeling good. But it's not  
19 meant to be an index whereby I prescribe you more and more  
20 opioids, which is what happened, which is what happened.

21           So within a year or two after that concept was  
22 introduced in, like, 2000, 2001 by the Joint Commission of  
23 Healthcare accreditation people, and I know you don't know  
24 that agency, but it's a big agency, and they said -- they  
25 said we need to take pain seriously, you need to take a

1 pain score. And within a year or two after introducing  
2 that pain is a fifth vital sign, they shut it down because  
3 what happened? Patients were getting way more opioids than  
4 were appropriate. More people started being admitted to  
5 the emergency room for overdose, there were longer stays in  
6 the hospital as a result. People in the recovery room  
7 after anesthesia were given more medication, more opioids  
8 to try to address their -- their pain as they were waking  
9 from anesthesia, and they were staying in the recovery room  
10 long, they were staying in the hospital longer. The whole  
11 thing backfired.

12 So the joint commission shut that down about a  
13 year after it started and formally shut down any reference  
14 to it over the course of the next couple years. And the  
15 American Medical Association completely shut it down by  
16 2016. It was a bad attempt -- well, it was a well-meaning  
17 attempt for us as providers to be aware that maybe we  
18 weren't paying as close attention to people's personal pain  
19 as we could, but it was erroneously assumed to be a reason  
20 why more and more opioids should be prescribed, and it was  
21 never meant as that, but that's what happened, and all  
22 these bad things happened, so eventually it got totally  
23 shut down about 2016.

24 Q. Let's look at another patient.

25 Did you review a patient chart for a patient by

1 the name of McKinley?

2 A. I did, yes.

3 Q. And based on your review of the McKinley chart,  
4 did Dr. Bauer follow the standard of care for prescribing  
5 Controlled Substances?

6 A. I'm sorry, if you give me a moment, I just need  
7 to pull that up.

8 Q. Sure.

9 A. Again, we're referencing the patient by the name  
10 of McKinley.

11 Q. Yes.

12 A. I'm sorry, would you repeat your question, or  
13 restate your question?

14 BY MS. DUSTIN:

15 Q. Based on your review of the McKinley chart, did  
16 Dr. Bauer follow the standard of care for prescribing  
17 Controlled Substances?

18 A. He did not.

19 Q. Based on your review of the McKinley chart, did  
20 Dr. Bauer write prescriptions for Controlled Substances  
21 with a legitimate medical purpose and within the course of  
22 professional medical substance?

23 A. He did not.

24 Q. And let's look at Exhibit 931.

25 Dr. King, did you prepare a forensic timeline to

1 summarize McKinley's chart?

2 A. I did, yes.

3 Q. And would you describe McKinley, please?

4 A. Mr. McKinley was 66 years old at time of his last  
5 visit with Dr. Bauer. He had been under care with Dr.  
6 Bauer for about four-and-a-half years, which indicated he  
7 was about 61 or 62 years of age for the initial visit. He  
8 was disabled. His initial diagnosis in terms of pain was  
9 history of a stroke with left paralysis and chronic pain.  
10 He was described with additional diagnosis of pain during  
11 the multiple years of care to include fibromyalgia, central  
12 pain syndrome, muscle spasms, migraine headaches, brachial  
13 plexus and thoracic pain and neck pain, so there were a  
14 multiple dispirit complaints, none of which -- well, we'll  
15 come back to that.

16 He was treated -- excuse me. His past medical  
17 history, his past history of mental health included  
18 depression, anxiety and dementia.

19 His physical co-morbidities for his health  
20 conditions that needed to be considered prior to committing  
21 to opioid therapy included Hepatitis C, history of stroke,  
22 as I mentioned with -- with paralysis, high blood pressure,  
23 hypertension, he also had significantly end stage renal  
24 failure, kidney failure and was on dialysis. He also had a  
25 history of seizures, a history of diabetes and a history of



1 anemia.

2 He also had a history of IV drug abuse in the  
3 past, and he had a history of alcohol abuse and was a  
4 former smoker, which, I guess pales in comparison to the  
5 alcohol and IV drug abuse, but, nevertheless, all those  
6 things were of concern as part of his past history.

7 Q. Did you prepare a forensic summary of your  
8 findings regarding McKinley?

9 A. I did, yes.

10 Q. And Exhibit 945, please.

11 Is this the summary exhibit you prepared?

12 A. It is, yes.

13 Q. And is McKinley the last row of that summary?

14 A. It is, yes.

15 Q. Based on your review of the McKinley chart, did  
16 Dr. Bauer adequately diagnosis McKinley's medical issues?

17 A. He did not.

18 Q. Now, in looking at the chart on the bottom, I see  
19 that it refers to a green check under clinical workup.  
20 What does that mean?

21 A. That means --

22 THE COURT: I'm going to pause you for a moment.

23 Can you try and blow up when the witness is referring to a  
24 specific part of a chart and make it easier to read?

25 Appreciate that.

1 BY MS. DUSTIN:

2 Q. Thank you. Perfect.

3 A. So the green check under clinical workup asks the  
4 question were appropriate imaging studies or evaluations  
5 done in the workup of the patient. And in this case there  
6 appeared to be an adequate neurologic evaluation.

7 Q. And what type of evaluation did you say there  
8 was?

9 A. Neurologic evaluation.

10 Q. Okay. And with respect to the other two parts of  
11 diagnosis, did -- were -- was there a targeted physical  
12 exam?

13 A. There was a targeted physical exam, which  
14 demonstrated the patient was -- was paralyzed. But there  
15 was no support for a diagnosis that would be considered  
16 objectively treated with chronic opioids.

17 Q. And was there a pertinent clinical history?

18 A. The clinical history was not properly assessed.  
19 As I indicated, there were multiple issues there associated  
20 with past medical history of the patient that were not  
21 taken into consideration.

22 Q. And that would be the second column?

23 A. That's correct.

24 Q. So ultimately, although there was a neurological  
25 workup, and you did check clinical workup, did Dr. Bauer

1 support the diagnosis for McKinley?

2 A. A precise diagnosis was not established. And as  
3 I indicated when I talked about the various pain complaints  
4 of the patient, which included everything from fibromyalgia  
5 and muscle spasms to rotator cuff, brachial plexus pain,  
6 thoracic pain, neck pain, those were not supported as  
7 diagnosis.

8 Q. This patient had a stroke, right?

9 A. Correct.

10 Q. Wouldn't that be enough to support the diagnosis?

11 A. Well, one would have to ask the question which  
12 diagnosis are we talking about. It's fair to say that a  
13 patient might have multiple diagnosis, both medically and  
14 with regard to pain. But the reason we're here today is to  
15 ask was there a diagnosis established with regard to pain  
16 such that opioids were an appropriate choice, and the  
17 answer is no. He did have multiple diagnosis, none of  
18 which were adequate or generally accepted for treatment by  
19 chronic opioid therapy.

20 Q. Would there be -- in this case, was there  
21 evidence in the record that McKinley had a thalamic stroke?

22 A. There's indication that he had a thalamic stroke,  
23 and I'm sure the jury's not familiar with that.

24 Q. What is a thalamic stroke?

25 A. A thalamic stroke is an area of the brain which,

1 when the stroke occurs, can cause what we call a central  
2 pain syndrome, or neuropathic-type pain a nerve-type pain.  
3 And in a case like that, we find -- first of all, it does  
4 happen, and it's difficult to treat. We find that it's not  
5 appropriately or adequately treated with opioids. Some of  
6 the other non-opioid medications are generally our first  
7 line and primary choices when we're trying to treat it,  
8 things like Neurontin or Lyrica, or perhaps some of the  
9 Tricyclic or other types of anti-depressants. Opioids are  
10 not a major player and not generally accepted as a major  
11 tool for treatment of thalamic pain.

12 Q. Based on your review of the McKinley chart, did  
13 Dr. Bauer adequately assess the risks of prescribing  
14 Controlled Substances to McKinley?

15 A. He did not.

16 Q. Did McKinley have a history of mental illness?

17 A. He did, yes.

18 Q. Did have medical co-morbidities?

19 A. Several significant ones, yes.

20 Q. Was McKinley a good candidate for long-term  
21 opioid treatment?

22 A. He was not.

23 Q. Based on your review of McKinley's chart, was  
24 there a defined treatment plan?

25 A. The treatment plan was primarily one of steroid

1 and opioids, again, the same sort of combination we've seen  
2 in the past. But there was no indication that there was a  
3 multi disciplinary or individualized treatment plan  
4 documented in the medical record.

5 Q. And then how did you rate the treatment plan with  
6 respect to your standard of care chart, if you look at the  
7 continuum?

8 A. Oh, the -- the -- defined treatment plan I gave  
9 him a check because it was not inappropriate to trial a low  
10 dose of opioids to begin with. But in the end it was  
11 recognized that they were unhelpful and should not have  
12 been continued.

13 Q. Based on your review of McKinley's chart, did you  
14 identify concerns with the types or combination of drugs  
15 prescribed to McKinley?

16 A. There were multiple combinations, yes.

17 Q. And would you explain to us the morphine  
18 equivalence?

19 A. The morphine equivalence initially when -- when  
20 McKinley presented for care were 30 morphine equivalents,  
21 rather modest amount. As time went on over the course of  
22 four-and-a-half years of care, that -- that was escalated  
23 up to a high of 157 morphine equivalents, and then  
24 ultimately brought down towards the end Dr. Bauer's  
25 participation with the patient to about 67 morphine

1     equivalents.

2     Q.           Did Dr. Bauer meet the standard of care for  
3     morphine equivalents based on your assessment and -- of  
4     your standard of care continuum here?

5     A.           Okay. I'm not sure -- oh, I gave him a green  
6     check mark there, although that 67 morphine equivalents was  
7     moderately high, as we've talked about, somewhere around 40  
8     to 50 elevates the risk of overdose and long-term concerns  
9     about two to three times. Nevertheless, this individual  
10    was -- was suffering, and it was not inappropriate to at  
11    least put him on a trial of opioids to begin with.

12    Q.           Did you have any concerns about any of the  
13    combinations of opioids or Controlled Substances prescribed  
14    to McKinley?

15    A.           Yes. There was concern in the -- particularly in  
16    the beginning. There was a combination of Oxycodone and  
17    very high dose of Neurontin and Trileptal. That poly  
18    pharmacy combination is one that may or may not be  
19    indicated, depending on the patient's health status, but it  
20    is a combination that is associated with drug abuse,  
21    euphoria and addiction and overdose.

22                Later I had concerns about the combination of  
23    Oxycontin, along with Opana. That was a combination that  
24    raised red flags from the safety standpoint and a  
25    respiratory depression standpoint.

1           Later there was a combination of Oxycontin and  
2 Morphine, which would raise the same concerns as the MEQ  
3 approached 100.

4           The other issue is that the patient had a -- had  
5 kidney failure and was on dialysis, so the metabolism of  
6 these medications was likely to be unknown at best. So the  
7 adage that I mentioned yesterday about start low go slow  
8 certainly was something that would want to be addressed  
9 here. These morphine equivalents are not particularly high  
10 relative to some of the ones we've seen, but in a patient  
11 who's had a history of Hepatitis, liver isn't working  
12 presumably very well, and we know the kidneys aren't  
13 working at all and can't excrete the medications that are  
14 given, I think the morphine equivalency has to be seen as  
15 perhaps excessive in this type of patient.

16 Q.           Did Dr. Bauer administer any procedures to  
17 McKinley?

18 A.           Yes. There were a moderate number of procedures,  
19 approximately 15 different procedures.

20 Q.           All right. Let's look at Exhibit 933.

21           And what types of procedures?

22 A.           These procedures largely considered were trigger  
23 point injections and what Dr. Bauer considered brachial  
24 plexus injections.

25 Q.           And this is a summary that you prepared of the

1 injections Dr. Bauer administered to McKinley?

2 A. Correct.

3 Q. And going back to the first page, and these were  
4 between June of 14 and April of 16?

5 A. That's correct.

6 Q. And what was the cumulative amount of steroid  
7 that -- lifetime steroids that McKinley received during  
8 that time period?

9 A. Over the course of two years, McKinley received  
10 approximately 600 steroid equivalents.

11 Q. Okay. I said lifetime, I meant to say those two  
12 years.

13 And let's look at the bottom of that exhibit, the  
14 next page. How many total injections did McKinley  
15 receive --

16 A. Fifteen.

17 Q. -- from Dr. Bauer?

18 A. He received 15 injections.

19 Q. And what were they?

20 A. Trigger point injections, which eight were  
21 performed, brachial plexus injections of which six were  
22 performed, and a shoulder injection.

23 Q. Based on your review of the McKinley chart, did  
24 they result in any improvement in function or pain?

25 A. No, there was no indication that either pain, in



1 terms of VAS pain score, or function improved.

2 Q. And do you have an opinion of whether or not the  
3 injections were medically necessary?

4 A. There's no indication to support the medical  
5 necessity of these -- of these medications, rather, these  
6 injections. And -- and, again, there was no indication to  
7 continue them since there was no significant improvement  
8 with any of them.

9 Q. All right. Now, let's go on to your compliance  
10 enforcement and outcome parts of your standard of care  
11 summary.

12 Did you see any evidence in the chart that urine  
13 drug screens were conducted?

14 A. If I can ask when we blow those up -- well, I  
15 just -- I guess we can't have it all. I need to know which  
16 row we're looking at.

17 Q. We're looking at the first row.

18 A. I'm sorry, it's awkward for me to read. That's  
19 why I was asking.

20 Q. Right to the left, the urine drug screens.  
21 Referring back to your other --

22 A. So yes, as far as I know from the documentation  
23 in the chart, there were no urine drug screens performed.  
24 I'm sorry, let me rephrase that. There was a single urine  
25 drug screen performed during the four-and-a-half years of

1 care, and it was negative for all medications at that time,  
2 which would have made it inconsistent.

3 Q. And what's the concern about it being negative?

4 A. Being negative meaning the medications were not  
5 present, and one would expect the medications that were  
6 prescribed to be present. But having failed the urine drug  
7 screen to show they're present, one has to ask the  
8 question, well, what's going on with the medications, is  
9 the patient taking them, is somebody else taking them and  
10 not giving them to the patient, but there's an issue here  
11 that needs to be resolved since the medications were not  
12 shown to be present on that urine drug screen.

13 Q. Did you see any evidence in the chart that Dr.  
14 Bauer requested pill counts from McKinley?

15 A. No.

16 Q. Did you see any evidence of clinical improvement  
17 from the chart?

18 A. No.

19 Q. With regard to function, did McKinley have any  
20 meaningful improvement in pain or function based on your  
21 review of the record, the chart?

22 A. He did not. Despite being treated with the  
23 various injections and the medications that we reviewed,  
24 his initial VAS score, which was between six and ten out of  
25 ten, remained the same throughout. The last documented VAS

1 score we have was seven out of ten. They did not improve,  
2 and, again, there was no indication of functional goals or  
3 functional improvement or quality of life improvement  
4 during the time of his care and treatment with injections  
5 and opioids.

6 Q. Now, going back to the PDMP row of your standard  
7 of care summary, what was your conclusion about whether  
8 McKinley -- the standard of care was met with McKinley for  
9 that factor?

10 A. There was a PDMP that was reviewed.

11 Q. And that means -- what does PDMP --

12 A. Again, that's the OARRS. The Ohio OARRS  
13 basically it's a prescription drug monitoring program to  
14 review who is prescribing for the patient in terms of  
15 Controlled Substances and what was being prescribed.

16 Q. And based on your conclusion and looking at the  
17 last row, did you decide whether or not Dr. Bauer met the  
18 standard of care for that factor?

19 A. He did, yes.

20 Q. And you gave him a green checkmark?

21 A. Yes.

22 Q. And that would indicate that there were not  
23 multiple pharmacies or multiple providers or poly pharmacy?

24 A. That's correct.

25 Q. Well, if McKinley didn't have any meaningful

1 improvement in pain or function, why was he continued on  
2 opioid therapy if his life wasn't -- quality of life wasn't  
3 improving?

4 A. He should not have been. As I indicated earlier,  
5 I think trial on low dose opioid was not inappropriate, but  
6 that would have been part of an opioid trial, and it  
7 failed. He didn't have any improvement even when it was  
8 escalated by three times from 30 morphine equivalents to  
9 97, so there was no foundation for the use of opioids  
10 thereafter.

11 Q. Were there any drug risk behaviors or abhorrent  
12 behaviors displayed by McKinley based on your review of the  
13 chart?

14 A. The abhorrent behaviors were --

15 Q. Were there?

16 A. Not current, there were past abhorrent behaviors,  
17 yes.

18 Q. Okay. Well, let's look at Exhibit 932.

19 And what is that?

20 A. That's the red flag timeline that I constructed.

21 Q. Okay. And does that reflect risk behaviors or  
22 abhorrent behaviors?

23 A. It definitely does, yes.

24 Q. Okay. And what were they?

25 A. To begin with, again, he had a -- McKinley had a

1 history of significant mental illness, including depression  
2 and anxiety, which made him a suboptimal candidate for sure  
3 for use of chronic opioids, and it was noted that he had  
4 been poorly responsive to opioid type medications, but he  
5 still received high doses, well, relatively high doses  
6 given his kidney failure.

7 He also received Neurontin and Gralise, which I  
8 indicated are enhancers with regard to the euphoria or  
9 addiction capability of opioids. His wife kept requesting  
10 a higher dose of Vicodin, even though the Vicodin was noted  
11 to be ineffective. The fact that the patient was on kidney  
12 dialysis and could not excrete the medications in a normal  
13 fashion from his body is of great concern. And then we get  
14 into some situational issues with the family here where it  
15 appears that the patient -- well, you can read it here, the  
16 patient was kidnapped by the son and taken to Mississippi  
17 for a month. And a note that says the patient was  
18 noncompliant at home with dialysis and home meds, despite  
19 end stage renal failure. So there was a noncompliance with  
20 a very critical treatment option here, which was dialysis.

21 There was no indication that -- and we talked  
22 about this, the opioids or any of the medications or  
23 injections certainly were not working because of this note,  
24 unable to increase activities or to decrease usage of pain  
25 medications, canceled appointments, and then the

1 observation that the family was diverting his Oxycodone  
2 scripts, and McKinley was not apparently receiving the  
3 Oxycodone. It was coincidentally noted that the wife and the  
4 daughter were patients of Dr. Bauer as well, and that  
5 creates a red flag for --

6 Q. How is it a red flag when there's multiple family  
7 members as patients?

8 A. It has been long recognized that when families  
9 are co-dependent on opioids, and often describe the same  
10 sorts of back pain or pain problems, that there is issues  
11 of abuse and possible diversion going on. This is a red  
12 flag that has been noted, certainly by the DEA back in 2006  
13 in their handbook given to physicians as things to be  
14 careful of. We've always known that if we have spouses or  
15 daughter -- or siblings or children in a family who are --  
16 who are pain patients of the same physician receiving  
17 similar medications, that, again, diversion and abuse have  
18 to be raised as considerations and looked at carefully.  
19 It's statistically unlikely that a family or couple of  
20 family members will have the same diagnosis and receive the  
21 same Controlled Substance medications from the same doctor.

22 Q. And if we can look quickly at Exhibit 420, Page  
23 205.

24 What is this?

25 A. This is a report from St. Catherine's Manor of

1 Fostoria. If you can blow that up, please.

2 That is a list of medications, specifically  
3 Oxycodone, delivered or administered to McKinley for the  
4 timeframe listed there, and the date range extends out to  
5 4-30-2018.

6 Q. All right. Now, let's look at some prescriptions  
7 in this case.

8 A. Are we going to come back to this?

9 MS. DUSTIN: May I have one moment?

10 (Government counsel conferring off the record.)

11 THE COURT: How about we take our mid-morning  
12 break?

13 MS. DUSTIN: Perfect timing. I'm trying to find  
14 a page. Lots of pages.

15 THE COURT: Ladies and gentlemen, we'll take our  
16 mid-morning break now. It is 10:30. We'll try and be back  
17 and start up again in 15, 20 minutes.

18 Please remember all the rules. We're in recess.

19 (A brief recess was taken.)

20 THE COURT: Counsel, you may continue with your  
21 exam.

22 MS. DUSTIN: Thank you.

23 BY MS. DUSTIN:

24 Q. Let's look at Exhibit 411, Page 205.

25 And do you recognize this as a page of the

1 McKinley chart, Dr. King?

2 A. I do, yes.

3 Q. And was -- what is the date of this patient chart  
4 page?

5 A. The date is May 30th, 2018.

6 Q. And was there a comment entered on McKinley's  
7 patient chart about a conversation with the patient and the  
8 family?

9 A. Yes, there is a conversation documented.

10 Q. And what is -- can you describe this to us?

11 A. It indicates that the patient came in today with  
12 the wife and daughter-in-law who, quote, told their side of  
13 the story to Dr. Bauer. Dr. Bauer, I'm paraphrasing here,  
14 elected to discontinue morphine, but is going to continue  
15 Oxycodone.

16 The family then goes on to state that the --  
17 McKinley is to be discharged from the nursing home  
18 tomorrow. The author of this note indicated that she  
19 called the nursing home to verify, and indicated the  
20 nursing home indicated they have no record of the patient  
21 being discharged or discharged in the near future. The --  
22 again, there was -- I don't know how much of this detail  
23 you want me to show, but --

24 Q. Yes, if you want to continue.

25 A. So the author indicated that I let them know that



1 Dr. Bauer is prescribing Oxycodone 15 milligrams three  
2 times a day and printing scripts to give to the family.  
3 They repeated again that the patient -- they meaning the  
4 nursing home -- does not -- the patient does not complain  
5 of pain. Per Dr. Bauer, we are to get records from of the  
6 nursing home. The patient is being discharged and set up  
7 with home health nurse, per the family. And the patient  
8 and patient's wife signed the release of records form.

9 Q. And does this chart page indicate that the author  
10 notified Dr. Bauer of that information?

11 A. Yes, correct.

12 Q. And what does the chart page indicate of Dr.  
13 Bauer's knowledge of that information?

14 A. It indicates that Dr. Bauer was unaware that the  
15 patient was in a nursing home.

16 Q. And then what happened?

17 A. Per Dr. Bauer, the family that brings the patient  
18 to his appointments or to have the narcotics discontinued  
19 and urine drug screen. Dr. Bauer is then going to call the  
20 nursing home and talk to the doctor about the situation and  
21 have that doctor prescribe the pain medication.

22 Q. And does it indicate that that was done? Let's  
23 go on.

24 Was OARRS reviewed?

25 A. The -- the -- yes, yes, and next section

1 indicates that the author of this indicated, quote, after  
2 reviewing the OARRS, the patient's medications have been  
3 getting filled at Kroger in Fostoria. So yes, the OARRS  
4 was reviewed and checked.

5 Q. Now, getting back, was there another call from  
6 St. Katherines to Dr. Bauer's office?

7 A. Yes. Brenda from St. Katherines called let us  
8 know that the patient has been there for six months and has  
9 not been getting pain medication, states the daughter did  
10 not tell them that he, meaning McKinley, has a doctor's  
11 appointment. That is why we do not get orders from the  
12 nursing home. Also that the patient does not complain of  
13 pain, only occasional headaches, which Tylenol takes care  
14 of.

15 Q. Was there another call later that day from St.  
16 Katherines?

17 A. Yes, St. Katherines called a second time  
18 indicating that the patient's family has been filling the  
19 patient's medications for Oxycodone and Morphine and the  
20 patient has not been taking any of the medications.

21 Q. And just going back to the previous paragraph,  
22 let's say from the bottom third paragraph, from the bottom  
23 last line, does it indicate who had been filling McKinley's  
24 scripts at the Krogers?

25 A. It indicates the scripts were filled in March by

1 a Dominic Morton, and the script filled in May was filled  
2 by either a Jennifer or Cheryl Gross.

3 Q. Thank you. Do you see that -- did you find that  
4 information significant in terms of patient risk behaviors,  
5 compliance?

6 A. Well, it's obvious diversion. That's what's  
7 going on. The family is -- is taking the prescriptions as  
8 issued by Dr. Bauer and filling them, and the patient is  
9 not receiving them.

10 Dr. Bauer's not aware that the patient's even in  
11 the nursing home. And we have documentation, I don't know  
12 who those individuals were, but some other individuals were  
13 actually filling the prescriptions for Oxycontin and  
14 Morphine as issued by Dr. Bauer. So there's a well-defined  
15 situation here of drug diversion going on.

16 Q. And let's look now back at Exhibit 420, Page 205.  
17 And what is -- what is this? Is this a record from where?

18 A. This is a record from St. Katherines Manor of  
19 Fostoria, we referenced it a little bit earlier. It's a  
20 documentation of how much Oxycodone is being administered  
21 to McKinley based on the dates that are documented there.

22 Q. And what dates range does this cover?

23 A. This covers -- part of it's covered, but I think  
24 it's a date range of the beginning of April, 4-1 through  
25 the end of April 4-30 in 2018.

1 Q. And what was the medication referenced?

2 A. Medication is in reference to Oxycodone  
3 15 milligrams, which were -- which was issued for four  
4 times a day.

5 Q. And if you would tell us, is there also an  
6 indication of pain level on that record? Does it have a  
7 column or row for pain level?

8 A. It does, yes. There's a level for what the VAS  
9 pain score was.

10 Q. And is there a row also called PRN?

11 A. Yes. PRN is listed there as well.

12 Q. And what's PRN?

13 A. PRN is our Latin abbreviation translated for as  
14 needed. PRN medication is when it's given as needed rather  
15 than on a regular basis.

16 Q. Is that also described underneath the schedule  
17 for April 15 for Oxycodone, how often it's supposed to be  
18 given with respect to the Oxycodone?

19 A. Yes.

20 Q. What does it say?

21 A. It says give one tablet by mouth every six hours  
22 as needed for pain, right upper extremity, for seven days  
23 for up to -- for up to seven days.

24 Q. And when was the start date?

25 A. The start date, 3-20-18.

1 Q. Does the record indicate McKinley's pain level  
2 between April 1st and April 30, 2018?

3 A. It does, in two instances.

4 Q. What date does it reflect a level?

5 A. April 2 and April 4 pain seven out of ten.

6 Q. And what are the pain levels for the other days?

7 A. Pain levels for the other days are not  
8 represented, there's an X in the box indicating pain level.

9 Q. And what did you understand that to mean?

10 A. My best interpretation of this, from a medical  
11 standpoint, would be there's no significant pain.

12 Q. And then looking at the PRN as needed, does it  
13 indicate whether or not pain medication, the Oxycodone, was  
14 needed between April 1st, 2030 and April -- April 1st  
15 2030 -- I'm sorry, April 1st, 2018 and April 30th, 2018?

16 A. There are two instances where the PRN indication  
17 that medication was given on April 2 and April 4. All the  
18 rest of the days there's no indication that medication was  
19 given.

20 Q. Thank you.

21 Now, let's look at Exhibit 561.

22 And what is that?

23 A. This is a prescription issued to McKinley by Dr.  
24 Bauer -- excuse me, on 7-12-17 for Oxycodone, 15 milligrams  
25 to be taken three times a day.

1 Q. And let's look at Exhibit 562.

2 And what is that?

3 A. This is a prescription issued to McKinley by Dr.  
4 Bauer on 7-12-17 for Morphine, 15 milligrams, to be taken  
5 twice a day.

6 Q. And let's look at Exhibit 63.

7 What is that?

8 A. This is an -- a prescription issued to McKinley  
9 by Dr. Bauer on 5-30-18 for Oxycodone, 15 milligrams to be  
10 taken three times a day.

11 Q. And was this issued the same day as the call was  
12 previously described from St. Katherines nursing home?

13 A. As I recall it's the same date.

14 Q. And let's look at 564. And what's that?

15 A. This is a prescription issued to McKinley by Dr.  
16 Bauer on 10-1-18 for Oxycodone, 15-milligrams to be taken  
17 three times a day.

18 Q. Okay. Is that 10-1-18, is that the date of  
19 service?

20 A. That's -- that's the date of service. The date  
21 of --

22 Q. What was the written date?

23 A. There, yes, the written date was 9-20-18.

24 Q. And let's look at Exhibit 564.

25 A. This is a prescription issued to McKinley by Dr.

1 Bauer on 12-7-18 for Oxycodone 15-milligrams to be taken  
2 three times a day.

3 Q. Okay. I'm sorry, I called that 564. This is  
4 565, Exhibit 565. It was written 12-7 of '8, correct?

5 A. That's correct.

6 Q. To McKinley?

7 A. Yes.

8 Q. And that was for Oxycodone?

9 A. Correct.

10 Q. All right. Let's look at 566. We're just going  
11 to switch computers here.

12 Okay. Let's look at 567. And what is the date  
13 on this prescription?

14 A. This prescription was issued on 6-28-19.

15 Q. And what is this for?

16 A. This is written to McKinley by Dr. Bauer for  
17 Oxycodone 15-milligrams three times a day.

18 Q. And that was 567. Okay. And looking at Exhibit  
19 566, what are we looking at?

20 A. We are looking at a prescription issued to  
21 McKinley by Dr. Bauer on 3-26-19 for Oxycodone  
22 15-milligrams issued for three times a day.

23 Q. Dr. King, were the prescriptions for Oxycodone  
24 and morphine that were written to McKinley by Dr. Bauer on  
25 July 12, 2017, May 30th, 2018, September 20th, 2018,

1 December 7th, 2018, March 26th, 2019, June 28th, 2019 and  
2 July 12th, 2017, were they dispensed with a legitimate  
3 medical purpose and within the course of professional  
4 medical practice?

5 A. They were not.

6 Q. Why not?

7 A. As indicated earlier, there's no indication  
8 that -- for the indication of ongoing opioid treatment for  
9 McKinley. There was -- he was not responding to the  
10 opioids. The VAS pain scores did not vary. They stayed  
11 high. The indication of any improvement in function was  
12 not noted.

13 The medications were combined with -- with other  
14 enhancers, such as Neurontin or Gralise, which created  
15 additional poly pharmacy concerns. Some of the  
16 medications -- and the medications were being issued  
17 with -- with -- with the knowledge that there was -- that  
18 there was renal insufficiency. And the doses, as well as  
19 the combinations in the face of renal insufficiency, added  
20 additional concerns such that there was no medical  
21 foundation for the use of these medications. They were  
22 prescribed without a legitimate medical purpose, and as we  
23 just reviewed, you want me to go for purposes of diversion  
24 rather for legitimate medical purpose, they were prescribed  
25 outside the usual course of medical practice.



1 Q. Thank you. Dr. King, did you review a patient  
2 chart of Dr. Bauer's for the patient named Shannon?

3 A. I did.

4 Q. And based on your review of the Shannon chart,  
5 did Dr. Bauer follow the standard of care for prescribing  
6 Controlled Substances?

7 A. He did not.

8 Q. And based on your review of the Shannon chart,  
9 did Dr. Bauer write prescriptions for Controlled Substances  
10 with a legitimate medical purpose and within the course of  
11 professional medical practice?

12 A. He did not.

13 Q. Did you prepare a forensic timeline to summarize  
14 Shannon's chart?

15 A. I did.

16 Q. And let's look at Exhibit 934.

17 Would you describe patient Shannon?

18 A. Shannon was a young woman, 45 years of age at  
19 date of last visit. She was under care with Dr. Bauer for  
20 approximately four years, which would have put her at an  
21 age, about 41 for her initial visit. She was disabled, she  
22 was unemployed, she complained of low back pain, quote,  
23 more on the right than on the left.

24 Over the course of time while treated by Dr.  
25 Bauer, she also complained of -- of neck pain, hip pain,

1 rotator cuff pain, low back pain, migraines, fibromyalgia  
2 and sciatica.

3 She had significant mental health disorders which  
4 included bipolar disorder, depression, anxiety, panic  
5 disorder. She also had significant physical co-morbid  
6 health conditions, including epilepsy or seizures, chronic  
7 obstructive lung disease, diabetes, severe asthma. At one  
8 point she was hospitalized because of her asthma. She also  
9 complained of fibromyalgia. She had a history of -- well,  
10 there are additional things, but perhaps that's a  
11 reasonable place to begin our discussion.

12 Q. And did you include Shannon in your forensic  
13 timeline to summarize her standard of care?

14 A. I did, yes.

15 Q. And let's look at Exhibit 934 in the Shannon row.

16 And as that's coming up, based on -- if you could  
17 just bring up the row.

18 Based on your review of Shannon's chart, could  
19 you give us an overview of how Shannon was treated with  
20 opioids and Controlled Substances for the management of  
21 pain, just what were her prescriptions?

22 A. Just looking for one thing. Just a moment.

23 Okay. She was -- she was treated with  
24 medications inclusive of Morphine, Norco, Fentanyl,  
25 Oxycodone, Lyrica, over the course of time. She had a pain

1 score, VAS pain score, between six to ten out of ten on her  
2 earliest-documented visit. Over time her pain scores  
3 remained about the same, ranging from eight or nine. And  
4 on her final documentation of VAS pain score on 9-3-19, she  
5 rated her VAS pain score at eight out of ten. So there was  
6 no significant change, certainly no sustained improvement  
7 demonstrated in pain perception. There was no indication  
8 of improvement in function. She remained disabled. She  
9 remained unemployed.

10 And there are quite of number of abhorrent  
11 behaviors that were noted during that time frame, which I'm  
12 sure we'll discuss. But in terms of any improvement in  
13 pain or function, there was none documented.

14 Q. Based on your review of Shannon's chart, did Dr.  
15 Bauer adequately diagnosis Shannon's medical issues?

16 A. He did not.

17 Q. Did Dr. Bauer conduct a targeted physical exam?

18 A. He did, and he demonstrated no deficits.

19 Q. And did he perform a clinical workup?

20 A. He did not. He failed to obtain any imaging or  
21 MRI or x-rays or EMG or electro diagnostics to support a  
22 diagnosis of back pain and sciatica.

23 Q. Based on your review of the chart, did Dr. Bauer  
24 adequately assess the risks of prescribing Controlled  
25 Substances to Shannon?

1 A. He did not. He did not take into consideration  
2 her history of treatment noncompliance including  
3 inconsistent urine drug screens. He did not consider the  
4 physical co-morbid conditions that existed with the  
5 patient, or the significant red flag mental health  
6 co-morbidities.

7 Q. Did Dr. Bauer define a treatment plan for  
8 Shannon?

9 A. There was no specific treatment plan that was  
10 individualized or multi modal. The same choices of steroid  
11 injections and opioids that we've discussed in the past  
12 were primarily used to treat her without emphasis on other  
13 multi disciplinary -- more appropriate and conservative  
14 treatment options.

15 Q. Was Shannon a good candidate for long-term opioid  
16 treatment for management of pain?

17 A. She was not. Back in 2014 she had been on  
18 opioids provided by four different providers over the  
19 course of six weeks, which in and of itself would have been  
20 adequate for an opioid trial, but it did not improve her  
21 pain or function. And when as she started care with Dr.  
22 Bauer, the opioids were continued and escalated, beginning  
23 at 120 morphine equivalents up to 270 and maintained at  
24 around 150 for the second part of her care. There was no  
25 indication that the opioids were -- should have been

1 initiated, and no indication -- no support for their  
2 continued use.

3 Q. Based on your review of the Shannon chart, did  
4 you identify concerns with the types or combination of  
5 drugs prescribed to Shannon by Dr. Bauer?

6 A. I did.

7 Q. And what are those concerns?

8 A. She had been trialed on multiple opioids. I went  
9 through some of the examples, Norco, Fentanyl, Morphine,  
10 Oxycontin, MS Contin, and in most cases, or most instances  
11 here, those medications were combined. For instance, we  
12 have multiple times here where she was prescribed both  
13 Morphine and Oxycodone, which is of concern with regard to  
14 cumulative side effects or adverse effects. So there was a  
15 concern about concurrent potent opioids being prescribed.

16 Secondly, there is a concern with regard to  
17 Neurontin being prescribed concurrent with them. Sometimes  
18 that's indicated, particularly if there's an indication  
19 that the pain and function are improving, which neither did  
20 in this case. But Neurontin is known to be, as I mentioned  
21 a little bit earlier, an enhancer, and it will facilitate  
22 the euphoric or addictive effects of any -- excuse me,  
23 any -- any opioid. And in this case, two opioids were  
24 being given, so the combination of Neurontin is an enhancer  
25 with two opioids was inappropriate and of concern.

1           There were additional medications prescribed,  
2    such as Lyrica, which is related to Neurontin, and has  
3    similar concerns because it acts as an enhancer and has its  
4    own addictive qualities associated with it. Baclofen, a  
5    potent muscle relaxer, was also prescribed along with other  
6    various other medications, but the primary poly pharmacy  
7    concerns had to do with dual opioids in combination with  
8    Lyrica or Neurontin.

9    Q.           Did Dr. Bauer administer any procedures to  
10   Shannon?

11   A.           He did.

12   Q.           And what were they? Let's look at Exhibit 936.

13                And if you could summarize maybe from the next  
14   page what procedures --

15   A.           This is a list -- this is a timeline list of  
16   procedures and steroids which we've seen before. And she  
17   received, over the course of -- of treatment frame, which  
18   was approximately four years, she received four epidural  
19   injections, 12 trigger point injections, four brachial  
20   plexus injections, two courses of oral Prednisone or  
21   steroid, one course of IV steroids, a perineal nerve block,  
22   and nine trochanteric bursa injections.

23   Q.           And what was her cumulative Medrol dose?

24   A.           Her cumulative Medrol dose, if we can move up on  
25   that chart just one page, please, was 600 morphine --

1 excuse me, 600 steroid equivalents.

2 Q. Based on your review of the Shannon chart, did  
3 these injections result in any sustained improvement  
4 function or pain?

5 A. There was no medical use of any of these  
6 injections. There was no indication in the chart that her  
7 VAS pain score or her level of function improved as a  
8 result of the injections.

9 Q. Did you see any -- and we're going to -- our next  
10 exhibit's going to be 935. Did you see any evidence in the  
11 chart that urine drug screens were conducted?

12 A. Yes.

13 Q. And how many?

14 A. I don't have a -- a number for how many were  
15 performed. I have here an indication that there were two  
16 urine drug screens that were inconsistent.

17 Q. That were?

18 A. Inconsistent, abnormal.

19 Q. Okay. You note two inconsistent urine screens?

20 A. Correct.

21 Q. Did you see any evidence in the chart that Dr.  
22 Bauer requested pill counts from Shannon?

23 A. I did not.

24 Q. Did you see any evidence from Shannon's chart of  
25 clinical improvement?

1 A. There was no indication of clinical improvement.

2 Q. Why was Shannon continued on opioid therapy for  
3 years if her quality of life wasn't improving?

4 A. She should not have been started, and she  
5 definitely should not have been continued on opioids for  
6 all the reasons that we mentioned. What we haven't talked  
7 about that we'll probably get to are all the abhorrent  
8 behaviors.

9 Q. I want to ask you, were there risk behaviors, or  
10 abhorrent behaviors, that you noted red flags?

11 A. There were significant abhorrent behaviors  
12 suggestive of ongoing abuse and diversion and illegal drug  
13 use. Would you like me to walk through those?

14 Q. Yes, please.

15 A. So the notations, again, that were in the medical  
16 chart, the first one that I'd like to reference was on  
17 6-8-15 where there was an indication that, quote, patient  
18 is selling her medications and is pregnant, and is using  
19 heroin, and there was a notation of stolen medications at  
20 that time as well.

21 Q. Says Anon, what is that referring to?

22 A. Anonymous.

23 Q. Okay. Thank you.

24 A. Shortly thereafter, approximately 11 days  
25 thereafter on 6-19-15, there was a third-party report from



1 a, quote, former roommate of -- of Shannon selling heroin,  
2 and notification that there's a warrant out for her arrest.  
3 And then approximately two months later there's a note in  
4 the chart that indicates, and I believe -- and this is, I'm  
5 going to put it in quotation marks, this is how it's put by  
6 the office personnel by Dr. Bauer, we are aware of her  
7 arrest for trafficking in drugs and incarceration, end of  
8 quote. Can still see her for injections and nonnarcotic  
9 meds.

10 And it goes on to say no further opioids from  
11 Bauer were prescribed until 4-14-17, which was, what, about  
12 a year-and-a-half later when Dr. Bauer began to prescribe  
13 Oxycodone and Morphine once again.

14 There was also an indication on that 8-21-15  
15 visit that Shannon was doctor shopping. There were five  
16 different providers who were prescribing Controlled  
17 Substances for her, including Morphine, Oxycodone,  
18 Tramadol, Norco, and multiple Benzodiazepines.

19 Shortly thereafter, approximately three to four  
20 months, she was hospitalized for seizures, which is a major  
21 red flag. As I indicated to you, generally seizures, in  
22 the case of a patient who, in this case, is an admitted  
23 illegal drug user, probably multiple drugs, both  
24 prescription and illegal -- well, for sure prescription and  
25 illegal drugs, whenever there's a history of seizures, we

1 relate that statistically to the inappropriate use of  
2 medications. And indeed, on that date where there's an  
3 indication of hospitalization for seizures, there's a note  
4 that says that she is, quote, out of medications. Her  
5 seizures could have been a result of running out of  
6 medications and self medication.

7 Her urine tox screen at that time was positive  
8 for Benzodiazepines and opioids. Neither of which were  
9 being prescribed.

10 Q. Let me ask you a couple -- about a couple chart  
11 notes. Let's look at Exhibit 412, Page 83. And is this a  
12 page from the Shannon patient chart?

13 A. It is.

14 Q. And what is the date?

15 A. The date is 6-8-2015. And it's an indication of  
16 the phone call that I referenced whereby there was a report  
17 of the patient, Shannon, selling her medication and using  
18 heroin and being pregnant, by the way, at the same time,  
19 which opens a whole host of other concerns.

20 Q. All right. And was she -- was action taken as a  
21 result of this phone call?

22 A. The action taken is -- was that she needed to  
23 come to her appointment now and, quote, we will still be  
24 able to see her. So the other indication here in that  
25 second -- one of those middle paragraphs indicated, tried

1 to call her to advise that if we do not get a pill count on  
2 her today, we will not be able to write her pain medication  
3 going forward per WRB, Dr. Bauer. Patient did not answer  
4 her phone. Voice mail was full. I will call her again  
5 this afternoon. Basically those were the actions taken.

6 Q. And then later that afternoon, did someone from  
7 Dr. Bauer's office speak with Shannon?

8 A. I believe that's correct, yes.

9 Q. And what does it indicate?

10 A. It indicates that the patient said she was in  
11 Norwalk, and -- in Norwalk, and it was advised that she can  
12 come in to her appointment now and we will still be able to  
13 see her, meaning Dr. Bauer's office.

14 Q. Okay. Let's look at now Page 85 of Exhibit 412.  
15 Is this a page from the Shannon chart?

16 A. It is yes.

17 Q. And what happened that day?

18 A. That date, dated 6-8-15, indicates the patient  
19 was called to come into the office, as we just discussed,  
20 due to a report that she was selling her medication, and  
21 was advised to come in for a pill count. She states that  
22 her medication was stolen today while she was not at home.  
23 So apparently she did not bring in any medication for a  
24 pill count.

25 Q. So there was actually no pill count?

1 A. There's no pill count, that's correct.

2 Q. Okay. And then let's look at Page 88. Despite  
3 the lack of the pill count and Shannon not bringing in the  
4 medications as requested, did Dr. Bauer prescribe  
5 medications to her the same day?

6 A. Yes. Oxycodone 30-milligram tablets were  
7 prescribed as well as MS Contin, which is controlled  
8 release Morphine, was prescribed in 30-milligram tabs.

9 Q. Any other medications prescribed that day?

10 A. Additional medications, we talked about the  
11 Oxycodone, additional medications would be Trileptal, which  
12 was prescribed, I'm looking for a date there to confirm,  
13 yes, 6-8-15. Lyrica was prescribed on 6-8-15. We talked  
14 about the Morphine extended release, or MS Contin. And  
15 then Ultram was also prescribed as part of the poly  
16 pharmacy on 6-8-15.

17 Q. And what was the date these medications were  
18 prescribed?

19 A. 6-8-15.

20 Q. All right. Let's look at Exhibit 412, Page 92.

21 Is this a page from the Shannon chart?

22 A. It is, yes.

23 Q. Was there another call referencing Shannon on  
24 that date?

25 A. Yes.

1 Q. What's the date of this chart?

2 A. This is 6-15-19, and it's a phone note indicating  
3 female caller message states this patient is selling her  
4 medication and has a warrant out for her arrest.

5 Q. Was there a request made of Shannon on that date?

6 A. Per Dr. Bauer, bring the patient in on Monday for  
7 pill count and tox screen.

8 Q. And was there -- do you know if there was ever a  
9 pill count done or tox screen?

10 A. There was no indication that a tox screen was  
11 done during that time frame. There's no indication that a  
12 pill count was performed.

13 Q. And did -- was Shannon discharged after any of  
14 these events?

15 A. No, she was not discharged.

16 Q. Let me show you Exhibit 568.

17 And what is that?

18 A. This is a prescription issued to Shannon by Dr.  
19 Bauer on -- I have to search for the date.

20 Well, the prescription is for Morphine  
21 30 milligrams, MS Contin, Morphine 30 milligrams, to be  
22 taken three a day. And if I can find the date -- well, it  
23 was filled on 7-29-15.

24 Q. And looking back at the chart we had just  
25 referenced, does this appear to be the prescription written

1 to Shannon by Dr. Bauer on June 8th, 2015?

2 A. That would make -- that would be consistent, yes.

3 Q. And this is for Morphabond ER?

4 A. Correct.

5 Q. And let's look at Exhibit 569.

6 What is this?

7 A. This is a prescription to Shannon by Dr. Bauer  
8 issued on June 8th, 2015 for Oxycodone, 30-milligrams to be  
9 taken four per day.

10 Q. And Exhibit 570?

11 A. This is a prescription issued to Shannon by Dr.  
12 Bauer on June 8th, 2015 for Tramadol, 15-milligrams, to be  
13 taken three times a day.

14 Q. Specifically with respect to those three  
15 prescriptions, based on the chart review you just looked  
16 at, the chart pages you just looked at, were the  
17 Morphabond, Oxycodone and Ultram written on June 8, 2015  
18 written by Dr. Bauer to Shannon, dispensed with a  
19 legitimate medical purpose and within the course of  
20 professional medical practice?

21 A. No, they were definitely not.

22 Q. Why not?

23 A. Well, they were -- she's an admitted addict, an  
24 admitted illegal drug user, she's a heroin user. And we  
25 have additional reports that she is selling the

1 medications, trafficking in them, and was at least  
2 represented as being arrested for them. She's an addict.  
3 The medications were being prescribed in support of  
4 addiction and abuse and diversion. They were not  
5 prescribed by Dr. Bauer for a legitimate medical purpose.

6 Q. All right.

7 A. A prudent practitioner would have ceased all  
8 Controlled Substances at that time.

9 Q. Now, let's look at Exhibit 571.

10 Can you identify that, please?

11 A. This is a prescription issued to Shannon by Dr.  
12 Bauer on 3-15-19 for Oxycodone 10 milligrams to be taken  
13 three times a day.

14 Q. And Exhibit 572?

15 A. This is a prescription issued to Shannon by Dr.  
16 Bauer on 6-13-19 for Oxycodone 10 milligrams to be taken  
17 four times a day.

18 Q. Dr. King, were the prescriptions for Oxycodone  
19 written on March 15th, 2019 and June 30th, 2019 prescribed  
20 by Dr. Bauer and written to Shannon dispensed with a  
21 legitimate medical purpose and within the course of  
22 professional medical practice?

23 A. They were not.

24 Q. Why not?

25 A. Shannon, unfortunately, is an addict. And we

1 have a history, an objective history, that she is an addict  
2 of -- of illegal medications and prescription medications.  
3 And until there's an indication for a legitimate use of  
4 opioids, which there was clearly not in this case, she  
5 should not have had them prescribed. She was -- they were  
6 prescribed in support of ongoing diversion and abuse and  
7 addiction, but not for a legitimate pain purpose.

8 Q. Okay. Well, let's turn to another patient.

9 Dr. King, did you review Dr. Bauer's patient  
10 chart for a patient named Jamie?

11 A. Again, if I could ask you, if you can show me  
12 that last name as well.

13 Q. Absolutely.

14 A. Actually we only have one Jamie here, I have it.

15 Yes, I did review the chart for the patient named  
16 Jamie.

17 Q. Based on your review of the Jamie chart, did Dr.  
18 Bauer follow the standard of care for prescribing  
19 Controlled Substances?

20 A. He did not.

21 Q. And based on your review of the Jamie chart, did  
22 Dr. Bauer write prescriptions for Controlled Substances  
23 with legitimate medical purpose and within the course of  
24 professional medical practice?

25 A. He did not.



1 Q. Did you prepare a forensic timeline to summarize  
2 Jamie's chart?

3 A. I did.

4 Q. And look at Exhibit 940. Is that your forensic  
5 timeline for Jamie?

6 A. It is, yes.

7 Q. And is -- let me ask you, is Jamie a woman or a  
8 man?

9 A. Jamie is a female.

10 Q. Okay.

11 A. Despite the fact I have a very good friend who's  
12 a male whose name is Jamie.

13 Q. Did you originally indicate otherwise --

14 A. I did, yes.

15 Q. -- in your report?

16 A. I did.

17 Q. And describe Jamie.

18 A. Jamie was 42 years old when she last saw Dr.  
19 Bauer. She was under care with Dr. Bauer for about  
20 three-and-a-half years, which means she was in her late  
21 30s, 38, 39 when she first saw Dr. Bauer. Over the course  
22 of 23 visits with Dr. Bauer, she complained primarily of  
23 chronic low back pain with radiation into her leg. There  
24 were multiple other complaints that came forth during the  
25 time frame of her care with Dr. Bauer, including hip pain,

1 rheumatoid arthritis, sacroiliac joint dysfunction, neck  
2 pain, chronic muscle pains of various sorts, herniated  
3 discs, has pain in her limb. So there were multiple  
4 complaints that she had.

5           The -- the concern with regard to red flags  
6 include the fact that she had a history of alcohol  
7 addiction from another provider. She also had a history of  
8 alcohol abuse treatment as an inpatient from 2006 -- or in  
9 2006.

10           She also had, although she denied use of illegal  
11 drugs, the other provider indicated in his history that she  
12 had consumed cocaine while she was in her 20s. And she  
13 also was treated as an inpatient for cocaine addiction in  
14 2006, in addition to being treated for alcohol abuse.

15           She had multiple other risk factors from the  
16 psycho social standpoint. Her father was a heroin addict.  
17 Her mother had a, quote, psychiatric history. I don't know  
18 any further details other than documentation she had a  
19 psychiatric history. But importantly, and critically,  
20 there is documentation here that Jamie had preadolescent  
21 sexual abuse and molestation at the age of seven. She had  
22 had multiple separations and unsuccessful marriages. She  
23 was a -- she presented with mental health disorders of  
24 anxiety of depression, as well as physical co-morbidities  
25 of high blood pressure and Hepatitis C.

1 Q. Can you just give us an overview of what types,  
2 if any, of medications Jamie was prescribed for the  
3 treatment of pain?

4 A. I'm reviewing my list here for a moment. Just  
5 prior to visiting Dr. Bauer, she had seen 16 providers and  
6 had been prescribed what one might reasonably assume is an  
7 opioid trial. She was put on Norco during that time. She  
8 had also had Dilaudid and Percocet prescribed to her. This  
9 was all prior to Dr. Bauer. So she had failed a previous  
10 opioid trial, which, frankly, would have been predictable  
11 given her history of abuse and previous addiction to  
12 multiple substances.

13 Her initial visit to Dr. Bauer was then met with  
14 a initiation of Morphine, plus Oxycodone, plus Neurontin,  
15 for Morphine equivalency of about 75, 75 morphine  
16 equivalents.

17 She then was switched to Dilaudid plus Oxycodone  
18 that raised the Morphine equivalency to 135. Over course  
19 of time, she received Dilaudid and Oxycodone with Morphine  
20 equivalency that ultimately was escalated to 180 morphine  
21 equivalence. Again, that was combination of Dilaudid plus  
22 Oxycodone.

23 And on her final visit with Dr. Bauer, she was  
24 rating her pain still at ten out of ten, and was on 180  
25 morphine equivalents and was being prescribed Oxycodone,

1 Dilaudid, Gabapentin, or Neurontin, plus Trileptal.

2 Q. Did you prepare a forensic summary of your  
3 findings with respect to Jamie?

4 A. I did, yes.

5 Q. And let's look at Exhibit 945.

6 In the row attributed to Jamie, is that your  
7 summary?

8 A. Yes, it is.

9 Q. Based on your review of the Jamie chart, did Dr.  
10 Bauer adequately diagnosis Jamie's medical issues?

11 A. He did not.

12 Q. Did he conduct a targeted exam, physical exam?

13 A. He -- he performed an exam but demonstrated no  
14 focal, motor or sensory or musculoskeletal deficits that  
15 would support an objective and legitimate diagnosis.

16 Q. Did Dr. Bauer conduct or perform a clinical  
17 workup of Jamie?

18 A. There was an EMG performed, which is one of our  
19 standard tests to look for indication of nerve compression,  
20 or nerve damage, or sciatica. It was normal.

21 There was an MRI performed to look at any  
22 indication of herniated disc, instability or nerve  
23 compression. And it showed none of those things. It  
24 essentially was normal for her age.

25 So he performed a physical exam, electro

1 diagnostic testing and imaging, but none of them  
2 demonstrated there were any deficits, or any indication  
3 that she had anything wrong, so they were basically ignored  
4 and opioids were prescribed anyway.

5 Q. Based on your review of the Jamie chart, did Dr.  
6 Bauer adequately assess the risks of prescribing Controlled  
7 Substances to Jamie?

8 A. He did not.

9 Q. She had a history mental illness?

10 A. Mental illness, drug addiction, and preadolescent  
11 sexual abuse, which, for a young woman, is a critical thing  
12 to note.

13 Q. Did she have medical co-morbidities?

14 A. She had some medical co-morbidities, yes. Blood  
15 pressure and Hepatitis.

16 Q. Was Jamie a good candidate for opioid treatment?

17 A. She was never a candidate for opioid treatment.  
18 She had been through 16 providers prior to seeing Dr. Bauer  
19 and had failed treatment with multiple opioids prior to  
20 seeing Dr. Bauer. Nevertheless, Dr. Bauer initiated very  
21 potent opioids, started her on Dilaudid and Oxycodone, and  
22 she continued, not surprisingly, to not improve. There was  
23 no indication, not only to start her on them, there was no  
24 indication to continue them as part of her care. She  
25 required treatment for mental health and addiction and

1 psychiatric assistance with her -- with her psycho social  
2 history, which included pre-adolescent sexual abuse.

3 Q. Did Dr. Bauer define a treatment plan for Jamie?

4 A. No, his treatment plan was a reflection of what  
5 we have seen many times. Opioids were used, and injections  
6 and steroids were used, but there was no -- no other  
7 individual -- there was no individualization or -- or  
8 multi-disciplinary approach to her care.

9 Q. You told us about the Morphine equivalence. Did  
10 you identify any concerns with the combinations or types of  
11 Controlled Substances prescribed to Jamie?

12 A. Yes. The jury will recognize a similar situation  
13 here, similar to previous patients where opioids were being  
14 prescribed. We have got Morphine being prescribed  
15 concurrent with Oxycodone. We've got Dilaudid -- hang on  
16 just a moment. We've got Dilaudid being prescribed  
17 concurrent with Oxycodone. So we have the concern about  
18 dual potent opioids and the effects that they have and the  
19 adverse effects that can be seen, and we have concerns with  
20 regard to the dual opioids being prescribed along with  
21 other psychoactive medications and enhancer medications,  
22 such as Neurontin or Gabapentin that we talked about  
23 previously.

24 Q. Did Dr. Bauer administer any procedures to Jamie?

25 A. Yes, he did.

1 Q. Let's look at Exhibit 942.

2 What is that?

3 A. This is my timeline of injection and steroid use  
4 for Jamie.

5 Q. Summarize for us the injections that were  
6 administered by Dr. Bauer to Jamie.

7 A. Injections include -- scroll up just a little bit  
8 there so I can see the list itself. There was epidural  
9 injections, and I think I mentioned oral steroids  
10 injections. So trigger points, epidural injections,  
11 trochanteric bursa injections were the primary ones that  
12 were performed.

13 Q. And what was the cumulative Medrol dosage of  
14 steroids administered to Jamie by Dr. Bauer during her  
15 treatment with Dr. Bauer?

16 A. The total Medrol equivalent was approximately 904  
17 steroid equivalents.

18 Q. Was that of any concern?

19 A. That's a concern. That, again, as we've talked  
20 about, if one would use as a guideline no more than 200 or  
21 220 milligrams for a year, and no more than 400 or 500  
22 milligrams for a lifetime. She received 900 milligrams  
23 over the course of her treatment timeframe, which was about  
24 three-and-a-half years.

25 Q. Correct me if I'm wrong, I don't think I ever

1 asked you if there was a difference for steroids being  
2 administered to a woman versus a man, if there's -- if  
3 there's any more concern for amounts or placement or types  
4 of injections?

5 A. That's a good question, and the answer is there  
6 are subtle differences. A male will have testosterone  
7 suppression and unable to function sexually. And that's  
8 fairly dramatic in a male who's receiving steroids or  
9 opioids, actually, for that matter.

10 A female will respond in a slightly different  
11 manner, but by and large the side effects to chronic  
12 steroid or high dose steroid administration between male to  
13 female are going to be the same.

14 We're going to worry about mental health issues,  
15 psychosis, depression, anxiety, adrenal suppression, immune  
16 suppression, insomnia. And, again, those would be some of  
17 the big ones. They're pretty similar, though, between male  
18 and female.

19 Q. Okay. And based on your review of the Jamie  
20 chart, did the injections that Dr. Bauer administered to  
21 Jamie result in any improvement in function or pain?

22 A. There's no indication that the pain or function  
23 improved. VAS score just prior to coming to Dr. Bauer was  
24 12 out of ten. When she visited Dr. Bauer, she described  
25 it as eight out of ten. The last documented visual analog



1 score, pain score, would have for her, under the care of  
2 Dr. Bauer, was ten out of ten, so there was no indication  
3 that the pain improved at all.

4 In terms of function, there's clearly no  
5 indication that function improved.

6 Again, we were dealing with an unfortunate young  
7 woman here who had a horrible psycho social history of  
8 abuse and intoxication, addiction, and did not have a pain  
9 condition that warranted the use of opioids.

10 Q. Based on your review of the chart, were the  
11 injections administered by Dr. Bauer to Jamie medically  
12 necessary?

13 A. No, there was no indication, based on MRI testing  
14 or EMG testing or physical exam, that she needed any of  
15 these injections. There was no medical foundation for  
16 them.

17 Q. All right. Let's talk about whether or not Jamie  
18 presented with any drug risk behaviors, or abhorrent  
19 behaviors. And look at Exhibit 941.

20 What is 941?

21 A. This is a list I put together, my red flag  
22 timeframe, time sheet.

23 Q. And looking at the chart there, would you  
24 highlight for us any red flags that you saw during  
25 March 22nd of '16 and February of 2019 while Dr. Bauer was

1 treating Jamie?

2 A. Just picking out some of the more pertinent,  
3 which I think the jury can probably pick out at this point,  
4 but there were requests for early refills of Norco,  
5 multiple requests for increase of Norco, quote, at every  
6 visit.

7 In addition to the early refills, there were  
8 times when the patient did not show up for visiting.

9 The OARRS report showed that she was receiving  
10 Controlled Substances from six providers and three  
11 different pharmacies. So she had a documented history of  
12 doctor shopping and pharmacy shopping.

13 And then she went on to indicate that her pain  
14 medications were, quote, not adequate. And unfortunately,  
15 we would expect that given some of the psycho social,  
16 psychosomatic concerns that we've discussed.

17 Additionally, there were anonymous calls coming  
18 in that the patient was selling her medication, and she  
19 was, indeed, as we know, because OARRS showed it, doctor  
20 shopping. There was even one OARRS that showed that she  
21 was seeing seven providers during a certain time frame.

22 The -- there was several indications that her  
23 medications were lost or stolen. Her husband, quote, threw  
24 her meds in the toilet. The patient was unable to be  
25 contacted but still called for early refills, and was --

1 again, another report that she was selling drugs dated as  
2 late as February of 2019. That's a pretty significant list  
3 of abhorrent behaviors.

4 Q. And did you reflect your red flags onto a graph?

5 A. I did, yes.

6 Q. And let's look at that page of the exhibit.

7 And what does this show?

8 A. This is, as we've seen many times, is my  
9 representation, over the course of treatment, where the red  
10 flags on the top there show the abhorrent behaviors that we  
11 just briefly went through, of which there are,  
12 unfortunately, many. And they are significant, and they  
13 should have been enough to cause a change in the treatment  
14 of the patient.

15 Below that, the blue pennants representing the  
16 various injections the patient had, the epidural injections  
17 and the other types of non-epidural medications that  
18 involve steroid injection.

19 And down at the bottom was an overlay of the  
20 approximate number of Morphine equivalents that were being  
21 prescribed during a time frame.

22 Q. Dr. King, after all of the indications that you  
23 just reviewed in terms of the red flags, should Jamie have  
24 continued to see -- receive prescriptions for opioid  
25 medications?

1 A. No, she should never have been initiated on the  
2 opioids. Having failed the previous trial, she should  
3 never have been maintained on the opioids over time for Dr.  
4 Bauer for many reasons.

5 Q. With regard to function and your review of the  
6 chart, did Jamie have any meaningful improvement in pain or  
7 function?

8 A. No. She demonstrated no improvement in VAS pain  
9 score or function. She remained unemployed and was  
10 applying for disability.

11 Q. And why was Jamie continued on opioid therapy for  
12 years if her quality of life did not improve?

13 A. She should not have been. The Controlled  
14 Substances were prescribed outside the usual course of  
15 medical practice. A prudent practitioner would not have  
16 maintained Controlled Substances under these circumstances.  
17 A prudent practitioner would not have initiated opioids to  
18 a patient with a history of addiction and abuse.

19 Q. And ultimately did Jamie's treatment with Dr.  
20 Bauer end in August of 2019?

21 A. Yes, it ended at that time.

22 Q. Let me show you Exhibit 575.

23 And what is that?

24 A. This is a prescription issued to Jamie on 5-17-19  
25 for oxymorphone, which is Opana, 15 milligrams twice a day,

1 and the date is -- I gave you the date, yes.

2 Q. And what is the date?

3 A. I mean -- sorry, I thought I had stated it, maybe  
4 I didn't.

5 Q. You may have.

6 A. The date was 5-17-19.

7 Q. Thank you. And Exhibit 576, what is that?

8 A. This is a prescription issued to Jamie by Dr.  
9 Bauer for Oxycodone 15 milligrams three times a day. It  
10 was issued on 5-17-19.

11 Q. Dr. Bauer, were the Opana and Oxycone (sic)  
12 prescriptions written to Jamie by Dr. Bauer on May 17th,  
13 2019 dispensed with a legitimate medical purpose and within  
14 the course of professional medical practice?

15 A. They were not.

16 Q. Why not?

17 A. They were prescribed for maintenance of  
18 addiction. They were not prescribed for establishment or  
19 treatment of a legitimate pain diagnosis. There was no  
20 indication that there was any improvement in VAS pain score  
21 or function. There were multiple indications that the  
22 patient was suffering from psycho social, psychosomatic  
23 pain issues, and indications that the patient had a history  
24 of alcohol and cocaine addiction.

25 Q. And I'm going to bring up one more exhibit with

1 respect to Jamie. Let's look at Exhibit 414, Page 271.

2 And is this Jamie's chart?

3 A. It is, yes.

4 Q. And what is the date of the chart?

5 A. The date on this is -- thank you.

6 The date is 2 -- February 12, 2019, as authored  
7 by Dr. Bauer.

8 Q. Okay. And what does this note reflect?

9 A. It indicated that the patient was, quote, seen  
10 today for acute and chronic low back pain into the left leg  
11 with pain levels ranging from six to nine out of ten.  
12 Worse with activities of daily living as well as bending,  
13 lifting and stooping.

14 Further indicates that the patient is under  
15 significant stress because her ex-husband is threatening  
16 her and the property and made a phone call possibly  
17 accusing her of selling her medications.

18 Would you like me to continue with that?

19 Q. Yes.

20 A. We reviewed the pills and her urine, which was  
21 positive for her medications, and also possibly for  
22 cocaine, but she had a negative test or swab yesterday for  
23 a job interview, and she also, about three days ago, was  
24 intoxicated and may have been given R, I don't -- Tuck is  
25 the word there, I don't know what that means, R Tuck, the

1 medication, but she denies any usage. We have not had  
2 problems with her medications.

3 Q. Is that visit with Jamie significant in terms of  
4 whether or not she should have been continued on opioid  
5 therapy?

6 A. Absolutely. This is a major red flag. We've got  
7 a person who's not anonymous, although he may have a bias  
8 against her, but at least he identified himself calling in  
9 indicating that she was selling her medications. That  
10 deserves a very careful explanation and discussion with the  
11 patient.

12 The urine drug screen that apparently was  
13 performed by Dr. Bauer on this visit indicated, quote,  
14 possibly for cocaine. Well, that demands an explanation.  
15 Cocaine is one of the substances we test for on urine drug  
16 screens that has very few false positives. If we have a  
17 positive for cocaine, we pay attention to that because it's  
18 unlikely to be a false positive, likely to be the real  
19 thing, and it has to be assumed to be the real thing until  
20 demonstrated otherwise.

21 It goes on to say here that she had a job  
22 interview yesterday, and had a swab test -- swab taken at  
23 that time that apparently was negative. Well, we don't  
24 have a confirmation of that. That's what the patient's  
25 saying, and, regrettably, if the patient is an addict, they

1 will say and do whatever they have to do in order to  
2 obtain, or continue the flow of her medications. So we  
3 can't trust that she was giving a correct answer regarding  
4 a negative swab the other day for a job application.

5 She also indicates that she was intoxicated, so  
6 yet another page major part of this red flag. What was she  
7 intoxicated with? She has a history of alcohol  
8 intoxication and addiction. Is it alcohol that she was  
9 intoxicated with, or was it cocaine, or was it with some  
10 other illegal or prescription drug? This statement here  
11 is -- here is what I teach to our younger doctors as a show  
12 stopper; you have major indications here that there's  
13 addiction, abuse, and possible diversion going on, and it  
14 demands an evaluation and a weaning and a stopping of all  
15 pain medications unless something -- or reasonable  
16 explanation be given, and I can't imagine a reasonable  
17 explanation for all this.

18 Q. Was there any evidence in the chart that Jamie  
19 was weaned or stopped prescribing opioid medications?

20 A. No.

21 Q. In fact, the date of this note was when?

22 A. The date of this note was 2-12-19.

23 Q. And was Jamie prescribed, as we heard from the  
24 previous exhibits, Opana and Oxycodone, by Dr. Bauer in May  
25 of 2019?



1 A. Yes.

2 Q. Dr. King, can opioid addicts be trusted to give  
3 truthful information to the doctor who's writing the  
4 prescription?

5 A. I say this gently and respectfully because we  
6 have respect for all our patients, even our individuals who  
7 are suffering from mental health and addiction issues, but  
8 the truth is no, they cannot be trusted, and that's a  
9 statement made based on the definition and the  
10 understanding of what addiction entails. No, they cannot  
11 be trusted.

12 Q. Why not?

13 A. To understand that we have to understand what  
14 addiction is. And so if I may just give a quick bullet  
15 point just so you understand essentially when we talk about  
16 addiction what we're saying.

17 Addiction is, and sometimes we describe it in  
18 terms of the four Cs, the four Cs of addiction. So the  
19 four Cs of addiction indicate that a patient has a craving,  
20 a compulsion, a lack of control, and a failure to  
21 understand the consequences of their actions. What does  
22 that mean? They crave the medication, they think about it  
23 all the time. They are compulsively seeking the next way  
24 to obtain their fix or their chosen medication, which means  
25 they're always looking for a way to get the medication, or

1 at least not to interrupt their current supply of  
2 medication, let's say from Dr. Bauer. They can't control  
3 themselves. I don't think anybody wants to be an addict.  
4 We show them respect. Nobody wants to be an addict, but  
5 they cannot control their compulsion and their craving, and  
6 we understand why that's a separate discussion, but,  
7 nevertheless, that's the case, they can't control it. And  
8 they continue it, and this is the hard part to understand,  
9 they continue it regardless of what the consequences are.  
10 You lose your job, you lose your health, you get hepatitis,  
11 you get HIV, you live on the street, you lose your kids,  
12 you lose your spouse, you lose your job, and that's a  
13 problem not only within the family, but also the larger  
14 part of society and our nation as we talked about. But we  
15 cannot, in answer to counsel's question here, we cannot, as  
16 physicians, take at face value what the addicts say we have  
17 to exercise. What you've heard me say in the past are  
18 universal precautions, trust but verify. I want to believe  
19 you, but I can't believe you because I know you've got a  
20 disease called addiction that disrupts your common sense  
21 and your ability to speak the truth because you're always  
22 craving and compulsively seeking and can't control it. So  
23 that would be a -- perhaps a wordy summary of why we can't  
24 trust at face value what they say.

25 Q. Thank you.

1 MS. DUSTIN: Judge, this would be a good breaking  
2 point.

3 THE COURT: Then let's do it. Lunch time. High  
4 noon.

5 Ladies and gentlemen, please remember all the  
6 rules. We'll start back again at 1:00 p.m. We're in  
7 recess.

8 (A brief recess was taken for lunch.)

9 THE COURT: Welcome back. You may be seated.

10 BY MS. DUSTIN:

11 Q. Dr. King, let's resume.

12 Before we go into the next patient, I wanted to  
13 ask you, are there any concerns if a woman happens to be  
14 pregnant and is on Controlled Substances or opioid  
15 medications for a danger to an unborn fetus?

16 A. Yes. That's a well-asked question. There are  
17 significant concerns. Sorry, I just ran upstairs, lost my  
18 breath.

19 THE COURT: Take a moment. Catch your breath.  
20 It's all right.

21 A. The concern very simply boils down to this, if a  
22 mother is on opioids of any sort, whether they're  
23 prescription or Controlled Substances, actually, whether  
24 they're prescription or whether they're illegal, the child,  
25 unborn child has a -- is going to be born addicted. That's

1 the problem. And it's a serious problem, and it's a  
2 growing problem, and it's a devastating problem. And we  
3 refer to that syndrome when the child is born addicted as  
4 Neonatal Abstinence Syndrome, NAS, basically when the child  
5 is born addicted. And as a result of the horrible  
6 situation that arises, I hope none of you will have to know  
7 that the child is kept in the neonatal ICU for days or  
8 weeks while they're administered Phenobarbital to keep them  
9 from seizing or to help wean them down. But it's an  
10 environment where the child has to be kept in a quiet,  
11 darkened environment with nothing excitable because they  
12 tend to be hyperreactive and can go into seizures, and  
13 they're crying all the time, and they don't eat and they're  
14 underweight and at risk for developmental issues in terms  
15 of non emotion and intellect and cognitive abilities going  
16 forward. It's a horrible circumstance.

17 As a result of that, standard of care requires  
18 that the mother, if she's of child-bearing age, or of  
19 course if she's pregnant, particularly, but if she's of  
20 child-bearing age, that an informed consent be given to the  
21 mother, say this is a concern. If I give you opioids,  
22 we're likely to make your baby dependent on them as well,  
23 so let's consider are you pregnant or likely to be  
24 pregnant. And that should inform the doctor's decision  
25 about whether opioids should be prescribed for a chronic

1 pain problem. Hopefully not prescribed because we don't  
2 want to deal with Neonatal Abstinence Syndrome, so, yes,  
3 that's what that's all about.

4 Q. I just want to go back to Shannon's patient's  
5 chart, which is Exhibit 412. And if you can, we can pull  
6 back up Page 83.

7 Was there any indication, we referred to this  
8 earlier when we discussed the call that Dr. Bauer's office  
9 received about Shannon selling her medication, was also  
10 information provided by the caller that Shannon was  
11 pregnant?

12 A. That is correct, yes, that's what it says. It  
13 indicates that she is selling her medications and is  
14 pregnant and using heroin.

15 Q. And in looking at that page and the following  
16 pages, up to maybe Page 88 or 89, is there any indication  
17 of whether or not Shannon was questioned about being  
18 pregnant or whether there was any tests performed to  
19 indicate whether or not she was pregnant?

20 A. No, there were none. I thought about that. I  
21 was aware and concerned about that when I first reviewed  
22 her record because that stood out to be yet another major  
23 red flag. I saw no indication that Dr. Bauer inquired as  
24 to the possible state of current pregnancy or past  
25 pregnancy, or the possibility that she was likely to get

1 pregnant in the future. There was -- it was -- the concern  
2 was totally ignored. There were no comments on it.

3 Q. And was Shannon prescribed opioid and Controlled  
4 Substances medications on June 15th, 2018?

5 A. Yes, she was.

6 Q. Now, let's talk about patient Connie.

7 Did you review Dr. Bauer's patient chart for  
8 patient Connie?

9 A. I did, yes.

10 Q. And based on your review of the Connie chart, did  
11 Dr. Bauer follow the standard of care for prescribed  
12 Controlled Substances?

13 A. He did not.

14 Q. Based on your review of the Connie chart, did Dr.  
15 Bauer write prescriptions for Controlled Substances within  
16 a -- with a legitimate medical purpose and within the  
17 course of professional medical practice?

18 A. He did not.

19 Q. And again, did you prepare a forensic timeline to  
20 summarize Connie's chart?

21 A. I did, yes.

22 Q. And let's look at Exhibit 904.

23 And would you describe for us patient Connie?

24 A. Connie was 56 years old at time of her last visit  
25 with Dr. Bauer. She was disabled. She had been under care

1 with Dr. Bauer for eight years, which puts her age at about  
2 48 when she had her initial visit with Dr. Bauer. She  
3 complained of chronic low back pain with -- with radiation  
4 into the leg. She also complained of fibromyalgia,  
5 brachial plexus type pain, carpal tunnel, headaches, neck  
6 pain, shoulder pain, SI joint pain, TMJ pain, temporal  
7 mandibular joint pain, and sacral pain, bursa pain, tendon  
8 pain, thoracic pain, trochanteric bursa pain. She pretty  
9 much had pain throughout her entire body.

10 And as she presented to Dr. Bauer, she also  
11 offered a significant mental health history with multiple  
12 red flags, including diagnosis of depression, anxiety, and  
13 a history of previous psychiatric hospitalizations. It was  
14 not delineated as to what kind of psychiatric issues were  
15 present, but she had, at least at some point, been  
16 hospitalized for them.

17 She also had a long term -- I'm sorry, she also  
18 had a history of using marijuana. She denied any alcohol  
19 history, but -- but that essentially was how she presented.

20 Q. And based on your review of Connie's chart, would  
21 you give us kind of an overview of the medications that Dr.  
22 Bauer prescribed for her for the management of pain?

23 A. Again, she was under care for approximately eight  
24 years. At the beginning of that time frame, Dr. Bauer  
25 initiated low dose opioid medications. He started her on

1 Percocet, 5 milligrams just once a day. So that morphine  
2 equivalency turns out to be seven, just seven.

3 And then as time went on, that was escalated.  
4 She was shortly thereafter escalated up to a dose of 30  
5 Morphine equivalents, 45, 60, 70, 127. And then again in  
6 2012, mid 2012, she was escalated to 130, 160, and then  
7 200 plus. And then as we approached the 2014, she was  
8 escalated up to morphine equivalencies of about 300.

9 Thereafter, the morphine equivalency varied  
10 somewhere in the 200 to 300 range. The last morphine  
11 equivalency calculated was 225. This was a combination of  
12 multiple medications. She was receiving Oxycodone as well  
13 as Percocet, which, as you know, also contains Oxycodone in  
14 combination with a third opioid analgesic, which was  
15 Duragesic, which you know as Fentanyl.

16 There were additional medications prescribed  
17 beyond that, but she was maintained on those three,  
18 Oxycodone, Percocet, and Duragesic combinations for some  
19 time. As time went on, the doses of those medications,  
20 particularly the Duragesic, were escalated. She was -- she  
21 was taking ultimately a 50-microgram Duragesic and five  
22 Percocets a day when we approached 2017 towards the latter  
23 part of her care with Dr. Bauer. I'm sorry, I missed one  
24 column here.

25 That's correct. I don't have anything to add.



1 That's still consistent with what I -- with what I just  
2 told you.

3 Q. And did you prepare a forensic summary of your  
4 findings regarding Connie?

5 A. I did.

6 Q. And let's look at Exhibit 945 in the attributed  
7 to Connie.

8 And is that the graph, the timeline, that shows  
9 your standard of care summary?

10 A. It's not a timeline, but it does portray the  
11 forensic summary.

12 Q. Your standard of care summary?

13 A. I'm sorry, standard of care summary, correct.

14 Q. Thank you. Based on your review of Connie's  
15 chart, did Dr. Bauer adequately diagnose Connie's medical  
16 issues?

17 A. He did not.

18 Q. Did Dr. Bauer conduct a targeted physical exam?

19 A. He performed a physical exam, but it demonstrated  
20 no focal neurologic deficits or musculoskeletal deficits  
21 that would support a legitimate or effective diagnosis.

22 Q. Did Dr. Bauer perform a clinical workup of  
23 Connie?

24 A. The clinical workup was notably absent in the  
25 sense that her main complaints, or at least initial

1 complaints, had to do with back pain and leg pain which he  
2 labeled as sciatica or radiculopathy, along with the fact  
3 that he did nothing to support that, neither MRI  
4 examination of the lumbar spine, no electro diagnostic or  
5 EMG testing, to look for nerve damage. And the physical  
6 exams demonstrated no objective support for sciatica, so  
7 the evaluation was -- was not according to standard of  
8 care.

9 Q. Did Dr. Bauer order an EMG?

10 A. I did not see evidence of an EMG.

11 Q. And I want you to look at your -- let's look at  
12 Exhibit 94 -- 946.

13 And under the row -- is this your forensic  
14 summary?

15 A. Yes, it is.

16 Q. Under the row associated with Connie, when you  
17 discussed the clinical workup, can you explain your  
18 findings with respect -- with respect to imaging for  
19 Connie?

20 A. Under -- under the clinical workup, it indicates  
21 EMGs demonstrated no cervical or lumbar radiculopathy, and  
22 that the brain MRI reflected very minimal demyelination.  
23 That last part refers to the fact -- I think I'd have to go  
24 back and double check, but I think that refers to the fact  
25 that there was maybe an inferred diagnosis of MS, but I'd

1 have to double check that.

2 Q. So did Dr. Bauer order EMGs or an MRI, or were  
3 those from a prior physician, if you recall?

4 A. Looking at my forensic chronology at the moment,  
5 at least the top part, I don't see any indication that any  
6 EMGs were performed or documented. I -- so there remains  
7 a -- I would have to go through it in detail to see if  
8 there's documentation of any normal MRIs or EMGs, I don't  
9 see that there are any documented at the moment.

10 Q. Okay. Let's talk about the next step of your  
11 forensic summary.

12 Based on your review of Connie's chart, did Dr.  
13 Bauer adequately assess the risk of prescribing Controlled  
14 Substances to Connie?

15 A. He did not.

16 Q. Did Connie have a history of mental illness?

17 A. She had a history of significant mental illness,  
18 as I discussed, yes, on the initial review.

19 Q. And did she have medical co-morbidities?

20 A. She had significant medical co-morbidities --  
21 excuse me. She had some medical co-morbidities which were  
22 of concern. She had been given a -- by Dr. Bauer a  
23 diagnosis of Multiple Sclerosis. She had a history of  
24 COPD. She was a smoker. She claimed a half pack per day  
25 and, again, a history of having used marijuana.

1           She also had, I would add to that, significant  
2 issues with regard to memory loss and multiple falls, which  
3 are red flags, begging the question as to why she's falling  
4 and why she has memory loss, and whether that's due to  
5 disease or narcotics or -- or other drugs being used.

6 Q.           Was Connie a good candidate for long-term opioid  
7 treatment?

8 A.           No, she was not.

9 Q.           Why not?

10 A.           Multiple reasons. The first is she did not have  
11 an objective diagnosis confirmed. There was nothing that  
12 would suggest she had an acceptable diagnosis for the use  
13 of chronic opioid therapy.

14           Secondly, there were no significant past medical  
15 records reviewed to find out if she had been treated with  
16 opioids previously, and if so, how had she -- how has she  
17 responded. A prudent physicians depends a great deal on  
18 prior medical records so we don't have to reinvent the  
19 wheel or expose the patient to additional risks a second or  
20 third time if they'd already had certain therapies or  
21 treatments in the past. In this case there were no medical  
22 records, which standard of care would have required should  
23 have been reviewed first prior to opioids. So lacking a  
24 diagnosis, lacking past medical history, we find that she  
25 had significant mental health co-morbidities, including

1 psychiatric hospitalization.

2           Again, based on what you heard me say before,  
3 mental health, particularly depression and anxiety, is --  
4 is commonly related to chronic pain, not to diminish it,  
5 but it is commonly related. And in the past, the cases of  
6 presenting depression and -- and anxiety, the patient can  
7 manifest a chronic pain syndrome secondary to the mental  
8 illness, a psychosomatic pain, but not due to anything that  
9 opioids can help. So it was not established that she was a  
10 candidate initially for opioids, and she was not a  
11 candidate, over course of treatment time for -- maybe I'm  
12 getting ahead of myself.

13 Q.           Okay. Let me ask you, did Dr. Bauer define a  
14 treatment plan for Connie?

15 A.           No -- well, no. The treatment plan was default  
16 to opioids and injections, which we have seen repeatedly.  
17 There was no individualization or treatment or  
18 multi-disciplinary approach to treatment.

19 Q.           So Dr. Bauer administered procedures, injections  
20 to Connie?

21 A.           Yes.

22 Q.           Let's look at Exhibit 906.

23           And is that your summary of injections  
24 administered to Connie beginning in April 2011?

25 A.           Yes, this is the timeline for steroids and

1 injections.

2 Q. And can you summarize how many and what types of  
3 injections Connie received from Dr. Bauer?

4 A. Yes. Let's see, is there a box there that shows  
5 the totals, or is that the lowest page?

6 Q. We don't see a totals there?

7 A. All right. Let me just see if I have totals in  
8 mine. Yes, I do.

9 She received a total of 38 lumbar epidural  
10 injections, 37 trigger point injections, 24 trochanteric  
11 bursa injections, four wrist injections, 16 doses of oral  
12 prednisone, two injections of the sacroiliac joint, four  
13 injections of the occipital nerve at the base of the held,  
14 one shoulder injection and one facet injection. I don't  
15 know what that totals up to, but that's a large number of  
16 injections.

17 Q. And what was -- what did you determine the  
18 cumulative Medrol dose to be during Dr. Bauer treatment?

19 A. Her cumulative Medrol does that's indicated on  
20 this form that we're looking at here indicate 8,760, so  
21 approximately 87- 8,800 milligrams of steroids over the  
22 course of treatment.

23 Q. Do you find that number significant?

24 A. I find that extraordinarily excessive. As we  
25 talked about before, it's well beyond what we look at as a

1 guideline for a lifetime use of steroids. We have to  
2 recognize that this is a relatively young woman. She's in  
3 her early 50s, so we -- it's hard to understand why that  
4 dose of steroid is necessary. I see no medical foundation,  
5 or medical necessity, for the injections or that amount of  
6 steroids. So, yes, I find that quite concerning.

7 Q. Based on your review of the Connie chart, did the  
8 injections result in any improvement in function or pain?

9 A. No, there was no indication of improvement and  
10 function. She was disabled when -- during the course of  
11 care, and there was no indication that she had any  
12 improvement in either function or quality of life.

13 I'm sorry, you asked me about pain as well?

14 Q. Yes.

15 A. If we look at her VAS pain scores, her initial  
16 VAS pain score that's documented by Dr. Bauer was seven out  
17 of ten. Over the course of time it varied in that region,  
18 around seven or eight out of ten.

19 Towards the end of her care with Dr. Bauer, her  
20 VAS pain scores, the last -- well, the last documented one  
21 while under care with Dr. Bauer was listed as five to nine  
22 out of ten, and that's fairly consistent with what went on  
23 previously. So there was no indication of sustained  
24 improvement as a result of treatment with the injections or  
25 the medications.

1 Q. In fact, let's look at Exhibit 402 of the patient  
2 chart at Page 1290.

3 And what's the date of this visit with Connie?

4 A. This is dated November 21, 2017.

5 Q. And she had a visit with Dr. Bauer?

6 A. It was a visit Connie with Dr. Bauer, correct.

7 Q. And in terms of the history she presented on that  
8 date, can you -- can you summarize for us her -- how she  
9 described her pain?

10 A. There's a lengthy description here of her pain,  
11 but essentially she is describing it as acute and chronic  
12 pain into the left arm, plexus distribution, back and hip  
13 pan and left hand pain, and ranks her pains of five to nine  
14 out of ten, worse with activities of daily living, and  
15 exhibiting -- and recent stress causing significant -- with  
16 significant -- significant other's stroke -- with  
17 significant other's stroke, I presume her husband or male  
18 friend. The patient presents with low back pain, left leg  
19 pain, bilateral leg pain, neck pain, left arm pain and left  
20 hip pain. She describes the pain as sharp, stabbing,  
21 burning, aching, pinching and dull with radiation to the  
22 neck, the left shoulder, left arm, lower back, left hip,  
23 bilateral hips and bilateral legs.

24 Q. And then she does describe, if you go down to the  
25 next line all the way down to let's say -- up here. Stop,



1 please.

2 Can you please explain how she described her pain  
3 in terms of whether it was improved or worse?

4 A. Yes. With regard to that visit, the description  
5 that I just read, she's describing her pain as worse than  
6 it was on the previous visit.

7 Q. Did she also talk about epidural?

8 A. She indicates that, yes, but that's overlying it.  
9 There we go.

10 Since the last epidural 12 weeks ago the pain was  
11 increased by 50 percent or more and lasted ten weeks.

12 Q. If a patient presents after being treated with  
13 opioids with pain that has worsened, would you continue  
14 them on the opioids?

15 A. No.

16 Q. What would -- what would you expect a pain  
17 management physician to do?

18 A. A prudent -- a prudent physician would reduce or  
19 stop the narcotics all together. There's not been an  
20 indication of the three parameters here that we look for,  
21 and that is a measurable, meaningful, and sustained  
22 improvement in function, and a significant -- clinically  
23 significant improvement in pain. Those things were not  
24 achieved, and, therefore, that requires, by standard of  
25 care, that the opioids be weaned and stopped and an

1 emphasis placed on other choices for pain management.

2 Q. Let's look at some other injections. First,  
3 let's look at Exhibit 582.

4 And is this from the Connie chart?

5 A. Yes.

6 Q. And what was the date of the procedure?

7 A. 2-29-2016.

8 Q. 2-29 or 2-09?

9 A. I believe that is 2-09.

10 Q. And what type of injection was this?

11 A. Again, for the jury, this is a picture of the  
12 patient's back, lumbar spine, at time of injection. It's  
13 the sideways or lateral photograph, and it presumably --  
14 well, not presumably, put forth as documentation of having  
15 performed a transforaminal lumbar epidural injection.

16 Q. And can you see the needle on Exhibit 582?

17 A. I can see the needle. And you see me kind of  
18 looking at it from various ways because it's a terrible  
19 photograph. It's inadequate documentation, but I can make  
20 out a needle, and I can make out some of the basic concepts  
21 of anatomy, although this would not be satisfactory if I  
22 were looking at it in realtime trying to place the needle  
23 in the patient's back. But the needle, to the best I can  
24 discern here on this photograph --

25 Q. Can you draw a line any place --

1 A. Right there (indicating), with the tip of the  
2 needle ending right where the end of the line is.

3 Would you like me to further comment --

4 Q. Yes, please.

5 A. -- on why that's inappropriately placed?

6 Okay. So you should be getting pretty good in  
7 anatomy at this point. So the foramen, which is where Dr.  
8 Bauer indicated he was going to put the medication.  
9 Foramen, by the way, is opening. In our words when we say  
10 foramen, it's where the spinal nerve root comes out, and  
11 that's transforaminal injection, putting the medication  
12 where the nerve exits the spine. Here's one foramen right  
13 here, and here's the other one up here (indicating). Those  
14 are the foramen that where, in a properly executed  
15 transforaminal injection, the needle would go. The needle  
16 is not in either of those locations, the tip of the needle  
17 is well outside the spine. And even if the needle were  
18 projected forward from where we see it documented here, it  
19 would go right there into the bone and would miss the  
20 foramen entirely. So I interpret this not only as an  
21 incorrect needle placement, but one that even if it were  
22 pushed forward would not have gone to the right location.

23 There's also a lack of a second view here to show  
24 where the needle really is located. And you've heard me  
25 say that before, and there's also lack of x-ray contrast

1 dye put in to verify that the medication would be going to  
2 the right location. So it's -- this photograph, this  
3 x-ray, indicates that a transforaminal epidural injection  
4 was not done, even though it was billed and described as  
5 such.

6 Q. Would you classify this as more akin to a trigger  
7 point injection?

8 A. Well -- well, I -- we have some concerns about  
9 trigger point injections, so let me modify that just a  
10 little bit. This is probably an injection into the muscle.

11 Now, a trigger point injection is also an  
12 injection into the muscle but with other parameters  
13 associated with it. So the extent that trigger point  
14 injections, or injections into the muscle, this particular  
15 injection, as we review it in this picture, is also  
16 probably an injection into the muscle. That's -- there is  
17 a relationship there.

18 Q. So with respect to the injection on  
19 February 9th of 2016, was it performed in accordance with  
20 the standard of care that you would expect a physician to  
21 apply?

22 A. It was not.

23 Q. And was it, based on your review of the chart,  
24 and of this -- the exhibit, medically necessary?

25 A. It was not medically necessary.

1 Q. Why not?

2 A. First of all, it was not medically necessary  
3 because the patient did not exhibit any symptoms that would  
4 suggest the need to perform a transforaminal epidural  
5 injection.

6 And secondly, it was not medically necessary  
7 because the patient had quite a number of these with no  
8 sustained improvement. Therefore, there was no foundation  
9 to keep repeating them ad infinitum over time and just  
10 adding more and more steroid to the poly pharmacy and  
11 safety concerns to the patient. So there was no diagnostic  
12 indication to use it, there was no therapeutic improvement  
13 to suggest it should still be used.

14 And then thirdly, and importantly, this was not  
15 an epidural injection.

16 Q. And let's look at Exhibit 583. You want to look  
17 at Page 1289, chart number -- Exhibit 402, Page 1289.

18 And what is this, Dr. King?

19 A. This is a x-ray fluoroscopy film, as we've been  
20 looking at from time to time, representing -- or what is  
21 purported to represent the correct placement of medication  
22 and a needle in the spine for a transforaminal epidural  
23 injection.

24 Q. On what date?

25 A. The date is 10-24-17 as performed by Dr. Bauer.

1 Q. And did the chart indicate this would have been  
2 what was described as an epidural injection administered by  
3 Dr. Bauer to Connie on October 24th of 2017?

4 A. Yes.

5 Q. And based on your review of this photograph, were  
6 you able to determine whether that procedure was  
7 successful?

8 A. There's no indication on this photograph that  
9 indicates an epidural was done. For that matter, except  
10 for my extensive experience in reading the x-rays, I think  
11 the average physician wouldn't even be able to tell what  
12 body part's being looked at here, but I see vague outlines  
13 to suggest this is the tailbone and possibly the lower part  
14 of the lower disc. It's a horrible photograph that  
15 certainly does not verify either that an injection was done  
16 or that any needle was placed. I do not see a needle on  
17 this photograph, and there is no indication here that the  
18 procedure, as described by Dr. Bauer, was actually done.

19 Q. Let's look next at Exhibit 584.

20 And what is 584, Dr. Bauer -- or Dr. King, I'm  
21 sorry?

22 A. I'm still looking at the same picture here, or is  
23 this a different one?

24 Q. No, that is 584, you're right. I'm sorry, 585.  
25 I misspoke.

1           And does 585 indicate that there was a trigger  
2 point injection administered to Connie on March 11th, 2016?

3           A.           Yes. That document indicates that there is a  
4 trigger point injection to Connie by Dr. Bauer on the date  
5 of -- where is the date, 12- -- 12-20-17.

6           Q.           12-20 of '17 there was a trigger point injection  
7 administered?

8           A.           Yes.

9           Q.           And then let's look at -- and that was  
10 administered by Dr. Bauer?

11          A.           Correct.

12          Q.           And let's look at Exhibit 587. I think they're  
13 both the same so I'm not going to ask you about that.  
14 That's the exact same Exhibit.

15                       Let's get back to Exhibit 585.

16                       And that's the same trigger point injection that  
17 was administered by Dr. Bauer --

18          A.           Yes.

19          Q.           -- on Connie?

20          A.           Yes.

21          Q.           Can we bring up your summary exhibit of trigger  
22 and epidural injections? Let's look at Exhibit 906.

23                       Does 906 reflect there was an injection on  
24 October 24th of 2017?

25          A.           Yes, it -- no, it indicates there was -- excuse

1 me. It indicates there were -- there were epidurals  
2 injected on that date.

3 Q. And what type of injection on October 24th of  
4 2017?

5 A. A bilateral epidural injection at the level  
6 L5-S1.

7 Q. And does your summary chart also indicate there  
8 was an injection on January 25th of 2018? There is none  
9 there, okay. And one more. Can you move this up a little  
10 bit, please? Would you go to the previous page of the  
11 exhibit? One more page up.

12 And does your summary exhibit here indicate there  
13 was an injection on March 11th of 2016?

14 A. Yes, there was an injection done on 3-11 --  
15 actually, several injections done on 3-11-16.

16 Q. And I believe now we have spoken about -- we've  
17 talked about the epidural, and I think we talked about the  
18 March 11th, 2016, December 20th of 2017 injections.

19 Dr. King, were the injections administered to  
20 Jamie (sic) administered with medical necessity?

21 A. No. Medical necessity was lacking for those  
22 injections.

23 Q. Why so?

24 A. A diagnosis, an objective diagnosis was not  
25 established that would merit the use of those injections.



1 The injections were not documented, as we looked at in the  
2 x-rays to have been done as they are advertised or as they  
3 were dictated and described. The injections had been done  
4 multiple times over the course of care, and none of them  
5 had demonstrated any improvement in either pain or  
6 function; therefore, again, there was no medical necessity  
7 to repeat them again or to offer them. So as a result,  
8 they were given without medical necessity, without medical  
9 indication and outside the usual course of medical care.

10 Q. And I will rephrase, and I made a mistake. I  
11 indicated Jamie, the prior patient, instead of Connie.  
12 Were you referring to Connie?

13 A. I was referring to Connie, yes.

14 Q. Thank you. Thank you.

15 Dr. King, did -- let's talk about compliance  
16 enforcement and outcome as it relates to the medications  
17 prescribed to Connie.

18 Did you see any evidence in the chart that there  
19 was clinical improvement?

20 A. There was no indication of clinical improvement  
21 as she remained disabled throughout.

22 Q. Did you see any drug risk behaviors or red flags?

23 A. There was significant drug risk behaviors and  
24 multiple red flags.

25 Q. Can we look at Exhibit 941, please?

1           Is this your red flag chart, Exhibit 905, for  
2     Connie?

3     A.           It is, yes.

4     Q.           And does it describe any abhorrent behaviors or  
5     risk behaviors that you noted after reviewing the Connie  
6     chart?

7     A.           Yes. It demonstrates multiple abhorrent  
8     behaviors of concern, and I can kind of run down and  
9     generalize. So, in general, here we're dealing with an  
10    individual who's requesting various medications, stronger  
11    dose or pain patch formulation, which tells us that the  
12    medications aren't working.

13                There are various rescue medications, and we've  
14    discussed that previously that she is requesting and  
15    includes in the office, injection of Demerol and Phenergan.  
16    For a moment I have to point out this one question -- this  
17    one statement here that the dog ate her Percocet. This is,  
18    unfortunately, a repeated excuse that we see in the clinic  
19    frequently to the point where it becomes kind of one of the  
20    you roll your eyes when the patient says the dog ate my  
21    medication. Why didn't your dog then die of opioid  
22    overdose if they really ate your medication? Virtually all  
23    the time it is an excuse because the medication was either  
24    sold or diverted in some manner or taken excessively. So  
25    with that thought in mind, she complains or states that the

1 dog ate her Percocet, which is a terrible excuse. So  
2 she's -- the medications aren't working, she's losing her  
3 medication and accusing the dog of eating it. She is  
4 demonstrating inconsistent urine drug tests. And this  
5 particular indication it was inconsistent for the presence  
6 of marijuana, THC, and it was interesting that she pleaded  
7 with Dr. Bauer to, quote, don't validate the THC results.  
8 For some reason she desperately did not want that validated  
9 in black and white on her chart. I don't know why, but  
10 that's -- that's of concern. More early refill requests  
11 with excuses that she, quote, dropped a couple somewhere.  
12 She's been in the emergency room because she fell out of  
13 bed, that's highly unusual on a woman of young age, which  
14 she is. Again, early refills at the pharmacy, inconsistent  
15 urine drug screens continuing to show up, and indicating  
16 that her pain is not only still a problem, but it getting  
17 worse.

18 Q. At some point here did Connie suffer a mental  
19 breakdown?

20 A. Yes. On 8-15-2015 she was admitted through the  
21 emergency room, quote, for acting strangely for the past 24  
22 hours and was consequently transferred to Northern Ohio  
23 Psychiatric Hospital. I don't have the hospitalization or  
24 the report of exactly why she was acting strangely or what  
25 the diagnosis was, but in the context of everything we've

1 seen here, it is highly likely to be drug related.

2 Q. And does your chart reflect inconsistent urine  
3 drug screens with that -- that showed positive for THC?

4 A. Yes, three of the UDCs were positive for THC.

5 Q. Is she also requesting early refills?

6 A. Yes, multiple times.

7 Q. All right. I want to show you one more image  
8 with respect to an injection. Let's look at Exhibit 402.  
9 Chart Pages 1443 to 1450. Well, we're going to move on  
10 while they're bringing that up.

11 Let's talk about whether or not you saw any  
12 improvement with either the medications or the injections  
13 at the end of Dr. -- at the end of Connie's treatment with  
14 Dr. Bauer.

15 A. There was no indication that either the VAS pain  
16 scores or function had improved over the course of  
17 treatment or by the end of treatment. As a matter of fact,  
18 there was several indications, statements by the patient's  
19 part, that the pain was worse than it previously was, and  
20 that could be a reflection, by the way, of the fact that as  
21 the Morphine equivalency increased, as the jury now knows,  
22 Opioid-Induced Hyperalgesia could have been kicking in and  
23 starting to cause worsening pain based actually on the  
24 drugs that were being administered.

25 Q. We'll look at the prescriptions in a minute, but

1 did you see from the patient chart that Connie was  
2 prescribed Fentanyl by Dr. Bauer on January 25th, 2018?

3 A. I'm not looking at anything on the screen. Would  
4 you like me to look up on my -- I'm scrolling to it, so if  
5 you can give me that date again.

6 Q. January 25th, 2018.

7 A. 1-25-18. Yes, on 1-25-18, Dr. Bauer prescribed  
8 to Connie a prescription for Percocet, 10 milligrams, five  
9 of them per day.

10 Q. Of Percocet?

11 A. Yes. Well, Oxycodone, but in the form of  
12 Percocet, yes.

13 Q. And were there three separate prescriptions  
14 written on 1-25 of 18?

15 A. Yes. Yes, there were. And they were each for  
16 the Percocet 10-milligrams to be taken five per day.

17 Q. And did Dr. Bauer also prescribe Fentanyl to  
18 Connie on January 25th, 2018?

19 A. I have the Fentanyl prescription on -- range  
20 on -- just a moment. Yes, on January 25, 2018, Dr. Bauer  
21 prescribed Fentanyl, 50 microgram patch to Connie.

22 Q. All right. And were there two other  
23 prescriptions that were refills for the same Fentanyl  
24 Duragesic patch at 50-milligram -- 50 MCG patches on 1-25  
25 of '18?

1 A. I don't have that information.

2 Q. Okay. So let's look at Exhibit 508.

3 A. This is a prescription issued to Connie by Dr.  
4 Bauer on 1-25-18 for 50-microgram Duragesic transdermal  
5 patch.

6 Q. And 501, 509?

7 A. This is a prescription issued to Connie by Dr.  
8 Bauer on 1-20-18, Duragesic 50-microgram transdermal patch.

9 Q. And 507?

10 A. This is, again, a prescription issued to Connie  
11 by Dr. Bauer on 1-25-18 for Duragesic 50-microgram  
12 transdermal patch.

13 Q. And those three are Fentanyl, correct?

14 A. Those are all Fentanyl formulations, correct.

15 Q. And moving on to the Percocet prescriptions you  
16 described earlier. Exhibits 510, 11 and 12, 510, 511 and  
17 512, can you describe those as they come up?

18 A. 510 is a prescription to Connie by Dr. Bauer on  
19 1-25-18, Percocet 10 milligrams, five of them per day.

20 Q. 5-11?

21 A. This is a prescription issued to Connie by Dr.  
22 Bauer for Percocet 10-milligrams, five per day issued,  
23 again, on 1-25-18.

24 Q. And 512?

25 A. This is another prescription of the same type

1 issued to Connie by Dr. Bauer for Percocet 10-milligrams to  
2 be taken five per day, dated 1-25-18.

3 Q. Dr. King, were the prescriptions for Fentanyl and  
4 Percocet Dr. Bauer wrote to Connie on January 25th, 2018  
5 dispensed with a legitimate medical purpose and within the  
6 course of professional medical practice?

7 A. They were not.

8 Q. Why not?

9 A. First of all, a legitimate and objective pain  
10 diagnosis was never established. There were multiple  
11 indications here that she was addicted to the medications,  
12 and was requiring them for that purpose.

13 And then, thirdly, the medications had been  
14 prescribed for some time, but had offered no improvement in  
15 VAS pain scores or function; therefore, were not medically  
16 indicated and were, therefore, prescribed without a  
17 legitimate medical purpose. A prudent practitioner would  
18 not have continued to prescribe medications under these  
19 circumstances.

20 Q. Thank you. All right. Now, let's go back and  
21 look at Exhibit 20, Pages 1443 to 1450. All right, are you  
22 familiar with this chart?

23 A. With this chart picture?

24 Q. Yes.

25 A. Yes, I am.

1 Q. And is this a portion of Connie's chart when she  
2 received care at ANA post Dr. Bauer?

3 A. Yes, it is.

4 Q. Okay. So she was not being treated by Dr. Bauer  
5 at this time?

6 A. That's correct. This is not a picture of a  
7 procedure that was performed by Dr. Bauer.

8 Q. Okay. Was this a procedure performed by a  
9 different doctor?

10 A. Yes. I don't see it listed on here, but my  
11 recollection is it was performed by one of Dr. Bauer's  
12 colleagues.

13 Q. Okay. And what was the date?

14 A. The date on this is 4-14-2020.

15 Q. And what procedure was performed?

16 A. If you give me just a moment I'm going to cross  
17 reference it on my list here. The procedure being  
18 performed here is a transforaminal epidural injection, the  
19 same type of procedure that was represented previously when  
20 we looked Dr. Bauer's photographs.

21 Q. And what, if anything, is significant in this  
22 exhibit as you look at the image?

23 A. Well, many things are significant. First is my  
24 recollection is that this is one of several picture that  
25 were taken during the time of this injection. And I'm sure



1 you'll show them here in a moment and I can critique them,  
2 but the fact is this one of many pictures which, number  
3 one, is appropriate. You heard me say you need to have  
4 several pictures so we can fix the location of the needle  
5 in the three-dimensional space.

6 The second here you can see the needle there,  
7 I'll outline it anyway. The needle tip, at least in this  
8 location, is located right here (indicating).

9 MR. GIBBONS: Your Honor, can I ask what Exhibit  
10 Number that comes from?

11 MS. DUSTIN: This is exhibit --

12 THE COURT: 420.

13 MS. DUSTIN: Yes.

14 MR. GIBBONS: Thank you.

15 THE COURT: 402.

16 MR. GIBBONS: Thank you.

17 A. The needle tip has a slight bend to it, which is  
18 intentional. We bend the tip of the needle so we can  
19 rotate the needle and steer it as we enter it into the  
20 location we need. So this, based on my recollection that  
21 there are other injections which will show the final  
22 location, nevertheless shows that, excuse me, shows that  
23 the needle is properly presented, and is in a proper  
24 trajectory to do a transforaminal epidural injection. Also  
25 it's clear. I can see the anatomy. This is a well-taken

1 and well-represented photograph.

2 Q. And was there contrast used?

3 A. Not yet.

4 Q. Okay. And if we can -- you stop us as the image  
5 comes up.

6 A. I'm actually looking for a way to erase my marks  
7 here. I'm not sure I know how to do that. I see it here.  
8 I can do it.

9 So this -- that picture we just looked at was  
10 front to back. The patient was lying down on their  
11 stomach, and the x-ray was taken front to back. Here we  
12 have a sideways x-ray taken, and you can clearly see the  
13 needle, I'll outline it right here. Whoa, didn't outline.  
14 There we go.

15 You can see the outline of the needle there, and  
16 the final position of the needle is correctly located. I  
17 don't want to muddy up the picture here, but I'm going to  
18 draw a circle around the foramen that you've seen me draw  
19 previously, and you will note that the tip of the needle is  
20 properly located within the foramen. The foramen is right  
21 here (indicating), and the tip of the needle is just barely  
22 inside the foramen, which is what we want to see, so this  
23 demonstrates the needle on the proper trajectory at the  
24 proper location with the needle tip appropriately located  
25 and a photograph of film here that defines the anatomy

1 clearly. And again, this isn't the only one.

2 We'll go forward here because the question was  
3 asked about contrast. You can give me control so I can  
4 erase things, or if you want to erase them that's fine.

5 Q. You want to go previous or later?

6 A. This is fine. Just erase my --

7 Q. Okay, erase.

8 A. Now, this is on the other side. This is on the  
9 right side of the patient here, but it was done on both  
10 sides, but this is still a good example of an injection  
11 where the needle is properly placed. Contrast is used, and  
12 the needle tip is shown to be in the right location. So  
13 here we see the needle coming in right here, the tip of the  
14 needle is where it should be located in the foramen.  
15 Again, the foramen -- different here because we're looking  
16 at different view front to back, but you see all this dark  
17 stuff, this sort of dark cloud here, and I'm going to use a  
18 dotted line to show you a couple things. So right here you  
19 see sort of a dark line coming down, which is the x-ray  
20 contrast material enveloping and defining the nerve as it  
21 comes out. The needle is not in the nerve, it's alongside  
22 the nerve. And again, this is a perfect picture. This is  
23 the way it should be done. So the contrast dye went around  
24 the nerve to show the needle is properly located, and if  
25 the medication is injected at this location, it's properly

1 presented.

2           The other thing you will see here, and I'm going  
3 to use the dotted line, is you see all this cloud, that's  
4 not a dotted line, all this cloud that kind of comes around  
5 here, and then there's a little bit of some dye out here as  
6 well. But this cloud here in the center where I'm putting  
7 the big X, is proper. That means that the dye has  
8 retrograded back into the epidural space because this is an  
9 epidural, right, it's a transforaminal approach. Goes in  
10 by way of the nerve, and we see that the needle tip is  
11 properly laying alongside the nerve, not in the nerve, not  
12 in a blood vessel, and the medication, the dye retrogrades  
13 back into the epidural space. That's perfect. This is a  
14 perfectly done, properly documented procedure as dictated  
15 and as advertised. This is not what we saw in Dr. Bauer's  
16 photographs.

17 Q.           Thank you. Let's move on to another patient.

18           Dr. King, did you review Dr. Bauer's patient  
19 chart for a patient named Rodney?

20 A.           I did.

21 Q.           And based on your review of the Rodney chart, did  
22 Dr. Bauer follow the standard of care for prescribing  
23 Controlled Substances?

24 A.           He did not.

25 Q.           And based on your review of the chart, did Dr.

1 Bauer write prescriptions for Controlled Substances with a  
2 legitimate medical purpose and within the course of  
3 professional medical practice?

4 A. He did not.

5 Q. Again, did you prepare a forensic timeline to  
6 summarize Rodney's chart?

7 A. I did, yes.

8 Q. And let's look at Exhibit 916.

9 Is 916 your forensic timeline for patient Rodney?

10 A. Yes, it is.

11 Q. And describe patient Rodney.

12 A. Rodney was 44 years old at time of last visit.

13 He was under care with Dr. Bauer for approximately six  
14 years, which puts his age at -- in the late 30s, so let's  
15 say 38 years of age or so when he first became under the  
16 care of Dr. Bauer. So he's young. He's a young man.

17 His -- his pain diagnosis, his complaints during  
18 the initial time with Dr. Bauer included what we sometimes  
19 call failed back syndrome, which really means back pain  
20 that continues on despite an operation. So he had back  
21 pain, chronic low back pain with radiation into his leg.  
22 He apparently had trial -- been trialed with treatment of a  
23 nerve, a spinal cord stimulator at some point. So it's  
24 noted on his initial complaint to the -- to the office that  
25 he had, quote, failed Neuromodulation, which means he had

1 had spinal cord stimulator that didn't work. But he  
2 complained of nerve pain, low back pain, arm pain, sacral  
3 pain, hip pain, neck pain, herpes zoster pain, arthritis,  
4 headaches. Again, there's a litany of pain complaints here  
5 put forth by Rodney, mostly centralized around the low  
6 back. He had a history of alcohol use, we don't have  
7 defined exactly how much alcohol he used. He denied any  
8 illegal drug history. He did have a history of depression  
9 and anxiety, which, again, has to be taken seriously on any  
10 of our patients who were considering opioid treatment.

11 From a physical co-morbidity standpoint, as far  
12 as we can tell, as far as the documentation provided to us,  
13 there were -- there were no significant co-morbid illnesses  
14 beyond a complaint that he had fibromyalgia or diffuse  
15 muscle pain.

16 Q. Was Rodney related to another patient?

17 A. Yes, Rodney was related to Amy.

18 Q. Okay.

19 A. Amy and --

20 Q. How so?

21 A. Husband and wife.

22 Q. Can you give us an overview of the Controlled  
23 Substance and opioids that Rodney was prescribed by Dr.  
24 Bauer for the management of pain during his care with Dr.  
25 Bauer?

1 A. Over the course of the six years of treatment  
2 with Dr. Bauer, Rodney received initially Oxycontin and  
3 Oxycodone, the combination of both of them. The Oxycodone  
4 and Oxycontin was continued as various combinations of  
5 doses over time.

6 Opana, and morphine -- controlled release  
7 morphine, MS Contin, was also trialed at one point along  
8 the care and treatment of the patient. Ultimately after a  
9 trial -- unsuccessful trial of Opana and MS Contin, the  
10 care was again returned with treatment of Oxycodone,  
11 Oxycontin, of various form, utilizations.

12 In the end, prior to Rodney's death, he was being  
13 prescribed a combination of Oxycodone 10-milligrams two a  
14 day, Oxycodone 30 milligrams five a day, and Oxycontin,  
15 controlled release Oxycodone, 60 milligrams three times a  
16 day.

17 Over the course of his treatment of about six  
18 years, he had been escalated from a dose of 22 morphine  
19 equivalents, which is what he was at initially when he  
20 presented to Dr. Bauer's practice. He was escalated fairly  
21 quickly actually over the course of time to the 200s, to  
22 the 300s, to the 400s. And during the latter part of his  
23 care, Dr. Bauer was prescribing those Oxycodone  
24 combinations to a total morphine equivalency of 525  
25 morphine equivalents.

1 Q. And do you find that number significant?

2 A. I find that highly significant. Over the course  
3 of that time, his VAS scores did not change except perhaps  
4 to slightly worsen. His VAS scores when he initially  
5 presented to Dr. Bauer's practice was five to six out of  
6 ten. Over time it went up to eight out of ten, nine out of  
7 ten, ten out of ten, and there were multiple -- pardon me,  
8 and there were multiple visits towards the end of his care  
9 prior to his death where his VAS scores were ten out of  
10 ten. His last documented VAS score was eight out of ten.  
11 So we have a rapid and significant escalation of morphine  
12 equivalencies with no improvement in the VAS score and no  
13 indication that function improved either.

14 Q. Let's just bring up Exhibit 945. And to the row  
15 attributed to Rodney, is that a chart of your standard of  
16 care with respect to Rodney?

17 A. It is. Yes.

18 Q. And let's talk -- first, based on your review of  
19 Rodney's chart, did Dr. Bauer adequately diagnosis Rodney's  
20 medical issues?

21 A. No. There was no objective diagnosis that was  
22 established for Rodney's pain syndrome.

23 Q. We just lost -- sorry. I'll let you keep going  
24 while they get that back up for us.

25 There was no objective diagnosis?



1 A. Correct.

2 Q. And was a targeted exam conducted?

3 A. A physical exam was done, but there was no  
4 indication of any focal, motor or neurological deficits  
5 that would help establish a foundational and objective  
6 diagnosis.

7 Q. Was -- did Dr. Bauer perform a clinical workup of  
8 Rodney.?

9 A. There was no indication here that a MRI was done  
10 or that Electromyography was performed, EMGs to support the  
11 diagnosis of radiculopathy or sciatica.

12 Q. Now, can you -- can you just refer to your  
13 forensic summary of Rodney since we don't have the -- right  
14 now. We're just going to leave this up right now, we'll  
15 leave the standard of care up. If you can yourself refer  
16 to your forensic summary -- in fact, and look at clinical  
17 workup column.

18 A. The clinical workup I gave him the -- a green  
19 checkmark on that. There was, and I need to pull up one  
20 more document here if you'll bear with me for a moment.  
21 I'm going to correct what I said initially before we had  
22 the power flickering failure there.

23 He did have EMGs performed, MRIs were performed,  
24 and he had had -- that is to say Rodney had had a referral  
25 to previous surgeons and orthopaedic physicians so the

1 appropriate clinical workup was performed and to give  
2 credit to Dr. Bauer that standard of care was addressed  
3 properly.

4 Q. Thank you. All right. And next looking at,  
5 did -- looking at Rodney's chart, did Dr. Bauer adequately  
6 assess the risks of prescribing Controlled Substances to  
7 Rodney?

8 A. I'm going back to my other document, so bear with  
9 me for just a moment.

10 Q. Yeah, we'll let you bring them out while they  
11 work out the technical glitches here.

12 A. I'm sorry, would you repeat the question?

13 Q. We don't need that. I asked you if Dr. -- based  
14 on your review of Rodney's chart if Dr. Bauer performed an  
15 adequate risk assessment of Rodney?

16 A. No, I don't believe an adequate risk assessment  
17 was done, and I will explain why if you'd like.

18 Q. Yes, please.

19 A. There was significant mental health  
20 co-morbidities, as I mentioned, with regard to depression  
21 and anxiety. They were not developed, they were not  
22 followed up on. Rodney had -- had been complaining of  
23 longstanding back pain for a long time. Admittedly, he had  
24 had two previous surgeries, but his pain had increased over  
25 time, and he had failed multiple opioid trials with pain

1 medication and had even gotten to the point, as I  
2 mentioned, where he had had a spinal cord stimulator  
3 implanted. Nothing worked for him from an interventional  
4 or pain pharmacology standpoint. So he was not a candidate  
5 for additional narcotics because his prior trials had not  
6 worked, and so there's no need to repeat them.

7 Q. He was not a good candidate for long-term opioid  
8 treatment?

9 A. He was not a candidate for -- well, for the  
10 continuation or the initiation of the doses, and he was  
11 definitely not a candidate for continuation of high dose  
12 opioid therapy because of the failed previous trials.

13 Q. And did Dr. Bauer establish a defined treatment  
14 plan for Rodney based on your review of the chart?

15 A. The treatment was not individualized, and it  
16 was -- and it was not multi modal, so a -- a standard of  
17 care treatment plan was not defined. It was the same sort  
18 of thing we've seen repetitively where it was injections  
19 and opioids, and there was not a multi-disciplinary, again,  
20 or individualized treatment plan formulated.

21 Q. Did you, based on the chart, identify concerns  
22 with the types or combinations of drugs that Dr. Bauer  
23 prescribed to Rodney?

24 A. I did. I had several concerns.

25 Q. What are they?

1 A. The concern mainly was around the fact that  
2 Oxycontin in every -- excuse me, Oxycodone and Oxycontin in  
3 ever-increasing doses were prescribed years went on despite  
4 no improvement in pain or function. Why is that a concern?  
5 Because Oxycodone has a particular street popularity and  
6 street value. So the combination of 10-milligram  
7 Oxycodones, 30-milligram Oxycodones, and 60 milligrams  
8 Oxycontins are very street popular. And in addition to  
9 being very popular from an abuse standpoint, they also,  
10 associated amongst all the opioids that we might consider,  
11 they are also greatly associated with addiction and  
12 overuse, respiratory depression and death. The particular  
13 use of Oxycodone has to be done with care and foresight.  
14 The administration of multiple formulations of Oxycodone  
15 and Oxycontin have great risk associated with them of  
16 death, addiction and diversion. That's where my concerns  
17 lie with that particular poly pharmacy combination, aside  
18 from the fact that the MEQs were well above 500, which is  
19 well into the region of extreme concern where we expect all  
20 the side effects that we talked about, including  
21 opioid-induced Hyperalgesia, which is to say worsening  
22 pain.

23 Q. With regard to function, did Rodney have any  
24 meaningful improvement in pain or function as a result of  
25 the medications?

1 A. He did not.

2 Q. And why was he continued on opioid therapy for  
3 years if his quality of life wasn't improving?

4 A. He should not have been maintained. The opioids  
5 should not have been considered on the initial visit to Dr.  
6 Bauer because of a history of failed previous treatment,  
7 and he certainly should not have been maintained going  
8 forward. A prudent physician would not have continued the  
9 opioids and would not have escalated them to the doses that  
10 we see or use the combination of Oxycodones and Oxycontin  
11 that we've described.

12 Q. Did you put together a list of red flags or risks  
13 that you saw evident based on your review of the Rodney  
14 chart?

15 A. I did, yes.

16 Q. Okay. So let's go to plan B if we can use the  
17 projector. Can we use that?

18 A. Go for it.

19 Q. Okay. Let's try.

20 THE COURT: Let's take a standing break for a  
21 couple minutes.

22 (A brief recess was taken.)

23 BY MS. DUSTIN:

24 Q. Dr. King, did you prepare a chart, summary chart,  
25 describing the red flags you saw evident in Rodney's chart

1 between October of 2010 and August of 2013?

2 A. I did, and that's what's represented here, a  
3 timeline, a red flagged timeline.

4 Q. Can you just summarize and give us a summary of  
5 what type of red flags you saw based on your review?

6 A. There were multiple times -- well, initially here  
7 it shows that the meds were not helping, which is  
8 consistent with what I indicated earlier. He had been  
9 through previous opioid trials with no improvement, and the  
10 meds prescribed by Dr. Bauer were not helping, he wanted  
11 to, and he made the specific recommendation or notation  
12 that neither the Oxycontin or MS Contin, which have the  
13 continuous release or extended release formulations of  
14 those two medications were helpful. As a result, he  
15 started requesting increases of Roxicodone, which is a  
16 brand name for Oxycodone, and was a no show at several  
17 appointments, no show for a pill count, indicated that an  
18 additional medication, Lyrica, was not working, and there's  
19 a host of calls there where he indicates that he's out of  
20 his medications early, makes early refill requests, and  
21 continues to be noncompliant with regard to keeping his  
22 appointments. And then the last two there, although it's  
23 not specifically defined as such, those are urine drug  
24 screens that were inconsistent for various medications.  
25 Cyclobenzaprine, which is Flexeril and Tramadol, which is

1 Ultram.

2 Q. Why are they in red?

3 A. They were in red just because they were not  
4 prescribed --

5 Q. They would --

6 A. -- or at least not noted in the chart as being  
7 prescribed.

8 Q. And did you also determine whether or not there  
9 were any urine drug screens, and if we can go back to  
10 Exhibit 45 and the row attributed to Rodney.

11 A. Yes, if you'd like me to comment on the urine  
12 drug screen row before we lose our image here. I gave Dr.  
13 Bauer the benefit of the doubt and gave him a green  
14 checkmark on that particular point of urine drug screen for  
15 two reasons. One is neither -- well, Cyclobenzaprine is  
16 not a Controlled Substances, so it doesn't show up on the  
17 others, and I wasn't, based on the documentation that we  
18 have in the chart, I wasn't sure if the Cyclobenzaprine was  
19 prescribed by another provider. I did not see it on the  
20 list under medications prescribed by Dr. Bauer. In any  
21 event, Cyclobenzaprine was not a controlled -- is not a  
22 Controlled Substance, and because of the uncertainty about  
23 who prescribed it, I -- I didn't count it against Dr.  
24 Bauer.

25 Q. So you indicated he met the standard of care for

1 that category?

2 A. Correct. The two year drug series that we do  
3 have showed the presence of the prescribed medications,  
4 which were the critical aspects, so, again, I gave credit  
5 to Dr. Bauer for having fulfilled that standard of care.

6 Q. And in terms of how often you would expect a  
7 doctor to actually perform urine drug screens in the  
8 long-term management of an opioid patient, how often would  
9 you -- would you like -- would you expect to see that type  
10 of urine drug screen performed?

11 A. Urine drug screen frequency is based on risk.  
12 Rodney has significant risk because of -- because of  
13 certain behaviors, and because of the morphine  
14 equivalencies he was on. He was on an extremely high  
15 morphine equivalency. That, by definition, puts him into a  
16 high risk category. So the general recommendation for high  
17 risk urine drug screening is up to four times a year,  
18 perhaps more if the patient shows up intoxicated or has  
19 other issues, abhorrent activities, but on the order of  
20 about four per year.

21 Q. And you also indicated that Dr. Bauer met the  
22 standard of care under the PDMP column. Can you tell us,  
23 based -- why you based your conclusions as -- as having met  
24 the standard of care?

25 A. There were no indications of -- of -- well,



1 the -- let me pull up my other document that explains that  
2 so bear with me a moment.

3 Q. While Dr. King is doing that, can you bring back  
4 up 917?

5 A. The PDMP for Rodney was consistent in the sense  
6 that it did not show any other doctor shopping. I would  
7 hasten to point out, however, that there was a history of  
8 pharmacy shopping, but because Dr. -- because Rodney had  
9 visited, based on the PDMP, five different pharmacies, but  
10 at least there was no indication of doctor shopping, and  
11 because of that I gave a green checkmark in favor of Dr.  
12 Bauer.

13 Q. And let's look at the next pages of Exhibit 917  
14 since we lost it earlier.

15 And what does this portion of 915, this graph,  
16 represent?

17 A. The jury will recognize this as a timeline  
18 indicating abhorrent events on the upper part demonstrated  
19 by red flags, and then blue pennants on the bottom  
20 indicating specific injections. And then at the bottom,  
21 what we've talked about in terms of a progressive and very  
22 high dose morphine equivalency over the course of time.

23 Q. We haven't talked specifically about injections  
24 that Rodney received from Dr. Bauer. Let's look at Exhibit  
25 590.

1           And do you recognize 590?

2    A.           This is a -- an office note for Rodney. And it  
3    is dated 10-22-14, wherein Rodney complains of neck and  
4    back pain.

5    Q.           And does Rodney receive an injection on that  
6    date?

7    A.           Yes, he -- he receives trigger point -- a trigger  
8    point injection on that date.

9    Q.           And --

10   A.           May I point out something on that note for a  
11   moment? I just want to reinforce to the jury that part of  
12   my comments earlier was for a trigger point to fulfill  
13   medical necessity and to be accepted as a legitimate  
14   diagnosis for which a trigger point injection is an  
15   appropriate part of the description has to be the  
16   definition of where that trigger point is. And if it  
17   reproduces the pain, if it radiates into the usual location  
18   of the patient's complaint, and a description of which  
19   muscle is injected, in this particular dictation here, and  
20   this is fairly representative of other dictations for  
21   trigger point injections by Dr. Bauer, it indicates that  
22   the trigger point was, quote, in the upper quarters, and in  
23   the infrascapular region, which does not address the  
24   medical necessity for saying what muscle was it in and  
25   where was the trigger point, and did it reproduce the

1 patient's pain. I thought this was an example that was  
2 worth pointing that out.

3 Q. Well, based on that, and your review of the  
4 patient chart for Rodney, was the trigger point injection  
5 that Dr. Bauer gave to Rodney on October 22nd, 2014  
6 administered with legitimate medical purpose?

7 A. For the reasons I just described, no, it was not.

8 Q. Now, let's look at the last column, we don't have  
9 to put it up for now, of your standard of care summary. It  
10 talks about death or discharge. How did Rodney's treatment  
11 with Dr. Bauer end?

12 A. Rodney died.

13 Q. And do you know the cause of death?

14 A. He -- based on a note in his wife's chart, Amy,  
15 Rodney committed suicide. I don't have any details as how  
16 that suicide was committed except the fact was that he did  
17 commit suicide.

18 Q. And was there any notation of -- of that in  
19 Rodney's chart, what you could see?

20 A. Let me double check my notes on that, if I may.  
21 I don't have any notes as part of the medical chart that  
22 indicates anything further. Again, the notes I have were  
23 represented in Amy's chart.

24 Q. And in the course of treating a patient for the  
25 long-term management of pain with opioids, if that

1 patient's death ends by suicide, would you consider that  
2 significant in -- in that treatment -- that patient's  
3 treatment?

4 A. Absolutely, yes. This is an individual who's  
5 failed opioid treatment prior to coming to Dr. Bauer, and  
6 for six years of care under Dr. Bauer was escalating doses  
7 of Oxycodone, and I think this serves as a reminder to all  
8 of us as providers that when someone -- when a patient  
9 complains of depression and anxiety, we can't simply say,  
10 oh, that's just because they've got chronic pain. No,  
11 there is an incident, a significant incidence, I don't know  
12 if I can provide the percent, but there's a significant  
13 incidence of suicide associated with mental illness, and  
14 particularly with respect to depression and anxiety.

15 MR. GIBBONS: Objection.

16 THE COURT: Grounds?

17 MR. GIBBONS: Not responsive to the question that  
18 was asked.

19 THE COURT: Overruled. You may cross examine  
20 when your turn comes.

21 A. Given the fact that the mental health  
22 co-morbidities were identified by Dr. Bauer, suicide should  
23 have been considered as a potential adverse effect, if you  
24 will, and the opioids should have been considered and  
25 formed with that concern. The opioids should not have

1 been -- well, the opioids -- there was no indication to  
2 begin opioid therapy to begin with, or to maintain it or to  
3 escalate it to the levels that suicide should have been a  
4 concern for Rodney from the beginning.

5 Q. In terms of a -- the physician's management of  
6 other patients for -- with long-term opioids for the  
7 management of pain, would that type of event with another  
8 patient affect a physician's treatment going forward with  
9 other patients?

10 A. It should have. And again, this goes back to  
11 what we discussed as an audit. This is a sentinel event.  
12 A patient has died, a patient has died, in this case by his  
13 own hand, by suicide, of the care that was provided. The  
14 reasons for providing the patient with the care he had  
15 should have been reviewed. The diagnosis, such as they  
16 were, should have been reviewed. An audit of the chart  
17 should have been looked at to prevent this from happening,  
18 to the extent we can, another time.

19 Q. Let's now look at Exhibit 536.

20 And describe, what is this?

21 A. This is a prescription issued to Rodney by Dr.  
22 Bauer on April 27, 2016. The medication is Roxicodone  
23 which is Oxycodone, 30-milligram tablets, five of them per  
24 day.

25 Q. And you said April 27th, 2016?

1 A. Correct, yes.

2 Q. And let's look at Exhibit 537.

3 A. This is a prescription issued to Rodney by Dr.  
4 Bauer on April 27th, 2016 for Oxycontin 10-milligrams, two  
5 of them a day.

6 Q. And Exhibit 538?

7 A. This is a prescription issued by Rodney -- or to  
8 Rodney by Dr. Bauer on April 27th, 2016 for Oxycodone 60 --  
9 excuse me, Oxycontin 60-milligrams, three times a day.

10 Q. And Exhibit 539?

11 A. This is a prescription issued to Rodney from Dr.  
12 Bauer on April 29th, 2016 for Roxicodone, which is  
13 Oxycodone, 30-milligrams, five per day.

14 Q. 540?

15 A. This is a prescription issued to Rodney by Dr.  
16 Bauer on April 29th, 2016 for Oxycontin, 10-milligrams, two  
17 times a day.

18 Q. And 541?

19 A. This is a prescription issued to Rodney by Dr.  
20 Bauer on April 29, 2016 for Oxycontin, 60 milligrams three  
21 times a day.

22 Q. Dr. King, were the opioid prescriptions Dr. Bauer  
23 wrote for Rodney on April 27th and April 29th of 2016  
24 dispensed with a legitimate medical purpose and within the  
25 course of professional medical practice?

1 A. They were not.

2 Q. Why not?

3 A. They were prescribed after a history of failed  
4 opioid treatment previously. They never should have been  
5 eradicated to begin with, and certainly should not have  
6 been maintained. What we referred to as an opioid exit  
7 strategy should have been exercised. They should have been  
8 stopped.

9 Secondly, there was no indication, either  
10 previously or going forward, that there was any indication  
11 of improvement in VAS pain scores or in function, which  
12 means that there was no medical foundation for the use of  
13 ongoing opioids. They were used without a legitimate  
14 medical purpose.

15 And then, additionally, as I indicated, there was  
16 a significant risk associated with the mental health  
17 co-morbidities of the patient combined with the  
18 exceptionally high morphine equivalence, and the very  
19 dangerous and addictive combination of Oxycodone and  
20 Oxycontin products that would not have been prescribed by a  
21 prudent physician; thereby making the prescribing of  
22 ongoing opioids outside the usual course of medical  
23 practice.

24 Q. Let's move on to patient Brandon.

25 Did you review Dr. Bauer's patient chart for a

1 patient by the name of Brandon?

2 A. I did, yes.

3 Q. And based on your review of the Brandon chart,  
4 did Dr. Bauer follow the standard of care for prescribing  
5 Controlled Substances?

6 A. No.

7 Q. Based on your review of the Brandon chart, did  
8 Dr. Bauer write prescriptions for Controlled Substances  
9 with a legitimate medical purpose and within the course of  
10 professional medical practice?

11 A. They were not written for a legitimate medical  
12 purpose or in the usual course of medical practice.

13 Q. And again, did you prepare a forensic timeline to  
14 summarize Brandon's chart?

15 A. I did.

16 Q. And let's look at Exhibit 937.

17 And is this your forensic timeline --

18 A. Yes, it is.

19 Q. -- pertaining to Brandon? And describe for us  
20 Brandon.

21 A. Brandon was a young man. He was 33 years old at  
22 time of his last visit. He was under care with Dr. Bauer  
23 for nine-and-a-half years, which essentially meant he was  
24 about 22 years old, 21, 22 when he first began care with  
25 Dr. Bauer. He was unemployed. He complained of low back



1 pain, and over the course of time while he was under care  
2 with Dr. Bauer, he additionally complained of neuropathy,  
3 which is typically thought of as nerve pain, let's say in  
4 the feet. That would be the most common location. He  
5 complained of cervical pain and nerve pain radiating down  
6 his arms. He complained of thoracic spine pain, knee pain,  
7 groin pain, again, a host of complaints here, none of which  
8 rise to the level of being qualified for the use of chronic  
9 opioid therapy. He was a heavy smoker, he smoked about one  
10 pack per day.

11 Of concern from a mental health standpoint, he  
12 had depression and anxiety. Again, he's a young man so  
13 he's got depression, two significant mental health  
14 co-morbidities, depression and anxiety. He's also got a  
15 history of alcohol abuse, and at one point had  
16 documentation of a DUI.

17 From a physical and co-morbidity standpoint, he  
18 had aortic valve stenosis. Now, that may not mean a lot to  
19 the jury, but that's a -- that's the critical valve in the  
20 heart, and it's often associated with sudden death. So  
21 this is one instance where, as I indicated to you, we have  
22 to worry about heart problems with the patient prior to  
23 committing to a high dose Controlled Substance or any  
24 Controlled Substances. This would be one of those red  
25 flags that would say there's potential heart problems, and

1 we need to keep that in mind as we prescribe a treatment  
2 plan. And there we have Brandon.

3 Q. Did you prepare a forensic timeline to summarize  
4 Brandon's chart? I'm sorry, did you prepare a summary of  
5 care chart for Brandon?

6 A. I did, yes.

7 Q. And let's look at Exhibit 945. And the row  
8 associated with Brandon, is that your standard of care  
9 summary?

10 A. Yes, it is.

11 Q. Based on your review of Brandon's chart, did Dr.  
12 Bauer adequately diagnosis Brandon's medical issues?

13 A. He did not. And an objective and legitimate  
14 diagnosis supporting the use of opioids was never  
15 established.

16 Q. Based on your review of the chart, did Dr. Bauer  
17 conduct a targeted physical exam?

18 A. A physical exam was done, but there was no  
19 indication that there were any focal neurologic deficits  
20 that would support the diagnosis to -- for which opioids  
21 should be used.

22 Q. Did Dr. Bauer perform a clinical workup of  
23 Brandon?

24 A. EMGs were performed, MRIs were performed --  
25 excuse me, I'm looking at the wrong one. Let me back up

1 here for a moment. I'm scanning through. Here we go.

2 With regard to clinical workup, there was a  
3 normal MRI and a normal EMG, which, again, did not support  
4 a clinical diagnosis that would suggest nerve damage, back  
5 damage, or support the use of long-term opioids, so the  
6 answer is no.

7 Q. Well, based on your review of Brandon's chart,  
8 can you just give us an overview of the opioids and  
9 Controlled Substances that Dr. Bauer prescribed to Brandon  
10 for the management of pain?

11 A. The first documentation with Dr. Bauer in 2009  
12 indicated that the medications of Percocet and Lyrica were  
13 prescribed. The morphine equivalency was relatively low,  
14 22 morphine equivalents for the 5 milligram Percocets that  
15 were prescribed.

16 Over time there were quite a host of injections  
17 that went on. The Percocet dose was elevated over time,  
18 escalated over time to more frequent use and then to a  
19 higher dosage. Over time the Percocet was eventually  
20 prescribed at 10-milligrams, six times a day, which would  
21 be a morphine equivalency of 90.

22 And then as time continued to go on, there was a  
23 significant escalation of Brandon's medications to include  
24 Methadone and Ultram and Flexeril. Methadone and Ultram  
25 being the two analgesic medications, the opioids. The

1 morphine equivalency at that point was as high as 340  
2 morphine equivalents. The medication was continued over  
3 time, and the morphine equivalency reached a high of 520  
4 morphine equivalents.

5 Q. Did you find that morphine equivalent number  
6 significant?

7 A. That's very significant. And, again, this is  
8 very similar to one of the other patients we recently  
9 looked at. 500 plus morphine equivalents is  
10 extraordinarily high and certainly well above The State of  
11 Ohio's 80-milligram trigger point, and well above the 90  
12 morphine equivalents of the CDC, and what I describe as a  
13 zone of extreme concern. So yes, this is a young man, and,  
14 yes, he may have had a back operation for a diskectomy, but  
15 to have this type of pain and to be put on these medication  
16 combinations at this dose were of significant concern.

17 Q. Based on your review of Brandon's chart, did Dr.  
18 Bauer adequately assess the risks of prescribing Controlled  
19 Substances to Brandon?

20 A. He did not.

21 Q. Did Brandon have a history of mental illness?

22 A. Yes, he had -- as indicated, he had a history of  
23 depression and anxiety.

24 Q. And did he have medical co-morbidities?

25 A. He had the aortic valve stenosis of concern.

1 Q. Was Brandon a good candidate for long-term opioid  
2 management?

3 A. He was not. He had been through many, many years  
4 of opioids, and, as such, had failed what I referred to as  
5 an opioid trial, so he was not a candidate for ongoing  
6 opioids.

7 Q. Did Dr. Bauer define a treatment plan for  
8 Brandon?

9 A. An individualized and multi-modal treatment plan  
10 was not defined by Dr. Bauer. It was the default entry  
11 into long-term opioids and steroids and injections that was  
12 offered to Brandon over time.

13 Q. Based on your review of Brandon's chart, did you  
14 identify any concerns with the types or combinations of  
15 drugs prescribed to Brandon?

16 A. I have great concerns any time Methadone is used.  
17 Methadone, in this case, ultimately, as we reach the latter  
18 parts of Brandon's care, is prescribed in combination of  
19 Flexeril and Ultram. Ultram is opioid anesthetic -- excuse  
20 me, opioid analgesic. There is some concern about the  
21 combination of Methadone and Ultram, but Methadone is my  
22 primary concern here. He was prescribed 50 --  
23 50 milligrams of Methadone a day. I have concern about the  
24 Methadone, especially in a young man like this because  
25 Methadone is unpredictable, and is -- and is frequently

1 associated with overdose death secondarily to respiratory  
2 depression. So I had concern with not so much the poly  
3 pharmacy as I did the use of high dose Methadone by itself.

4 Q. And did Dr. Bauer minister any procedures to  
5 Brandon?

6 A. Yes, there were multiple procedures administered  
7 over time.

8 Q. Let's look at Exhibit 939. And is this a summary  
9 of the injections administered to Brandon between January  
10 of 2009 -- and is there a second page, and can we move up a  
11 little bit more -- and March of 2011?

12 A. Yes. This is -- this is my injection and steroid  
13 timeline for Brandon.

14 Q. And what types of injections did Dr. Bauer  
15 administer to Brandon?

16 A. We see a combination of oral steroids, as well as  
17 epidural injections, sacroiliac joint injections, trigger  
18 point injections, and one we haven't talked about so far,  
19 lumbar facet injections. And trigger point injections, I  
20 think I mentioned that already.

21 Q. What's a lumbar facet injection?

22 A. Facet -- facets are fancy name anatomically for  
23 the small joints in the back. I describe it to patients as  
24 the hinges in our back that allow us to bend forward and  
25 sideways and so on. But there's a -- there's a joint on

1 the left and a joint on the right, and they're only about  
2 as big as your thumb. They're fairly small, but they're  
3 what keep our back in alignment when we bend over so we  
4 don't sort of topple like a stack of blocks. But they're  
5 the hinges that allow us to move around and maintain what  
6 we call range of motion.

7 Q. And did you track the cumulative Medrol doses  
8 administered to Brandon during his treatment with Dr.  
9 Bauer?

10 A. Yes. He had, by this calculation, 955 steroid  
11 equivalents over the course of treatment timeframe.

12 Q. And just, if you would, move down and summarize  
13 how many injections he received?

14 A. He received a total of four epidural injections,  
15 four trigger point injections, 11 facet injections, three  
16 courses of oral steroid and one sacroiliac joint injection.

17 Q. Based on your review of the Brandon chart, did  
18 the injections result in any improvement in function or  
19 pain?

20 A. No. His VAS scores, which based on initial  
21 documentation, were nine out of ten, were still nine out of  
22 ten at the end of his treatment timeframe. So there was no  
23 significant change and certainly no improvement.

24 With regard to function, Brandon was unemployed  
25 throughout. There were no indications that his improvement

1 or return to employment was a result of the escalating  
2 opioid use.

3 Q. Were the injections administered to Brandon over  
4 the course of his treatment with Dr. Bauer medically  
5 necessary?

6 A. They were not medically necessary.

7 Q. All right. Let's go back to medications and  
8 prescriptions.

9 Did you track whether or not urine drug screens  
10 were conducted with respect to patient Brandon?

11 A. Review of the chart indicated no documents, no  
12 documentation demonstrating urine drug screen.

13 Q. Did you see any evidence of clinical improvement  
14 from the prescription of -- prescription of opioids and  
15 Controlled Substances for the management of long-term pain?

16 A. I saw no improvement.

17 Q. Did you track any improvement in function by  
18 Brandon with respect to the treatment -- the prescriptions  
19 of opioids or Controlled Substances?

20 A. There was no improvement in function documented.

21 Q. Why was Brandon continued on opioid therapy if  
22 his quality of life was not improving?

23 A. He should not have been maintained on opioids.  
24 He came in and began treatment under Dr. Bauer at a very  
25 low dose of Percocet. Again, he had failed previous



1 treatment. He should have -- Dr. Bauer should have  
2 exercised an opioid exit strategy at that point and stopped  
3 opioids all together. There's no rationale for having them  
4 continued over time, and certainly no rationale for  
5 escalating them to the high doses that we've discussed.

6 Q. Let's look at Exhibit 938.

7 And did you summarize the red flags that you saw  
8 from reviewing the Brandon chart?

9 A. I did, and this is -- and this is my time -- red  
10 flag timeline.

11 Q. And could you please highlight a few of the red  
12 flags you noted?

13 A. He was, not surprisingly requesting, from time to  
14 time, increase in his medication, which is reflected here  
15 as Percocet. There is a point in 2012 where a, quote,  
16 addictive personality is noted by Dr. Bauer, and an  
17 indication that the patient is -- is going to rehab,  
18 meaning drug rehab I assume. I'm not sure, have to go back  
19 and look at my notes to see what that references, but  
20 following that there's continued requests for medication,  
21 and in this case Methadone. There's -- I need to check one  
22 thing here. He undergoes an evaluation in 2012, a -- a --  
23 I'm sorry, I was comparing the two lists there so I'll stay  
24 with this list.

25 In any event, it goes on to increase -- to

1 indicate the medication is not helping, and he continues to  
2 request increase in his pain medication.

3 Q. Let's specifically talk about a chart page.  
4 Looking at Exhibit 413, Page 214. And is this a page from  
5 the Brandon chart?

6 A. It is. This is Brandon's chart dated 10-11-12.

7 Q. And does it indicate whether or not there was  
8 discussion with Brandon regarding his use of narcotics?

9 A. Yes.

10 Q. And his pain?

11 A. Yes. The patient was seen, and a lengthy  
12 discussion of his narcotics and pain in his low back  
13 condition. I recommended that he is supposed with  
14 psychological counseling. I'm not sure exactly what that  
15 means except the psychological counseling was obviously  
16 brought up in the discussion to avoid narcotics, and if he  
17 cannot do so, then he is to look into a Suboxone program.  
18 This was reviewed in great detail with the patient and his  
19 mother.

20 Q. And that was a discussion between Brandon and Dr.  
21 Bauer?

22 A. Correct, and Brandon's mother.

23 Q. And why do you find that significant in his  
24 course of his treatment and management of pain?

25 A. This is a recognition that as early as October of

1 2012, that the patient has got an addiction problem. And  
2 as a reference to that psychological counseling and  
3 possible Suboxone treatment was brought up by Dr. Bauer,  
4 and again, again, quote, reviewed in great detail. So it's  
5 recognition that Dr. Bauer understands that Brandon is --  
6 is dealing with an addiction problem at this point. And  
7 again, that's what I referred to as a -- sort of a let's  
8 stop and reevaluate the ongoing use of opioids as a result  
9 of that recognition.

10 Q. But were opioids continued?

11 A. They were. They were prescribed, yes.

12 Q. Let's look at Exhibit 573.

13 What is that?

14 A. That is a prescription issued to Brandon by Dr.  
15 Bauer on 7-2-18 for Methadone 10 milligrams five times a  
16 day.

17 Q. And let's look at 537. What is that? I'm sorry,  
18 574. Yes, thank you.

19 A. This is a prescription issued to Brandon by Dr.  
20 Bauer on 7-2-18 for Ultram 50-milligrams approximately four  
21 per day.

22 Q. Dr. King, were the Methadone and Ultram  
23 prescriptions that Dr. Bauer wrote for Brandon on July 2nd,  
24 2018 dispensed with a legitimate medical purpose and within  
25 the course of professional medical practice?

1 A. They were not.

2 Q. Why not?

3 A. Brandon was an addict. He was a self-admitted  
4 addict. Dr. Bauer knew that. His family had been  
5 consulted. It -- it -- if we have a situation like that,  
6 we, as providers, do not want to continue providing opioids  
7 to a known addict, it just makes no sense at all. It's  
8 outside the usual course of medical practice. A prudent  
9 practitioner would not do that. So opioids were provided  
10 for treatment of addiction, not for treatment of pain, and  
11 therefore without a legitimate medical purpose.

12 We've had a history -- Brandon had a history of  
13 nine years, nine-and-a-half years of treatment with no  
14 improvement as a result of opioids and injections. There  
15 was no medical foundation for opioids or for injections.

16 Q. Thank you.

17 MS. DUSTIN: Judge, this is a good breaking point  
18 if you want to take a break, or you want to keep going?

19 THE COURT: I was going to go a little longer.  
20 How many more do you have?

21 MS. DUSTIN: We have three patients.

22 THE COURT: Three pages? Doesn't really tell me  
23 about --

24 MS. DUSTIN: Three patients.

25 (A brief discussion was had off the record.)

1 THE COURT: Let's take a break. We're going to  
2 take our afternoon recess. We'll resume at 3:15. Please  
3 remember all the rules.

4 (A brief recess was taken.)

5 THE COURT: Welcome back. You may be seated.  
6 You may continue.

7 BY MS. DUSTIN:

8 Q. I just want to ask you a question about a billing  
9 code. Let me bring up Exhibit 590, Page 6.

10 And do you recognize this as a billing -- a bill  
11 that would be generated as a result of procedures and  
12 office procedures and office visits?

13 A. It appears to be that, yes. I haven't reviewed  
14 this before but, yes, that's what it appears to be.

15 Q. And I specifically wanted to point you to a  
16 billing code 99212. Are you familiar with billing codes in  
17 general?

18 A. In general I am, but I am certainly not an expert  
19 on them, and there are only a few that I really understand.

20 Q. Okay. And are you familiar with that particular  
21 billing code 99212?

22 A. No.

23 Q. Okay. You don't know what that -- what that  
24 bill --

25 A. I would -- I would offer an educated guess, but I

1 don't want to guess, so I'm going to say no.

2 Q. Okay. All right. And then I wanted to ask you  
3 about Exhibit 402. This is Connie Lewis' chart at Pages  
4 1305 to 1307. Patient Connie. And from a review of Pages  
5 1305 to 1307, does it indicate whether or not an injection  
6 was administered on 10-24-of 17? I'm sorry, was  
7 administered on 1-25 of 18?

8 A. I'm looking for a date that accompanies that  
9 explanation.

10 Q. Okay. If you could take -- yep, right there if  
11 you can stop.

12 THE COURT: It's right below.

13 A. 1-25-18, yes, trigger point injection and the  
14 procedure note that you had just demonstrated, yes.

15 Q. Okay. And what type of injections was  
16 administered to Connie on 1-25 of '18?

17 A. A trigger point injection.

18 Q. And then next going to Pages 1302 to 1303, can  
19 you look at those pages and tell us if there was an  
20 injection administered on December 20th of 2017?

21 A. Yes, I see the date of an injection on 12-20-17.  
22 It's a trigger point injection.

23 Q. Thank you. All right. Now let's talk about  
24 another patient, patient Dale.

25 Did you review Dr. Bauer's patient chart for

1 patient Dale?

2 A. I did, yes.

3 Q. And based on your review of the Dale chart, did  
4 Dr. Bauer follow the standard of care for prescribing  
5 Controlled Substances?

6 A. He did not.

7 Q. Based on your review of the Dale chart, did Dr.  
8 Bauer write prescriptions for Controlled Substances with a  
9 legitimate medical purpose and within course of  
10 professional medical practice?

11 A. He did not.

12 Q. And tell us from your review of Dale and the  
13 other patient charts, was Dale related to any other  
14 patient?

15 A. Yes. Dale was the father -- excuse me, let me  
16 get all my things in order here. Dale was the father of  
17 Nathan, who is the wife of Bethanee, if I have that  
18 correct.

19 Q. Okay. Who was -- Nathan was the what?

20 A. Nathan was the son of --

21 Q. Let's back up.

22 A. Okay.

23 Q. We're going to talk about three patients now.  
24 Dale, Nathan and Bethanee.

25 A. Yes.

1 Q. Are Dale, Nathan and Bethanee related?

2 A. Yes, Nathan is the grandson of Dale, and Bethanee  
3 is the wife of Nathan.

4 Q. Okay, got it.

5 All right. So Dale is the grandson of Nathan?

6 A. That's correct.

7 Q. All right. Got it.

8 A. No, Nathan is the grandson of Dale.

9 Q. I transposed, I'm sorry, got it straight?

10 A. Yes.

11 Q. Nathan will be coming up in a minute here. So  
12 let's look at Dale's chart. Did you prepare a forensic  
13 timeline to summarize Dale's chart?

14 A. I did.

15 Q. Let's look at Exhibit 907. Tell us about patient  
16 Dale.

17 A. Dale, at the time of last visit with Dr. Bauer,  
18 was 86 years old. He was disabled, had been off work since  
19 age 55, long-term disability. He was under care with Dr.  
20 Bauer for about six-and-a-half years, which would put him  
21 at an age of about 79 when he initially came under care  
22 with Dr. Bauer.

23 Dale's primary problems in terms of the initial  
24 chief complaints identified for the first visit include  
25 spinal stenosis, chronic low back pain with sciatica or



1     radiculopathy, diabetes and neuropathy. Over the course of  
2     the treatment timeframe, six-and-a-half years, he also  
3     complained of additional problems, including Myofascial or  
4     muscle pain, bursitis, shoulder pain, sacroiliac joint  
5     pain, hip pain, carpal tunnel pain, diabetic neuropathy and  
6     osteoarthritis. Dale had some significant issues with  
7     regard to mental health. Dale suffered from depression,  
8     anxiety, insomnia. He had significant physical  
9     co-morbidities, including diabetes, hypertension or  
10    elevated blood pressure, osteoporosis, dementia. He had  
11    various heart problems, including mitral valve  
12    insufficiency, severe aortic stenosis, which mentioned that  
13    in terms of what that problem was a little bit earlier.

14             Dale also had issues with regard to chronic  
15    obstructive lung disease, and issues with regard to history  
16    of previous heart attack or myocardial infarction. So he  
17    had significant physical co-morbidities.

18    Q.             Based on the mental health and physical  
19    co-morbidities that you just described, did that put Dale  
20    at risk for opioid therapy for the treatment -- or for the  
21    management of pain?

22    A.             Well, that, plus the fact that he's what we would  
23    call extreme age. I hate that term because -- because I'm  
24    in the extreme age end of things too by definition, but at  
25    least in the case of Dale, he was in his 80s, and there are

1 significant concerns with regard to Controlled Substance  
2 medications administered to individuals in that age group.

3 Q. Just give us an overview, based on your review of  
4 Dale's chart, of Dale as a patient being treated with  
5 opioids and Controlled Substances for the management of  
6 pain.

7 A. Dale had a history of previous back operation,  
8 laminectomy, back in 2007. Over the course of time, he  
9 began care under Dr. Bauer in 2012. At that time, Dr.  
10 Bauer began treatment with about 34 morphine equivalents,  
11 which was -- which counts for three Percocets per day. As  
12 time went on, that dose was escalated to include initially  
13 an escalation to 120 morphine equivalents, which was  
14 composed of a combination of Percocet 10-milligram pills  
15 plus Oxycontin, 20-milligrams, two a day. Later that was  
16 changed to include Duragesic, which we know is Fentanyl, as  
17 a 25 microgram patch in addition to the Percocets. That  
18 was maintained, that combination was maintained for awhile.  
19 Additional Duragesic patch 12 microgram patch added to the  
20 25-microgram patch that was prescribed.

21 Morphine equivalents escalated over time with  
22 those Percocet and Duragesic patches to a maximum of about  
23 210 morphine equivalents. No, it's not true it went up  
24 from there. The Duragesic was increased over time. It  
25 appears that the highest morphine equivalency got up to

1 345. And after that, the medications were lowered  
2 beginning in 2018 down to a level of somewhere between 30  
3 and 100. That was a timeframe when Dale was admitted to  
4 Hospice because of end stage aortic stenosis or heart  
5 disease and Congestive heart failure.

6 Q. And based on your review, did you identify  
7 concerns with the types, amounts or combinations of the  
8 drugs you just described?

9 A. I -- I have concern with the combination of  
10 multiple formulations of Percocet and Oxycontin or  
11 Oxycodone with Duragesic. This was a -- a non-standard  
12 combination medication, as we talked about before. We've  
13 got multiple medications here that are not only street  
14 popular, but highly addictive. I have a particular concern  
15 about the use of these medications in an elderly man like  
16 Dale. And I don't know if now is the time you want me to  
17 talk about that or not?

18 Q. What concerns did you have?

19 A. I'm going to make the jury aware of a concept  
20 called the BEERS schedule, B-E-E-R-S, BEERS schedule. I  
21 assume there's a Dr. Beers somewhere, but the BEERS list,  
22 as it were, is a list of medications that have been put  
23 forth by the American Geriatric Association. These are  
24 medications that are high-risk medications for geriatric  
25 patients, and have been recommended not to be prescribed.

1 Common sense prevails in this. You can predict a lot of  
2 what -- what the BEERS list of medications would include.  
3 But the BEERS list does not recommend the use of high dose,  
4 or certainly high-potency narcotics or sedatives or -- or  
5 sleep agents to individuals who are up in that age group.  
6 And the problem is not just because we're older, but it's  
7 because our kidneys don't work as well, or liver can't work  
8 as well and we can't -- in the upper age group we can't  
9 clear the medications, and we have a higher susceptibility.  
10 I guess I have to keep myself in this age group too, higher  
11 susceptibility to cognitive problems as a result of taking  
12 certain drugs. In other words, older people are  
13 understandably more susceptible to problems related to  
14 drugs.

15 So the BEERS schedule of drugs suggests that  
16 individuals, and I've forgotten what their cut-off age is  
17 where they call, you know, extreme age, but it's somewhere  
18 relatively low, like 60 or 65 years of age. And anybody  
19 above that, if a provider is going to be using these  
20 substances, namely in the case here Controlled Substances,  
21 they have to be used with extreme caution. So that's the  
22 BEERS list of medications.

23 Again, Dale is not only in that elder age group  
24 in his 80s, but he also has significant problems with  
25 regard to heart disease, previous heart attack, critical

1 valve problems in his heart with his mitral valve and  
2 aortic valve which makes him susceptible for sudden death,  
3 also congestive heart failure, which is a heart condition  
4 for which chronic opioids are contraindicated. These are  
5 fragile individuals. That's where I have a concern, not  
6 only with the amount of medication, the type of the  
7 Oxycodone type medications and the age that Dale is during  
8 which time he receives these.

9 Q. Was Dale a good candidate for long-term opioid  
10 management of pain?

11 A. No, he was not a good candidate initially, and he  
12 was not a good candidate long-term.

13 Q. All right. And speaking of the standard of care,  
14 and we won't bring up the chart right now, but did Dr.  
15 Bauer, based on your review of Dale's chart, adequately  
16 diagnose Dale's medical issues?

17 A. I'm going to look at one other item here, if  
18 you'd bear with me here for just a moment.

19 No, he did not. Dr. Bauer did not establish an  
20 objective diagnosis for the use of long-term opioids.

21 Q. Did he conduct a targeted physical exam?

22 A. A physical examination was done, but there were  
23 no indications that any physical findings were supportive  
24 of a problem that would merit the use of long-term opioids.

25 Q. And did Dr. Bauer perform a clinical workup of

1 Dale?

2 A. An EMG was performed, but it did not support the  
3 radiculopathy or sciatica that Dr. Bauer said existed. The  
4 lumbar MRI which was performed showed diffuse degenerative  
5 changes which we would expect in an individual of 80 some  
6 years of age. But there was no indication of spinal  
7 instability, herniated disc or nerve root impingement;  
8 therefore, the proper tests were done, but they did not  
9 support a diagnosis that was -- that would support the use  
10 of long-term opioids. So the answer is Dr. Bauer did not  
11 do an appropriate or adequate evaluation.

12 Q. Did Dr. Bauer administer any procedures to Dale?

13 A. Yes. There were multiple procedures done over  
14 time.

15 Q. And let me show you what's been -- let's bring up  
16 Exhibit 588. And as -- as we're doing that, let's -- let's  
17 instead bring up Exhibit 5 -- 909.

18 Okay. And is this a summary of the injections  
19 administered or the procedures administered to Dale?

20 A. It is, yes.

21 Q. And how many injections were administered?

22 A. I -- I don't have a total, but I can tell you how  
23 they break down.

24 Q. Yes.

25 A. Dale received 17 epidural injections in the

1 lumbar spine. He received 12 trigger point injections, one  
2 brachial plexus injection, eight trochanteric bursa  
3 injections, one sacroiliac joint injection and two shoulder  
4 injections. Actually I do have a total. Dale received 41  
5 injections from Dr. Bauer.

6 Q. And that was between May 23rd of -- I'm sorry,  
7 January 10th of 2012 to January 5th of 2017?

8 A. That's correct.

9 Q. And what was his cumulative Medrol dose?

10 A. His cumulative Medrol dose was -- if we can  
11 scroll to the next page there, was 2,320-milligrams  
12 approximately over the course of -- of time that he was  
13 under care, which was, just to reaffirm, that  
14 six-and-a-half years.

15 Q. And to correct my prior range of time, they went  
16 until June of 2018. Do you find that total to be  
17 significant?

18 A. I find that to be a very high level, particularly  
19 for an individual of elderly age with the co-morbidities he  
20 had, heart disease, I find that to be very disturbing and  
21 of concern.

22 Q. And let's go back and try to look at Exhibit 588.  
23 We'll come back to that.

24 Let me ask you, based on your review of the Dale  
25 chart, did the injections that Dale received from Dr. Bauer

1 result in any improvement in function or pain?

2 A. No, neither. There was no improvement.

3 Recognizing, ultimately, because of heart problems Dale was  
4 admitted in 2018 to Hospice for end-of-life care.

5 Nevertheless, over time his initial VAS pain score when he  
6 first began care with Dr. Bauer was listed at four out of  
7 ten on the -- in 2012. Over time that VAS pain score went  
8 up, somewhat concurrent with the escalation of -- of the  
9 opioid or morphine equivalent dose, which raises the  
10 question as to whether he was experiencing an opioid  
11 induced hyperalgesia. But nevertheless, the VAS scores  
12 continued to increase from a VAS of four out of ten to  
13 ultimately, the last documented VAS score we have was nine  
14 out of ten. So clearly there was no improvement in pain  
15 score over the course of time. And unfortunately, clearly  
16 that was no improvement in function given the fact that  
17 ultimately Dale was in palliative care for end-of-life  
18 care, end of life cardiac issues.

19 Q. And were there drug risk behaviors or other  
20 abhorrent behaviors you noted from your review of the Dale  
21 chart?

22 A. Yes, there were a number of abhorrent events that  
23 occurred, and I don't know if you want me to talk about  
24 those or if you'd like to present them one by one.

25 Q. Well --



1 A. I'm sorry, if you're referring to the red flag --

2 Q. Yes, we are.

3 A. Okay. So I do have that, and I can address that  
4 if you'd like.

5 Q. Let's start with looking at Exhibit 588 and 589  
6 before we get to the red flags, just completing our  
7 discussion of injections.

8 And what is displayed on this screen?

9 A. This is a very bad fluoroscopy x-ray of a  
10 purported transforaminal epidural injection in the low  
11 back.

12 Q. And what was the date of the injection?

13 A. The date of the injection is 12-8 -- no, sorry,  
14 4-14-2016.

15 Q. And did Dr. Bauer administer an injection to Dale  
16 on that date?

17 A. Yes, his name is on the fluoroscopy image.

18 Q. And what is -- was -- are you able to ascertain  
19 any -- anything from that image?

20 A. No, I -- I can ascertain that it probably is a  
21 lumbar spine. I can pick out a couple disc segments there,  
22 but this, unfortunately, is typical of the extremely poor  
23 quality of films that Dr. Bauer used to document the  
24 transforaminal epidural injections. In this particular  
25 case, like the others, not only is it a poor-quality film

1 such that we can't discern any of the anatomy in a manner  
2 that would allow us to safely do an injection, there's no  
3 indication of any needle placement here. I don't see any  
4 needle. There's no indication of any contrast dye, which,  
5 as we've talked about, is important from a standard of  
6 care -- required from a standard of care standpoint. All  
7 we have is a very poor quality lateral image of the spine  
8 which does not document, in any meaningful way, that an  
9 injection was performed, and certainly does not document  
10 the performance of the transforaminal epidural injection.

11 Q. And let's next look at Exhibit 589.

12 Can you identify what you see depicted in 589?

13 A. Yes. This is a -- I smile a little bit because  
14 the image is upside down. I don't know why it's upside  
15 down, but that seems to be consistent with the poor quality  
16 and the bad imaging. This is upside down, it's a lateral  
17 picture of the lumbar spine with the tailbone on the upper  
18 part and the remainder of the back going down. On this  
19 particular image we do see a needle coming in, and I  
20 will --

21 Q. What is the date of this?

22 A. The date on this is 3-29-2018.

23 Q. And this would be indicative of a procedure  
24 performed on Dale by Dr. Bauer?

25 A. Yes, and specifically the procedure that was

1 dictated was a transforaminal lumbar epidural injection.

2 Q. And were you indicating whether you could see a  
3 needle?

4 A. I can see a needle here, and to the best of my  
5 ability to see where the tip of the needle is, which I  
6 think is the right there, and the needle track is back  
7 there, so the needle tip is right here (indicating).  
8 There's no contrast.

9 Just to give you -- give the jury some  
10 perspective, this is a terrible picture, and only because  
11 of my knowledge of the anatomy am I going to point out to  
12 you where the foramen is. But the foraminal area should be  
13 right here at that level and should be about right here at  
14 the L4-5 level (indicating), but, as you can see, this  
15 needle coming in -- stops well short of either foramen, and  
16 is, in fact, outside the spinal area in what we call the  
17 paraspinal muscles. I don't have a second view to show me  
18 exactly where that tip is, but it's somewhere in the soft  
19 tissue of the back. It does not appear, based on this, to  
20 be in the spine, and certainly nowhere close to the  
21 targeted area that was indicated in Dr. Bauer's dictation  
22 as to what he was actually doing.

23 Q. Was the epidural injection successful?

24 A. This was not an epidural injection.

25 Q. Dr. King, is what was noted in the chart as

1 epidural injections on April 14th, 2016 and March 29th,  
2 2018, administered to Dale by Dr. Bauer, were they  
3 administered with a legitimate medical purpose?

4 A. They were not.

5 Q. Why not?

6 A. First of all, there was no indication to perform  
7 an epidural.

8 And secondly, an epidural was not performed.

9 Q. All right. Let's go back and look at Exhibit  
10 908.

11 Is this your summary of red flags?

12 A. It is, yes.

13 Q. And would you briefly summarize some of the risk  
14 behaviors and red flags you noted in the review of the Dale  
15 chart?

16 A. There are a host of them, and some of them, I  
17 suspect, we'll discuss in more detail. But some of the  
18 more familiar things that we've talked about already that  
19 demonstrate abhorrent or abusive or -- or inappropriate use  
20 of opioids include early refill requests. I will point out  
21 here that in one case the son is calling for an early  
22 refill.

23 Q. The son?

24 A. Well, it says son there, I would have to go back  
25 and see exactly what it says on the chart, but on 2-6-12

1 says son calls today wanting early refill. I'm guessing  
2 that probably should be grandson.

3 MR. GIBBONS: Objection.

4 A. Because grandson is the individual that would be  
5 Nathan who makes multiple requests for early refills, so  
6 I'm guessing this is a typo on somebody's part.

7 THE COURT: I'll overrule the objection, and that  
8 can be inquired further if counsel wish to.

9 MR. GIBBONS: Okay.

10 BY MS. DUSTIN:

11 Q. We can -- we can look for that chart page. Let's  
12 start with what happened on January 13th of 2012.

13 A. January 13th, 2012, the grandson Nathan reports  
14 that Dale was having a new pain -- reports that new pain,  
15 med offers no relief, and indicated that Dale's pain was  
16 nine. He was speaking for Dale and indicating new pain med  
17 was not offering any relief.

18 Q. Can we move that up just a little bit off --  
19 that's good. Thank you.

20 All right. And what other significant red flags,  
21 or summaries of red flags, did you note from the Dale  
22 chart?

23 A. Well, there was an indication that Express  
24 Scripts, which is where Dale got his prescriptions filled,  
25 notified Dr. Bauer that Doxepin, one of the medications --

1 I'm reading the wrong thing, I'm sorry.

2 On 12-2012, the granddaughter and grandson, which  
3 would be Bethanee and Nathan, called separately about early  
4 refills of Percocet. And then, again, the following entry  
5 there in February of 2013 indicated the granddaughter,  
6 which would be Bethanee, again calls requesting early  
7 Percocet. And then the following month -- I'm sorry, I'll  
8 slow down so you can isolate those.

9 On 3-28-13, again, the grandson calls saying the  
10 patient is running short of medications, and the comment  
11 was made doesn't think addiction is an issue. Grandson  
12 comes to office to request early Percocet refill, states he  
13 may share some of his meds with patient, cannot bear to see  
14 him suffer. And then --

15 Q. Did you find that significant?

16 A. Well, yes, I find that very troubling on a couple  
17 points. One is why are the grandson and granddaughter  
18 always calling in for medications instead of patient  
19 himself? Why is the grandson indicating that addiction is  
20 not an issue. That's one of those things that if it were  
21 brought up, somebody must have thought somewhere along the  
22 line that it was an issue.

23 The other thing of concern here is that Nathan  
24 indicates he may share some of his meds with the patient  
25 because allegedly he can't see him suffer. You don't do

1 that with these types of medications. They must be taken  
2 as prescribed, assuming that they were -- they were  
3 prescribed in a legitimate and carefully thought-out  
4 fashion. Nevertheless, as a result of that following entry  
5 here 4-3-13 indicates Dr. Bauer increased the pain meds  
6 despite no office visit and no follow-up examination of  
7 Dale.

8 Q. And let's go to the next page.

9 A. Here --

10 Q. Go ahead.

11 A. There are indications here that the patient  
12 called and reported that the Fentanyl prescribed was,  
13 quote, not working, and Oxycontin was preferred.

14 And then following that, that would be six days  
15 later, the patient apparently called again requesting an  
16 early refill of the Duragesic, and Dr. Bauer refilled the  
17 prescription.

18 Q. I want to direct your attention to a chart note  
19 now, and look at a chart Exhibit 403 at Page 388. Earlier  
20 you were talking about a red flag of diversion. Do you  
21 recall a situation in 2017 with possible diversion?

22 A. Yes, correct.

23 Q. And can you summarize what happened based on the  
24 patient chart utilizing the page in front of you?

25 A. Let's review what the note says. Note indicates

1 that the patient Dale called and states that -- I've lost  
2 my image here. Just a moment.

3 Q. And this is date of service of when?

4 A. This is 1-18-17. So there we go.

5 On that date the pharmacist, Don, at CVS called,  
6 and we talked about this earlier, stated that -- no, we  
7 didn't talk about this earlier. The pharmacist called and  
8 stated that Nathan had brought in a script written out for  
9 Dale for Duragesic. The pharmacist indicated he was not  
10 sure why Nathan would bring that to that particular  
11 pharmacy since Dale's insurance did not cover refills at  
12 CVS. Nathan asked if he could pay cash for the  
13 prescription, and the pharmacist told him yes, that he  
14 could. He went on to fill the prescription and put it in  
15 what they call the hold basket for pick up. Nathan came  
16 back and asked for the script back, and they, meaning CVS,  
17 gave it back to him, but did not reverse the fill in the --  
18 of the Duragesic in the pick-up box. Nathan took the  
19 script to Walgreens and filled it under insurance and then  
20 went back to CVS and picked up the script that was  
21 mistakenly reversed, or not put back into stock, and paid  
22 \$110 cash for it. The pharmacist realized what had  
23 happened, and that the patient received an extra refill  
24 without a prescription. They called him and advised he  
25 needed to come in, meaning Nathan, and return the refill



1 from CVS and they would refund his money. Nathan asked if  
2 the refill would show on the OARRS report and pharmacist  
3 said yes. Nathan indicated he would bring it back, but has  
4 not. They called him ten times, and he would not return  
5 their call. CVS Pharmacy is requesting a script for the  
6 extra med, presuming requesting a script from Dr. Bauer  
7 that was filled if they do not get it returned.

8 Q. And this was a call from where?

9 A. From the pharmacy, CVS Pharmacy.

10 Q. To whom?

11 A. To Dr. Bauer's office.

12 Q. And then what happened?

13 A. Okay. So we have that situation existing. So  
14 the person who authored this note indicated that she spoke  
15 to Dr. Bauer, and Dr. Bauer stated that the patient needs  
16 to explain why he did this, and he needs to return the  
17 medication or he will be reported. So that -- that was the  
18 next action taken. And then apparently the author, again,  
19 of this note from Dr. Bauer's office called the pharmacy  
20 back CVS and advised them that, quote, we are trying to  
21 reach the patient, meaning Nathan, to have him return the  
22 medication. And again, apparently that happened, but there  
23 was no return call from Nathan.

24 Then -- would you like me to go on?

25 Q. And then ultimately have you reviewed these

1 patient chart pages previously?

2 A. I have, yes.

3 Q. And did Nathan return the prescription?

4 A. No, he did not.

5 Q. So essentially what happened?

6 A. Essentially an extra dose, an extra prescription  
7 of Duragesic was filled by the pharmacy and delivered into  
8 the hands of Nathan.

9 Q. Let's move on to Page 388.

10 And is this also from the Dale chart?

11 A. It is, yes.

12 Q. And what happened later that day on January 18th,  
13 2017?

14 A. Yes, so on that date the patient called and  
15 stated that he had received the message, meaning from Dr.  
16 Bauer's office, that the medication needed to be returned  
17 to the pharmacy. He gives the reason that he was working  
18 late, was unable to go to the pharmacy, so he put the  
19 medication back in the boxes and in the bag it came from  
20 and forgot it the next morning. When he got home from work  
21 the next day, he went to get the bag to take it back to the  
22 pharmacy, and it was gone. Dale claims that -- he  
23 claims -- he claims --

24 Q. Was this call from Dale, or was it from Nathan?

25 Can you tell from the chart?

1 A. I will back up. This is not from Nathan. The  
2 call is from Dale, that is correct. So Dale is the  
3 grandfather of Nathan, so Dale actually made this call.  
4 He's the patient, and stated that the -- received a message  
5 that the medication needed to be returned, but Dale  
6 indicated he was working late and was unable to go to the  
7 pharmacy and forgot to deliver it the next morning, but  
8 when he got home from work, meaning Dale, the -- the bag  
9 was gone.

10 Q. Dr. King, I want to point you to a line that says  
11 Nathan states he will call us later to talk to us directly.  
12 So is it clear who made this call?

13 A. It is not clear who made this call.

14 Q. And what's the bottom line?

15 A. The bottom line is we have some missing  
16 medication that looks to have been diverted for drug abuse  
17 purposes.

18 Q. And diverted by whom?

19 A. In all likelihood diverted by Nathan because he  
20 seems to be the one who is most involved in picking up the  
21 medications, trying to get them filled at multiple  
22 pharmacies, paying cash and then not answering the phone  
23 calls and not agreeing to return the medication.

24 Q. Okay. And let's move on. Again, let's bring up  
25 908 and move down to the graph.

1           And is this your graph of red flags and epidurals  
2 along with morphine equivalents?

3           A.           It is. This is the timeline of red flags and  
4 morphine and injections and then the MEQs at the bottom.

5           Q.           And just peppered throughout the red flags, who,  
6 other than Dale, seems to be noted by most of the red  
7 flags?

8           A.           The granddaughter, Bethanee.

9           Q.           And whom else?

10          A.           Well, there are a lot of references to the  
11 grandson and the granddaughter, which would be Nathan and  
12 Bethanee. Pharmacy -- and multiple pharmacy interactions.

13          Q.           Thank you.

14          Dr. King, did you see any evidence of clinical  
15 improvement with Dale?

16          A.           No, there was no clinical improvement.

17          Q.           From the chart?

18          A.           From the chart, correct.

19          Q.           Let's look at Exhibit 513.

20          And what is this?

21          A.           This is a prescription issued to Dale by Dr.  
22 Bauer on January 5, 2017, for a 25-microgram Fentanyl  
23 patch.

24          Q.           And was that the subject -- that prescription  
25 that was the subject of what we just discussed?

1 A. Yes.

2 Q. And looking next to Exhibit 514.

3 What is that?

4 A. This is a prescription issued to Dale by Dr.

5 Bauer for Oxycodone -- well, on the date, 2-14-18 for

6 Oxycodone, 20-milligrams to be taken four times a day.

7 Q. And Exhibit 515?

8 A. This is a prescription issued to Dale by Dr.

9 Bauer on 2-14-18 for Ultram, Tramadol, 50-milligrams to be

10 taken, well, four -- approximately five times a day.

11 Q. Dr. King, were the Fentanyl patch, the Percocet

12 and Tramadol prescriptions that Dr. Bauer wrote for Dale on

13 January 5th, 2017, and February 14th, 2018 dispensed with a

14 legitimate medical purpose and within the course of

15 professional medical practice?

16 A. They were not.

17 Q. Why not?

18 A. Several reasons. The first is Dale had been on

19 multiple Controlled Substances prior for many years and had

20 not responded. Therefore, there was no medical reason, no

21 medical justification to continue prescribing Controlled

22 Substances, or specifically opioids.

23 Secondly, there -- Dr. Bauer's knowledge -- Dr.

24 Bauer's office was well aware of the fact that there were

25 diversion issues going on here, excuse me, with the

1 grandson and granddaughter, both of whom were also patients  
2 of Dr. Bauer, and both of whom complained of chronic pain  
3 and were receiving opioids as well. So there was  
4 significant information here suggesting that diversion was  
5 going on, and the continued use of opioids were, therefore,  
6 in support of diversion and addiction, but not for  
7 legitimate medical purpose -- not for a legitimate medical  
8 purpose.

9 Q. Let's talk about the next patient, Bethanee.

10 Did you review Dr. Bauer's patient chart for the  
11 patient Bethanee?

12 A. Yes, I did.

13 Q. And based on your review of the Bethanee chart,  
14 did Dr. Bauer follow the standard of care for prescribing  
15 Controlled Substance?

16 A. He did not.

17 Q. Based on your review of the Bethanee chart, did  
18 Dr. Bauer write prescriptions for Controlled Substance with  
19 a legitimate medical purpose within the course of  
20 professional medical practice?

21 A. They were not prescribed according to those  
22 guidelines.

23 Q. Let's look at Exhibit 925.

24 Is this a forensic -- your forensic timeline to  
25 summarize the Bethanee chart?

1 A. It is.

2 Q. And would you describe for us Bethanee?

3 A. I'm scrolling to the top of my -- my forensic  
4 chronology on the computer. Give me just a moment. Here  
5 we go. Bethanee was a young woman. She was 32 years old  
6 at time of her last visit with Dr. Bauer. She was  
7 unemployed, quote, unable to work. She had been under care  
8 with Dr. Bauer for approaching nine-and-a-half years, which  
9 would have put her in her early 20s when she first came  
10 under care with Dr. Bauer. So she was truly a young woman.  
11 She complained of, quote, bulging discs and lumbar back  
12 pain. So it was a vague and -- and subjective back pain  
13 that she was seeking opioids for. Over the course of time  
14 with Dr. Bauer, she complained of other pain complaints,  
15 including fibromyalgia, bursitis, neck pain, migraine,  
16 headaches, sacroiliac joint pain. I think I mentioned neck  
17 pain, sacral pain, thoracic back pain. There were very few  
18 areas here, certainly no areas in the spine that she was  
19 not complaining of pain. She had significant mental health  
20 co-morbidities in the sense that she was diagnosed with  
21 depression, with anxiety, and with panic attacks. She had  
22 significant physical co-morbidities, or at least notable  
23 physical co-morbidities in the sense that she was  
24 moderately obese. She had a history of hypertension.

25 And I think that's a good stopping point to lead

1 into our further discussion now.

2 Q. And would you just give us an overview of the  
3 Controlled Substances and opioids that Dr. Bauer prescribed  
4 to Bethanee for the management of pain during the course of  
5 his treatment of Bethanee?

6 A. Dr. Bauer began treating her in 2009, and I do  
7 have it computed here. She was 23 years old at that time.  
8 On the initial visit, he prescribed her Vicodin, which is  
9 Hydrocodone along with Norco, which is Hydrocodone along  
10 with Neurontin and Prednisone. The Morphine equivalence  
11 was -- was somewhere between 15 and 40. It was difficult,  
12 based on the records, to know exactly how much of what she  
13 had prescribed, but it was somewhere between 15 and 40  
14 Morphine equivalents. Over the course of time, those doses  
15 escalated significantly.

16 By 2015 Bethanee was being prescribed 120  
17 Morphine equivalents, and as a result of -- of her unable  
18 to get her pain under control, so she said, Dr. Bauer  
19 escalated the doses further up to 150 and then 270 morphine  
20 equivalents. There was a great deal of variability based  
21 on what I was able to discern in the chart as to what her  
22 Morphine equivalency was at a given time. It varied quite  
23 a bit from 210 to 120, somewhere in that range, which is  
24 concerning in and of itself. But at the end of her  
25 treatment time frame, she was receiving somewhere between



1 100 to 135 Morphine equivalents.

2           The medications that she was receiving that led  
3 to those Morphine equivalents were mostly Oxycodone  
4 products, Percocet and Oxycodone. They were accompanied by  
5 Neurontin or Gabapentin, as we've talked about. The doses  
6 of Oxycodone were escalated to the 20-milligram formulation  
7 of the medication. She also received, as time went on,  
8 Oxycontin in the 30-milligram formulation.

9           And then towards the end of her care, in the last  
10 two years of 2017, 2018, she was receiving a combination of  
11 Oxycodone 20-milligrams and Methadone concurrently. That  
12 was -- that was for a period of time, and then the  
13 Methadone was switched back to a 20-milligram Oxycodone  
14 poly pharmacy combination. There was not a lot of  
15 consistency or rationale that I could discern with regard  
16 to why the medications were chosen the way they were, or in  
17 the combinations or doses they were. The doses were kind  
18 of all over the place. And -- and it did not seem to have  
19 any medical foundation.

20 Q.           Did you have any concerns, based on your review  
21 of the chart, with the combination of drugs prescribed to  
22 Bethanee?

23 A.           Yes. I had concern because there were multiple  
24 opioids being prescribed at any given time. No combination  
25 of which seemed to offer her consistency in terms of dose

1 or any improvement in her pain level or her improvement in  
2 function. She was being prescribed street popular  
3 formulations of Oxycodone and Oxycontin, and she, as we've  
4 talked about, had an association with Dale and with Nathan,  
5 and there were significant concerns about diversion in  
6 their care. And, therefore, that should have been a prime  
7 concern in Dr. Bauer's mind about continuing these street  
8 popular formulations of Oxycodone and Oxycontin.

9 Q. And looking at your standard of care summary,  
10 without bringing it up for the sake of time, did, based on  
11 your review of Bethanee's chart, did Dr. Bauer  
12 adequately -- adequately diagnosis Bethanee's medical  
13 issues?

14 A. I'm pulling it up on my chart to be precise here  
15 for just a moment.

16 The answer, however, is no, he did not establish  
17 a legitimate or objective pain diagnosis to support the use  
18 of long-term opioids.

19 Q. Did Dr. Bauer conduct a targeted physical exam?

20 A. He performed a physical examination, however,  
21 there were no focal deficits identified, no musculoskeletal  
22 or neurological deficits identified on exam that would  
23 support a diagnosis that would, again, support the use of  
24 opioids, so no.

25 Q. Did Dr. Bauer perform a clinical workup of

1 Bethanee?

2 A. An EMG was performed, which was appropriate, but  
3 it was normal. There was no evidence of sciatica or  
4 radiculopathy. Bethanee had had MRIs, and they had shown  
5 to be normal. And she had had one MRI in the past that  
6 demonstrated a herniated disc, but she had undergone a  
7 micro diskectomy, a laminectomy, as it were, to address  
8 that herniated disc, but despite that surgical treatment,  
9 there was no change in her escalation of opioids. So the  
10 clinical workup that Dr. Bauer performed essentially was --  
11 was ignored, the results of that workup were ignored.

12 Q. So Bethanee had had back surgery previously?

13 A. She had a micro diskectomy, correct.

14 Q. And if it was not successful in terms of treating  
15 her pain, wouldn't that be a good basis for the long-term  
16 management of pain with opioids?

17 A. No. And that's a fair question to ask. So,  
18 actually, it would be just the opposite. This is an  
19 individual who had been treated for -- for her pain for a  
20 long time and had not responded. She falls into the  
21 category of the fact that everything was done. She had  
22 opioids, she had an operation, she had time to get better,  
23 and nothing helped her, which strongly suggests that the  
24 pain is either psychosomatic, or at least not a pain that  
25 is supported by the use of opioids. Micro discectomies are

1 minimally invasive operations. I've had one, I dare say a  
2 lot of people have had them. They're minimally invasive.  
3 You're in and out less than a day. They don't disrupt a  
4 lot. You don't have hardware put in, they just take out  
5 the herniated disc. It doesn't cause pain, we don't treat  
6 people with long-term pain conditions with chronic opioids,  
7 it's just -- it's just not medically necessary to do so.

8 Q. Did Dr. Bauer administer any procedures to  
9 Bethanee?

10 A. He had an excessive number of injections that he  
11 performed on Bethanee, along with excessive steroids.

12 Q. Let's bring up Exhibit 928, please.

13 So between November 3rd of 2009 until  
14 February 1st of 2019, could you summarize for the jury what  
15 injections Dr. Bauer administered to Bethanee?

16 A. Dr. Bauer, over the course of that timeframe,  
17 performed 24 lumbar epidurals, 45 trigger point injections,  
18 27 trochanteric bursa injections, one oral dose of  
19 corticosteroids, and 17 sacroiliac joint injections. I  
20 don't know what the total is there, but it's quite  
21 significant.

22 Q. We can move it up to look at the total. And what  
23 was the total amount of cumulative Medrol equivalent  
24 administered to Bethanee?

25 A. The steroid equivalents were on the order of

1 4,610, which, as we've discussed, is an extremely large  
2 number given the yearly recommended guideline of no more  
3 than about 200 to 300. And the yearly -- or excuse me, and  
4 the lifetime recommendation, or guideline, of being  
5 somewhere in the 400-milligram range. She had  
6 4,600-milligrams, but -- well --

7 Q. Is that number significant in terms of the  
8 year -- the lifetime dosage?

9 A. As I indicated, if a lifetime dosage is somewhere  
10 around 500 or 600, again, that's a -- that's a recommended  
11 ballpark number. And whatever number we might decide or  
12 clinician might decide is appropriate, this, nevertheless,  
13 far, far exceeds that by an order of magnitude.

14 Q. Based on your review of the Bethanee chart, did  
15 the injections result in any improvement in function or  
16 pain?

17 A. There was no indication that pain or function  
18 improved. As I indicated -- maybe I didn't indicate, the  
19 VAS pain score on the initial visit was five out of ten,  
20 and then over time it varied considerably from seven or  
21 eight to four or five to ten out of ten to eight out of  
22 ten. The last documented pain VAS pain score was given as  
23 four to nine out of ten a range there. So the VAS pain  
24 score demonstrated no significant improvement in a  
25 sustained manner.

1           Additionally, there was no indication of function  
2 improvement. If she was -- it was indicated in the chart  
3 that she was, quote, unable to work, but we don't have a  
4 reason -- well, she was unable to work, so there was no  
5 improvement in function as a result of the opioids.

6           Might I add one other thing here too? Because at  
7 one point there was an indication that she might be  
8 pregnant. I think it's germane to bring that up at some  
9 point in terms of risk factor.

10 Q.           In terms of her potential pregnancy, would there  
11 be a risk to an unborn fetus with the opioids issued by Dr.  
12 Bauer?

13 A.           Yes, as a previously discussed, that unborn child  
14 would be exposed to the opioids that the mother, in this  
15 case Bethanee, would be taking, and there would be a strong  
16 probability that the child would be born addicted and have  
17 a Neonatal Abstinence Syndrome concerned --

18 Q.           You've described that already?

19 A.           We've described that, yes.

20 Q.           Let's talk about also whether you formed an  
21 opinion, based on your review of the Bethanee chart, if the  
22 injections provided by Dr. Bauer were medically necessary?

23 A.           The injections were not medically necessary.

24 Q.           All right. And did you, in reviewing the  
25 Bethanee chart, see any red flags or risk behaviors with

1 respect to the prescriptions of opioids and Controlled  
2 Substances?

3 A. There were a number of red flags, indicators that  
4 I compiled for her.

5 Q. And let's look at Exhibit 926.

6 And that is -- this is your red flag summary?

7 A. It is, several pages worth.

8 Q. This goes from August 12th, 2009 to February 1st  
9 of 2019?

10 A. Correct.

11 Q. Can you just pick out some -- some of the, or  
12 group them, if you could, in terms of what types of  
13 risks -- risk factors you saw in the Bethanee chart?

14 A. It's hard to know where to start and how to group  
15 them because there were so many here that it's difficult to  
16 do. But let's -- suffice it to say that she's got -- well,  
17 she's got falling episodes, she's got indication here that  
18 her mother has the same problem. Remember we talked about  
19 families that share the same pain syndrome and take  
20 medications, she has a mother who has, quote, the same  
21 problems, that's -- that's of concern from a psycho social  
22 risk standpoint.

23 She's receiving Vicodin from one doctor and Norco  
24 from Dr. Bauer, so she's -- so she's doctor shopping. She  
25 indicates no benefits from the beginning there, no benefits

1 from the epidural injections, yet Dr. Bauer continued to  
2 perform the epidurals.

3 Q. That was on 6-6 of 2010?

4 A. 1-12-2010.

5 Q. Look at 6-6-2010.

6 A. 6-6-2010 she, again, states no response to  
7 epidural or triggers, meaning trigger point injections, so  
8 clearly from the beginning she was not responding or  
9 improving as a result of the various injections.

10 Q. And then August 9th of 2010 does she indicate  
11 whether or not the medications are helping?

12 A. No. As a matter of fact, it says meds not  
13 helping, and then it follows an entry there that says she's  
14 going to leave her job and -- and due to disability.

15 Q. Did she report her meds as being stolen?

16 A. Meds were stolen, that was reported. Refills  
17 were requested. Early out medications were noted where she  
18 took extra medications, and the husband called in wanted to  
19 know if he can give her some of his medications. That's  
20 entirely inappropriate.

21 Q. Did she later ask for, in April of 2011, her pain  
22 medications to be increased?

23 A. Yes, she did.

24 Q. And moving on to the next set?

25 A. Again, more incident of early-out medication and



1 sharing of medications between Nathan and his wife, and  
2 okay from Dr. Bauer to refill the medications early. And  
3 the statement in 2012 May of 2012, indicating that the  
4 patient is pregnant, and she was switched at that point to  
5 Tylenol with codeine. Child was delivered in January of  
6 2013, and the patient called and wanted an appointment  
7 after that requesting injections, wanting to discuss  
8 medications, wanting to have pain medications because she  
9 can't get to the dentist, early refills, pharmacy shopping  
10 noting -- noted on the OARRS. Continue indication of  
11 constant pain, meds just help but don't resolve the pain,  
12 early refills.

13 Q. And then do you see in March of 2015 you made a  
14 call recording -- or she -- she has a notation regarding  
15 whether the epidural helped?

16 A. March of 2015 she says does not feel the epidural  
17 helped her pain at all, end of quote.

18 Q. And shortly later does she call, or does she ask  
19 for an increase in her medication?

20 A. Yes, Nathan called the following day and said  
21 wife in severe pain, and she did not want to ask at her  
22 appointment to increase her medication. He, Nathan, would  
23 like to know if she could be changed to Oxycontin.

24 Q. At some point did she lose her script?

25 A. She lost her scripts and requested replacement.

1 She continues to complain of severe back pain, fell down  
2 the stairs. Didn't take her medications as prescribed.

3 There's a note in June of 2016 that she's been  
4 filling old and new scripts and should only be filling one.  
5 She is taking the old dose to another pharmacy and is  
6 paying cash and claiming through insurance. She has done  
7 this on three occasions per OARRS.

8 Q. And did Dr. Bauer have her submit to a urine drug  
9 test sometime in July of '16?

10 A. Yes, in July she -- she had a urine drug test  
11 that was positive for alcohol.

12 Q. So that didn't show anything else significant?

13 A. Correct, it was just positive for the presence of  
14 alcohol, which, of course, is contraindicated when opioids  
15 are being prescribed, particularly at these doses.

16 Q. All right. And let's move down to see the graph  
17 you put together.

18 And this shows the graph of what for Bethanee?

19 A. This, again, the upper portion of this timeline  
20 shows the -- the abhorrent activities and event that we  
21 talked about, and the bottom shows the injections.

22 Q. And if we can move down to the next page.

23 A. We see here that the injections were quite  
24 excessive during the time frame of 2016, '17, and into '18,  
25 and that at the same time there were significant Morphine

1 equivalent doses of medication being prescribed.

2 Q. And this also shows your -- the morphine  
3 equivalent graph, correct?

4 A. Yes, there at the bottom.

5 Q. Let's bring up Exhibit 553.

6 What is this?

7 A. This is a prescription issued to Bethanee by Dr.  
8 Bauer on 10-30-2017 for Oxycodone 30-milligrams to be taken  
9 every -- three times a day.

10 Q. And now let's look at Exhibit 554.

11 A. This is a chart note regarding Bethanee's care as  
12 noted by Dr. Bauer.

13 Q. And was a prescription issued on November 9th,  
14 2017?

15 MR. GIBBONS: What exhibit is that?

16 MS. DUSTIN: It's Exhibit 554.

17 A. This is -- this indicates that Methadone was  
18 prescribed 11-9-17 by Dr. Bauer to Bethanee for Methadone  
19 three times a day.

20 Q. And finally, Exhibit 555.

21 What is this?

22 A. This is a prescription issued to Bethanee by Dr.  
23 Bauer on -- I'm looking for the date -- on 11-9-17 for  
24 Oxycontin, 20-milligrams, to be taken twice a day.

25 Q. Dr. King, was the methadone, the Oxycontin and

1 the Oxycodone written for Bethanee on November 9th, 2017,  
2 October -- and October 30th, 2017, were they dispensed with  
3 a legitimate medical purpose and within the course of  
4 professional medical practice after having been written by  
5 Dr. Bauer?

6 A. They were not.

7 Q. Why not?

8 A. At that point, which was late in her care, she  
9 had already been treated with higher doses by Dr. Bauer,  
10 and she had not responded. She had not improved either in  
11 terms of VAS pain score or function. There was no  
12 foundation to continue opioids of any sort, let alone the  
13 dangerous poly pharmacy combination of high dose Oxycodone  
14 and Methadone. So she was not improving. She had gone  
15 through an opioid trial and had failed it over the course  
16 of many years.

17 And then secondly, we have a -- well, we have a  
18 concern with regard to pregnancy. She's in child-bearing  
19 age and the risk of creating a dependency state on an  
20 unborn child is significant.

21 And then thirdly, there's the concern that the --  
22 a state of diversion and abuse of was going on between  
23 Nathan, her husband, and Dale, her -- her grandfather in  
24 law, I guess -- I think it was Nathan's grandfather, so it  
25 was her inlaw grandfather. But there was a state of

1 diversion going on that -- that should have made a prudent  
2 practitioner understand that opioids should not be  
3 prescribed, particularly given the fact that Bethanee never  
4 had established a legitimate pain diagnosis. Opioids and  
5 opioid exit strategy should have been exercised, and she  
6 should have been weaned off all opioids.

7 Q. Speaking of Nathan, did you review Dr. Bauer's  
8 patient chart for the patient named Nathan?

9 A. I did.

10 Q. And based on your review of the Nathan chart, did  
11 Dr. Bauer follow the standard of care for prescribing  
12 Controlled Substances?

13 A. He did not.

14 Q. Based on your review of the Nathan chart, did Dr.  
15 Bauer write prescriptions for Controlled Substances with a  
16 legitimate medical purpose and within the course of  
17 professional medical practice?

18 A. He did not.

19 Q. Let's look at Exhibit 922.

20 Is that the forensic timeline you prepared of the  
21 Nathan chart?

22 A. It is, yes.

23 Q. And would you describe Nathan as a patient?

24 A. Nathan was a young man. On initial visit he was  
25 40 years old. He was under care with Dr. Bauer for almost

1 ten years, which means he was about 30 years old on his  
2 initial visit with Dr. Bauer.

3 Prior to seeing Dr. Bauer, Nathan had been under  
4 the care of a family doctor for, quote, extreme back pain  
5 and tension headaches, and had received Vicodin and  
6 Percocets, opioids of various potent types for treatment of  
7 his pain. Nathan's pain was allegedly chronic low back  
8 pain, excuse me, that he had had for some time. But over  
9 the course of care with Dr. Bauer, he also complained of  
10 headaches, leg pain, fibromyalgia, hip pain, sacral pain,  
11 kidney pain and neuropathic pain. So there's quite a  
12 constellation of pain complaints there. There were  
13 significant concerns, significant red flags in Nathan's  
14 background, including an alcohol history. He drank four to  
15 five beers per day. There is a -- there is an indication  
16 that while out drinking he had gotten into fights. He had  
17 been told to avoid alcohol and jello shots, which  
18 apparently were something that he took from time to time.  
19 So he had a history of alcohol abuse, and no indication  
20 that he was tapering from that.

21 He also had a drug history, indicating that he  
22 had taken drugs from friends, including Opana and, quote,  
23 his boss' Vicodin. By definition those are diversionary  
24 activities, as he was taking medications, opioids, not  
25 prescribed to him. He had a brother or brothers who were

1 using heroin. And at -- and he was an admitted marijuana  
2 user as well.

3 In addition to the psycho social concerns of his  
4 brother's using heroin, there were mental health issues  
5 that Nathan suffered from, including depression, anxiety,  
6 severe manic bipolar disorder, psychotic features, and  
7 concerns about, not surprisingly, insomnia.

8 Q. Did all of those things put Nathan at risk for  
9 being on long-term opioid treatment -- opioid therapy for  
10 the treatment of pain?

11 A. Nathan was not a candidate for opioids either in  
12 the beginning or as time went on. He was at significant  
13 risk from a mental health, psycho social and addictive  
14 background set of concerns.

15 Q. Based on your review of the Nathan chart, did Dr.  
16 Bauer adequately diagnosis Nathan's medical issues?

17 A. There was no indication that a diagnosis was  
18 established that would support the use of long-term opioid  
19 therapy.

20 Q. Did Dr. Bauer conduct a targeted physical exam of  
21 Nathan?

22 A. There is a history of a distant motor vehicle  
23 accident, but there was a failure to -- to do a -- review  
24 the past medical records to establish an independent  
25 medical evaluation and establish a legitimate diagnosis for

1 the use of opioids.

2 Q. Did Dr. Bauer perform a clinical workup?

3 A. There was no EMG or electro diagnostic studies in  
4 the chart to support the diagnosis of sciatica or  
5 radiculopathy. There were two MRIs which were normal for  
6 age, but -- and did not support Nathan's claim of, quote,  
7 extreme pain. So the answer is no, Dr. Bauer did not  
8 perform -- well, he performed a clinical workup but ignored  
9 the results.

10 Q. Based on your review of the Nathan chart, would  
11 you just give us a summary of the types, combinations of  
12 drugs that Dr. Bauer prescribed to Nathan during his care  
13 of Nathan?

14 A. On the initial visit in 2009, Nathan was started  
15 on Percocet with an MEQ of about 22 by Dr. Bauer. Over a  
16 very short period of time, that dose was ratcheted up to  
17 include Percocet, plus Oxycontin, 30s, and the MEQs went up  
18 to above 100 and 140 and 170. At one point, the MEQs were  
19 as high as a little over 200. And then by 2014, the MEQs  
20 were 220, 225. And the last -- by the last -- on the  
21 second to the last Dr. Bauer visit, the MEQ was 225. And  
22 on the final visit, the MEQs had been dramatically lowered  
23 to 90, but there was no indication of any withdrawal  
24 symptoms at that point, so there was some concerns about  
25 whether that really -- whether the patient was really



1 taking the medications. Nevertheless, the patient was on  
2 high MEQ, was prescribed combinations of Oxycontin,  
3 Oxycodone and Gabapentin.

4 And yes, I do have some concerns about --

5 Q. That's my next question. Do you have concerns  
6 about the combination of drugs?

7 A. Yes, the poly pharmacy combination there, again,  
8 and you've heard me say this before, first of all, they're  
9 street popular formulations of the Oxycodone product.  
10 There's Oxycontin 30 milligrams, which is very popular in  
11 the street. And Oxycodone 15 milligrams, which is equally  
12 popular on the street. There's no real medical need to  
13 prescribe any of these medications, particularly in  
14 combination, and then Gabapentin was added to that,  
15 Neurontin, Gabapentin, which, as you've heard me say, is an  
16 enhancer and is very popular among addicts because it will  
17 enhance the euphoria associated with the opioids. So  
18 Nathan was supplied with street popular multiple  
19 formulations of highly addicted Oxycodone with Gabapentin  
20 as an enhancer, despite no improvement of pain or function.

21 Q. Was there a defined treatment plan by Dr. Bauer?

22 A. No, a written defined treatment plan was not put  
23 forth that was -- that was unique to the patient or multi  
24 disciplinary. Again, we see the same rhythm here of  
25 multiple injections, multiple opioids, but not a

1 multi-modal approach to the unique needs of the patient.

2 Q. And did Dr. Bauer administer any procedures to  
3 Nathan?

4 A. Yes, multiple procedures were performed.

5 Q. Let's look at Exhibit 924.

6 Between of June 2009 and January of 2019, what  
7 types of procedures were administered?

8 A. Keep going, one more page, one more page. There  
9 we go.

10 Nathan received 32 lumbar epidural injections, 19  
11 trigger point injections, 13 trochanteric bursa injections,  
12 five courses of oral Prednisone and a sacroiliac joint  
13 injection. Again, these were excessive numbers of  
14 injections for an individual who was not indicating that  
15 there was any improvement, despite the injections.

16 Q. And what was the cumulative Medrol dosage  
17 administered?

18 A. Nathan received, by this calculation,  
19 approximately 3,195 steroid equivalents of by way of  
20 injection and oral steroid administration.

21 Q. And based on your review of the Nathan chart,  
22 were these injections medically necessary?

23 A. No, there was no indication that they were  
24 medically necessary.

25 Q. In reviewing the Nathan chart, did you note any

1 abhorrent or other risk factors in Nathan's use of opioids  
2 and Controlled Substance medications?

3 A. Yes, I have a list of about 94 or 95 abhorrent  
4 events and activities in that regard.

5 Q. Okay. Let's bring up Exhibit 923.

6 And what is this?

7 A. This is my timeline of red flags for Nathan.

8 Q. And let's start with Page 1, which begins in July  
9 of 2009.

10 Can you review for us, or group the red flags and  
11 risk factors you noted when reviewing the Nathan chart?

12 A. Yes, and the jury's probably able to do this  
13 quicker than I can say it because there are a lot of  
14 familiar concerns here that we've seen in a lot of the  
15 other patients, but trying to do the best to pull them  
16 together in a coalescent fashion.

17 Nathan is wanting to change medications so he's  
18 calling the shots with regard to which narcotics he wants.  
19 He still continues to complain of increased pain, is taking  
20 extra medications, and is complaining of early-out  
21 medications and is making requests for early refills. We  
22 have notation here that he's drinking excessive alcohol as  
23 noted by the hospital. He comes in and has trigger point  
24 injections. He also relates that there are problems with  
25 his brothers and medication, keeping in mind that his

1 brothers were heroin addicts. He requests, again,  
2 medication changes, states the medication is not working  
3 and is indicating by the higher dose, by the way more  
4 street popular Oxy 40s make a huge difference in his back  
5 pain. Even on vacation his pain gets worse, he's early  
6 out. He's -- he's missing pills. He was given a  
7 prescription for Percocet and now wants another script. He  
8 complains of dental pain and requests pain for medication  
9 for his dental pain. Dr. Bauer okays early refills for  
10 that. He is not compliant and is taking medications, he  
11 claims the medications are not helping the pain. Dr.  
12 Bauer, again, okays early refill and increase in the  
13 Percocet. And then Nathan complains of kidney stones and  
14 gets another increase in the Oxycontin at that time. He's  
15 noncompliant in his use of the Percocets, again requests  
16 early refill. Plus it says he's got pain at the epidural  
17 site. He says he's going through withdrawals, has adverse  
18 side effects from the Methadone, wants to go back to  
19 Morphine. And now his back's hurting because he was  
20 sledding with the kids.

21 Q. And again, he himself, the patient is -- is  
22 requesting certain medications?

23 A. Yes. He's -- he's self requesting, and it's  
24 not -- that's always a red flag when the patient's driving  
25 the car, as it were, telling the doctor what he needs.

1 Q. Okay. Let's look at the next page, starting with  
2 between February 7th of 2011 and February 10th of 2013.

3 A. Well, it indicates that he's got an additional  
4 injury to his back, and now he's taking more medications,  
5 so he's noncompliant. He admits that he was out drinking  
6 and got jumped and cracked his nose, and his back is sore.  
7 So he admits, essentially, that he was noncompliant  
8 combining alcohol consumption with his narcotics. He's  
9 noncompliant in the dose, he's taking more Percocets than  
10 were prescribed, requests an early refill, which is granted  
11 by Dr. Bauer. He asks for an early appointment so he can  
12 get trigger injections. He apparently had a seizure at  
13 work, which was always a red flag when we see it and,  
14 again, ask the question could it be related to medication  
15 noncompliance by the patient.

16 The patient -- Nathan indicated when he got --  
17 2011 that he needed early refills. All of a sudden now is  
18 throwing up his Percocet. The early refill was okayed by  
19 Dr. Bauer.

20 The following month thinks he threw away, and  
21 stupid mistake, and asked for early refills. Then he  
22 slipped at work and more increased pain, took more opioids  
23 than prescribed, hurt his back at home and is now in  
24 excruciating pain, he requested more early refills saying  
25 that he was using more than he was prescribed, requested

1 more trigger point injections. Doesn't like the Morphine,  
2 he wants to go back on the Oxycontin, and asks for an  
3 increased dosage of the Gabapentin or Neurontin. More  
4 indications of noncompliance, rejecting to go back on  
5 Oxycontin again, lost prescriptions, requesting a  
6 replacement, and, again, lost -- he claims he lost several  
7 days worth of Percocet because he was vomiting, early out  
8 concerns, admitting to overtaking medications.

9 Q. I'm going to stop you there. Let's look at a  
10 chart page at Exhibit 408, Page 415.

11 Is this a page from Nathan's chart on date of  
12 service March 1st, 2012?

13 A. It is, yes. It's a phone note from Nathan  
14 indicating that at last injection Nathan wanted to sit down  
15 with Dr. Bauer and have a, quote, hard conversation with  
16 the doctor and was not able to do that because the  
17 conversation may be understood as betrayal. Patient has  
18 been taking more meds than prescribed, then goes through  
19 withdrawal because he runs out early. States his pain  
20 tolerance has become less responsive to the meds, states he  
21 is a responsible father and he needs to get his pain med  
22 issue under control, asks for a sooner appointment to  
23 discuss this with Dr. Bauer.

24 Q. And what does this indicate when a patient is  
25 saying that they're going through their medications sooner

1 than prescribed and their tolerance is becoming less  
2 responsive, is that significant?

3 A. Yes. I will tell you, I interpret this note, and  
4 I think it's reasonable to do so, as a cry for help. As I  
5 indicated earlier, we offer respect to all our patients,  
6 even if they're in the throws of addiction or withdrawal.  
7 Nobody wants to be addicted, and I think this is a cry for  
8 help from Nathan that he's addicted and he wants some help.  
9 He's saying, look, I care about my kids, I want to talk  
10 with Dr. Bauer, I don't want him to think I'm betraying  
11 him, but I need to -- I need to do something, I'm a  
12 responsible father, and I need to get my pain med issue  
13 under control. I don't see this as a blatant request, at  
14 this moment, to get drugs to feed his addiction. I think  
15 it's a cry for help, and I think it should be taken quite  
16 seriously.

17 Q. Does it indicate whether or not the pain  
18 medications are helping or improving function and pain?

19 A. No, it -- correctly brought up the medications  
20 are not working, he's saying the medications are not  
21 working.

22 Q. Okay. And let's go back to the red flag chart,  
23 Exhibit 923. And beginning -- this is the red flags going  
24 to March 26th of 2013. Move it up one, please. I'm sorry,  
25 you were right.

1 A. Despite the call for help, medications continued  
2 to be prescribed by Dr. Bauer. We continue to see evidence  
3 and request of early refill, noncompliance with regard to  
4 keeping appointments, additional early refill requests that  
5 are okayed by Dr. Bauer. Despite the cry for help, Dr.  
6 Bauer continues to provide early refills to the patient.  
7 And the patient, again, indicates that he's built up a  
8 tolerance to the medication, which is probably true, and he  
9 doesn't believe the epidurals are helping with the pain  
10 also, which is also probably true. And the OARRS report  
11 begins to show that Nathan is doctor shopping, seven  
12 providers, and pharmacy shopping, seven pharmacies, and  
13 that continues to be noted on several occasions. Despite  
14 that, in July of 2013, Dr. Bauer, again, okayes a -- a  
15 request by Nathan for early refill of his medications.

16 And the pharmacy, in August, indicates that,  
17 look, we just filled a -- a Percocet prescription, so the  
18 pharmacy is noting that this doesn't seem to make sense  
19 either. And then Nathan indicates his medications were  
20 lost, and he, again, requests a replacements.

21 Of interest, in September of 2013, when being  
22 requested to give a urine sample for a tox screen, he says  
23 I'm unable to urinate and -- but he does admit -- Nathan  
24 does admit that he took Opana last night, which was not a  
25 prescribed medication for him, and he also admits that he



1 took Vicodin from his boss.

2 Q. And I'm going to stop you there, and let's bring  
3 up Exhibit 408 at Page -- the Choice page, laboratory  
4 service page at 1052 or 1053.

5 A. Yes, this is the Choice Laboratory Services the  
6 results of the urine drug screen collected by Dr. Bauer of  
7 Nathan on 9-4-13.

8 Q. What are the results?

9 A. The results indicate -- some of this is difficult  
10 to read, but there is a -- there is a comment at the  
11 bottom, says took Opana last night. This is not prescribed  
12 to him.

13 Q. So it's positive for Opana. What's Opana?

14 A. Opana is an Oxymorphone. I'm sorry, yes, Opana  
15 is an Oxymorphone.

16 Q. And was that prescribed to Nathan by Dr. Bauer?

17 A. No, no one prescribed Opana, so far as we know,  
18 to Nathan.

19 Q. All right.

20 A. It was a drug that was obtained illegally.

21 Q. And then referring to the letter in the chart  
22 then, and looking at Page 1053.

23 What is that?

24 A. That is a letter from Nathan dated 9-4-13. It's  
25 addressed to Dr. Bauer. Well, it's a long letter here.

1 Q. And essentially what is it talking about?

2 A. Basically it's indicated he's had to move in with  
3 his grandfather, which I assume is Dale, because of  
4 monetary issues. And it indicates that his meds were lost,  
5 and he can't find them, that his pain was very intense.  
6 He -- he uses this as a reason to -- excuse why he got some  
7 Controlled Substances from a friend, which refers to the  
8 Opana, and from his boss, which refers to the Vicodin.

9 And Nathan goes on to say I felt bad about it  
10 because I have kids and my pain gets extreme. I would  
11 never have taken them if I had my meds, and then he goes on  
12 to apologize to Dr. Bauer about never lying to him, so  
13 sorry, and this will never happen again.

14 Q. Dr. King, based on your review of the patient  
15 chart after that day, did Dr. Bauer either wean down or  
16 discharge Nathan from the practice?

17 A. No, he did not. The medications continued to be  
18 prescribed, and Dr. Bauer did not discharge him from the  
19 practice.

20 Q. Did Dr. Bauer do anything to address the  
21 inconsistent urine screen?

22 A. Not -- not in any meaningful way. It was noted,  
23 but there was no action taken, which is extremely  
24 disturbing because this is a statement of addiction. So  
25 the continued use of opioids was in support of addiction.

1 Q. And what would you expect a physician prescribing  
2 opioids and Controlled Substances to a patient like Nathan  
3 to do at this point in the treatment of a patient when  
4 something like a positive screen for -- for unprescribed  
5 Controlled Substances happens and they make this call out  
6 for help?

7 A. Nathan called out for help, that's clear as a  
8 bell. He recognized he was addicted, he demonstrated he  
9 was addicted, he demonstrated loss of control. He  
10 demonstrated all four of the Cs that I told you about  
11 earlier today. He was craving it, he was -- he was -- he  
12 was -- all his time was spent in terms of compulsively  
13 trying to figure out how he could get the medication, he  
14 couldn't control his use of medication, and he was doing it  
15 despite the consequences. So he's addicted. He needs to  
16 be referred to an addictionologist or a mental health  
17 provider to deal with the addiction. That's clearly what  
18 needs to happen. What he does not need is additional  
19 opioids prescribed by Dr Bauer purportedly for a pain  
20 syndrome that has, for many years, not responded to it, but  
21 has, indeed, continued to play and support a state of  
22 addiction for Nathan.

23 Q. Let's look at the entry here on your red flag  
24 chart, but looking at the chart page of Exhibit 408, Page  
25 581.

1           And what is this?

2    A.           This is a phone note regarding Nathan on 3-26-14.  
3    It indicates that the patient wants an early refill on the  
4    Oxycontin, but wants to fill it today.

5    Q.           And then what is Dr. Bauer's response to Nathan?

6    A.           Per Dr. Bauer, this is the last time. Patient  
7    advised under no circumstances will Dr. Bauer fill this  
8    again early, so he should take as prescribed. Patient  
9    verbalized understanding.

10   Q.           And let's go back to Exhibit 923.

11               Do you see your entry for March 26th of 2014 that  
12   we just reviewed, the patient chart?

13   A.           Yes, and you're correctly outlining it there,  
14   yes.

15   Q.           All right. And then what happened after that?

16   A.           If we could expand that, I'll quote it exactly.  
17   Because less than a month after that, Nathan, again,  
18   requested an early refill of his Oxycontin from Dr. Bauer,  
19   and Dr. Bauer indicated no problem.

20   Q.           And, again, what happens on 5-29 of 2014?

21   A.           On 5-29 there was another request for Nathan for  
22   an early refill, and per Dr. Bauer, comment was made this  
23   is disruptive, and this will be the last time we will be  
24   giving him an early refill.

25   Q.           Again, does Nathan call about medications lost?

1 A. Yes, he calls about medications lost and  
2 continues to request early refills.

3 Q. And turning to the last page, does Nathan lose a  
4 prescription?

5 A. Yes, he loses a prescription.

6 Q. And that's 7-25 of 14?

7 A. Correct.

8 Q. Again, does he ask for an early refill?

9 A. He asks for an early refill for the Ultram. Dr.  
10 Bauer does give him an early refill for a limited dose.

11 Q. At some point does Nathan become discharged from  
12 the practice?

13 A. Yes.

14 Q. And then what happens -- and when was he  
15 discharged, what was the date?

16 A. He's discharged on 1-18-18 for, quote,  
17 noncompliance. At that time -- well, he was discharged at  
18 that time because of noncompliance.

19 And then -- and then you're asking me what  
20 happens after that?

21 Q. Yes.

22 A. After that he continues to receive Oxycodone,  
23 Oxycontin, and Gabapentin and injections even though he was  
24 discharged. He continues to have issues with early-out  
25 medications, and ultimately -- and approximately one year

1 and five months later after the discharge, he has his final  
2 visit with Dr. Bauer. But during that interim time frame,  
3 he continues to receive narcotics from Dr. Bauer and  
4 additional injections.

5 Q. All right. Well, let's look at some exhibits.

6 THE COURT: Let's find out how much more you have  
7 first, please.

8 MS. DUSTIN: Your Honor, I believe I have about  
9 ten minutes, I will be able to conclude.

10 THE COURT: That's fine. Just wanted to --

11 MS. DUSTIN: Ten, 15 minutes.

12 THE COURT: Just wanted to inquire.

13 MS. DUSTIN: Getting to the end.

14 BY MS. DUSTIN:

15 Q. Let me show you Exhibit 536. You know what,  
16 before you go from the red flag chart, I don't think we  
17 showed you the graph. Let's go back to the red flag chart  
18 for one moment, 923, all the way at the bottom.

19 And you also prepared a graph of Nathan's red  
20 flags?

21 A. Yes, correct. Yes, this is an indication, as  
22 we've seen previously, on the red flags of abhorrent  
23 behavior and events on the top and injections on the  
24 bottom, and then at the very bottom there's a line of the  
25 Morphine equivalency the patient was receiving.

1 Q. And the second page?

2 A. And the second page takes us from 2014 through  
3 2019.

4 Q. Thank you. So looking at Exhibit 536 -- I'm  
5 sorry, 5 -- 547.

6 A. 547, excuse me, is a prescription issued to  
7 Nathan by Dr. Bauer dated 2-16-18. The prescription is for  
8 Oxycodone 15-milligrams every four hours -- excuse me, four  
9 times a day.

10 Q. And then Exhibit 548?

11 A. This is a prescription issued to Nathan by Dr.  
12 Bauer on 2-16-18 for Oxycodone 15-milligrams to be taken  
13 four times a day.

14 Q. And Exhibit 549?

15 A. This is a prescription issued to Nathan by Dr.  
16 Bauer on 2-16-18 for Oxycodone 15-milligrams to be taken  
17 every -- four times a day.

18 Q. And Exhibit 550?

19 A. This is a prescription issued to Nathan by Dr.  
20 Bauer on 2-16-18 for Oxycontin, 30-milligrams to be taken  
21 three times a day.

22 Q. Exhibit 551?

23 A. This is a prescription issued to Nathan on -- by  
24 Dr. Bauer on 2-16-18 for Oxycontin, 30-milligrams to be  
25 taken three times a day.

1 Q. And Exhibit 52?

2 A. This is a prescription issued to Nathan by Dr.  
3 Bauer on 5-24-19 for Oxycontin 30-milligrams, the extended  
4 release, Oxycontin to be taken twice a day.

5 Q. Dr. King, were the opioid prescriptions Dr. Bauer  
6 wrote for Nathan on February 16th of 2018 and May 24th,  
7 2019, dispensed with a legitimate medical purpose and  
8 within the course of professional medical practice?

9 A. They were not.

10 Q. Why not?

11 A. First of all, that time frame was the issuance of  
12 medications after the patient had been discharged by Dr.  
13 Bauer for recognition of doctor shopping, and -- and for  
14 doctor shopping, early refills and other abhorrent  
15 behaviors, so it was recognized that Nathan was in a state  
16 of addiction. So any further opioids prescribed would have  
17 been in support of addiction, not in support of a  
18 legitimate medical diagnosis.

19 Secondly, Dr. Bauer continued to prescription  
20 opioids for Nathan, despite the fact that for many years  
21 they had been ineffective and had not offered any  
22 improvement in the VAS pain score or in function of the  
23 patient.

24 And thirdly, there were significant indications  
25 because of his -- his wife, Bethanee, and the things that



1 we reviewed with regard to his grandfather, Dale, that  
2 there were significant acts of diversion going on during  
3 this time, so it's not only a situation of addiction and  
4 abuse, but of diversion. Opioids were not prescribed by  
5 Dr. Bauer for legitimate medical purpose. A reasonable  
6 physician would not have continued to prescribe opioids  
7 under these circumstances.

8 Q. What would you have expected a doctor acting  
9 within the usual course of professional medical practice to  
10 do in the situation with Nathan?

11 A. Nathan is a known opioid abuser. Illegal drugs,  
12 in all likelihood, as well as prescription drugs. He  
13 reasonably needs to be referred to an addictionologist for  
14 treatment of his addiction and his dependency, and to  
15 address some of the psycho social conditions related to  
16 his -- his opioid addiction. He needed to be referred to a  
17 psychiatrist for treatment of addiction.

18 Q. Based on your review of the 14 patient files in  
19 this case, did Dr. Bauer cause harm to any of the patients?

20 A. Yes, he caused harm.

21 Q. How so?

22 A. To begin with, he didn't establish appropriate  
23 diagnosis, and without having established a diagnosis, the  
24 conditions these patients presented with could not be  
25 treated, so he did harm by not acting as a physician and

1 performing a diagnosis to treat what the patients came to  
2 him for to have addressed.

3           Secondly, he ignored indications of mental health  
4 of psycho social stressor, of co-morbid illnesses that  
5 contributed to the mental illness and addiction that we see  
6 again and again as we review these patients care. These  
7 patients were dependent on Controlled Substances, in some  
8 cases were getting illegal drugs as well. None of the  
9 patients we reviewed demonstrated any improvement as a  
10 result of multiple opioid trials, sometimes before they  
11 came to Dr. Bauer, and at all times after they came to Dr.  
12 Bauer. We see no indications of improvement in pain nor  
13 improvements in terms of functional improvement. These  
14 patients were prescribed opioids in most cases, in support  
15 of addiction and dependency, and as such, they were  
16 prescribed without a legitimate medical purpose.

17           Additionally, Dr. Bauer was not acting as a  
18 physician, he was prescribing outside the usual course of  
19 medical care by doing this. A prudent physician would not  
20 have continued prescribing Controlled Substances under  
21 these circumstances.

22 Q.           You said that the defendant caused -- that Dr.  
23 Bauer caused harm to the patients. Is it unusual for a  
24 patient who becomes an addicted to support the doctors that  
25 give them the prescriptions and the pills they crave?

1 MR. GIBBONS: Objection, Your Honor.

2 THE COURT: Overruled.

3 A. What you're addressing is the one C that I  
4 referred to in addiction which is compulsion and/or the  
5 control. The -- the addict does not have control of what  
6 he does. He can't stop. And he has compulsion in the  
7 sense that he's always going to be thinking about where can  
8 I get my next fix, where can I get my next medication.  
9 Those two things, loss of control and compulsion, will  
10 cause the addicted patient to do whatever it takes to get  
11 the medication, and that means if they're getting their  
12 medications from someone like Dr. Bauer, they will continue  
13 to praise Dr. Bauer and tell him that he's doing a good  
14 thing, and we saw that in some of the notes from Nathan, as  
15 an example, that he was very pleased with Dr. Bauer's care  
16 because Dr. Bauer provided the medications. So, no, we  
17 would expect an addict to work in his own best interest or  
18 his perceived best interest and to do whatever that takes  
19 and say whatever is necessary to keep his medications  
20 coming. So yes, I would expect an addict to support the  
21 provision of opioids from Dr. Bauer.

22 Q. And are you familiar with Dr. Bauer's CV or  
23 resume?

24 A. I have reviewed it, yes.

25 Q. Okay. And are you aware that he has a Ph.D in

1 Neuroscience in neuropathic pain?

2 A. I'm aware that he has a Ph.D. in Neuroscience.

3 The neuropathic pain I interpreted it as his area of

4 interest within the larger field of Neuroscience.

5 Q. Does having a Ph.D., in of itself, qualify a

6 physician to treat long-term management of pain?

7 A. No, it does not. A Ph.D. is a research degree,

8 and a research degree is granted because a -- an

9 individual, could be a doctor, usually it's not, is

10 interested in pursuing a certain part of experimental

11 research in a particular area, in this case Neuroscience.

12 But it has nothing to do with the clinical practice of pain

13 management. It has nothing to do with how to treat

14 patients. A Ph.D. is a separate research or experimental

15 degree, an M.D. is a medical degree that allows us, as

16 physicians, to actually treat patients. The two are

17 separate, they're separate words essentially.

18 Q. What's the difference between clinical and in the

19 lab?

20 A. Okay. Fair question. In general, when we look

21 at research, and I don't mean to get eccentric at this hour

22 of the day, but, by and large, research can be divided into

23 three large categories.

24 We have the experimental or in-the-lab research

25 where we're feeling with mice and rats, or we're dealing

1 with specific situations where, judging by some of the  
2 papers Dr. Bauer authored, he was using functional MRI  
3 studies to evaluate patients' brains and the way they  
4 worked under certain circumstances. Those weren't clinical  
5 patients. Those weren't patients that we would see in the  
6 clinic. Functional MRIs aren't even tests that I can order  
7 because they aren't covered by insurance, and we don't  
8 understand diagnostically in the real world how to use it.  
9 So that's the experimental side of things in the lab, if  
10 you will. And those are important studies. They're  
11 important because long term they'll help us understand pain  
12 and other things better. They don't have a direct route to  
13 how to take patients in the office, take care of patients  
14 in the office.

15           So then the second category are as medical  
16 research where we might say, well, I'm going to put this  
17 medication or this patient on Neurontin, let's say, and see  
18 how he does. So we do medical studies, medical research  
19 having to do with real patients doing very controlled and  
20 well thought-out studies that we look at to see how  
21 patients respond to various treatments, interventions or  
22 perhaps to better define certain types of disease. That's  
23 where we work with actual patients in -- in the -- in the  
24 clinic.

25           And then the third one I'm not going to talk to

1 you about, but that's the epidemiological thing where we  
2 look at large populations and we go from basic science,  
3 clinical, and then large populations.

4 MS. DUSTIN: May I have just a moment, Your  
5 Honor?

6 (Government counsel conferring off the record.)

7 MS. DUSTIN: Your Honor, nothing further.

8 THE COURT: We're going to quit for the day,  
9 ladies and gentlemen, and appreciate, once again, your  
10 attention. Unless you choose otherwise, we'll start at  
11 9:00 a.m. again tomorrow, and we'll invite the doctor back,  
12 give his voice a rest over the evening for  
13 cross-examination tomorrow morning. And I'll talk to you  
14 at the end of the day tomorrow about next week's schedule.

15 Please remember all the rules. We're adjourned.)

16 (Jury excused at 5:05 p.m.)

17

18

19

20

21

22

23

24

25

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

C E R T I F I C A T E

I certify that the foregoing is a correct transcript  
from the record of proceedings in the above-entitled matter.

S:/Angela D. Nixon	September 8, 2021
-----	-----
Angela D. Nixon, RMR, CRR	Date

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

I N D E X

EXAMINATIONS

PAGE

LINE

Timothy King, M.D.

Continued Direct by Ms. Dustin

955

8